

UNITED STATES
COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER, OR)
A SIMILAR NEURODEVELOPMENTAL)
DISORDER,)

FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING, A)
MINOR,)

Petitioners,)

v.)

Docket No.: 03-584V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAN,)
A MINOR,)

Petitioners,)

v.)

Docket No.: 03-215V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

Pages: 3231 through 3429/3530

Place: Washington, D.C.

Date: May 27, 2008

HERITAGE REPORTING CORPORATION

Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
hrc@concentric.net

IN THE UNITED STATES COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER, OR)
A SIMILAR NEURODEVELOPMENTAL)
DISORDER,)

FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING, A)
MINOR,)

Petitioners,)

v.)

Docket No.: 03-584V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAN,)
A MINOR,)

Petitioners,)

v.)

Docket No.: 03-215V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

Courtroom 402
National Courts Building
717 Madison Place NW
Washington, D.C.

Tuesday,
May 27, 2008

The parties met, pursuant to notice of the
Court, at 9:05 a.m.

BEFORE: HONORABLE PATRICIA CAMPBELL-SMITH
HONORABLE GEORGE HASTINGS
HONORABLE DENISE VOWELL
Special Masters

Heritage Reporting Corporation
(202) 628-4888

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire
MICHAEL L. WILLIAMS, Esquire
Williams Love O'Leary & Powers, P.C.
977 S.W. Barnes Road, Suite 450
Portland, Oregon 97225-6681
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire
LYNN E. RICCIARDELLA, Esquire
U.S. Department of Justice
Civil Division
Ben Franklin Station
P.O. Box 146
Washington, D.C. 20044-0146
(202) 616-4356

3233

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Respondent:</u>					
Michael L. Rutter	3236	3322	3413	3422	--
	--	3376	--	--	--

E X H I B I T S

PETITIONERS'

<u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
8	3328	--	Paper by Rutter, Autism and Known Medical Conditions: Myth and Substance
9	3340	--	NIH grant, Minocycline to Treat Childhood Regressive Autism
10	3411	--	Paper by Rutter on MMR

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

(9:05 a.m.)

SPECIAL MASTER CAMPBELL-SMITH: Good morning. Please be seated. We are back on the record for our third week as part of the second theory of the Omnibus Autism Proceeding to continue with Respondent's case.

Respondent to call your next witness. I will observe briefly based on some preliminary discussions, and perhaps, Respondent, you would care to share the schedule adjustments for today.

MR. MATANOSKI: Yes, ma'am. The adjustment would be that the United States is not calling Dr. Casanova because of some difficulties in getting here, for example, but we will proceed on.

The United States will now call Professor Sir Michael Rutter to the stand.

SPECIAL MASTER CAMPBELL-SMITH: Thank you. Sir Rutter, would you raise your right hand, please.

Whereupon,

MICHAEL L. RUTTER

having been duly affirmed, was called as a witness and was examined and testified as follows:

SPECIAL MASTER CAMPBELL-SMITH: Thank you.

RUTTER - DIRECT

3236

1 DIRECT EXAMINATION

2 BY MS. RICCIARDELLA:

3 Q Good morning, Dr. Rutter.

4 A Good morning.

5 Q Would you please state your name for the
6 record?

7 A Michael Llewellyn Rutter.

8 Q And please describe your current
9 appointment.

10 A I'm Professor of Developmental
11 Psychopathology at the Institute of Psychiatry, Kings
12 College, London.

13 Q Dr. Rutter, would you please briefly
14 describe your educational background?

15 A Okay. I trained in general internal
16 medicine at first, at the Wilson (phonetics) Neurology
17 and Pediatrics before moving on to training in
18 psychiatry and then in child psychiatry.

19 Q Do you have a medical degree?

20 A I have a medical degree in 1955.

21 Q Okay. Do you have the equivalent of a
22 Ph.D.?

23 A Yes. In England, at the University of
24 Birmingham M.D. is the equivalent, so I took an M.D.
25 by thesis, which I got in 1962.

RUTTER - DIRECT

3237

1 Q Your CV states that you have an FRC in
2 psychology. What is that? In 1971. What is that
3 acronym?

4 A An FRC in psychology?

5 Q It says FRC Psych.

6 A Oh, FRC Psych.

7 Q I'm sorry.

8 A It's the equivalent of boards in psychiatry.

9 Q Okay.

10 A England does it by these strange mixtures of
11 letters.

12 Q So do you hold what we would consider to be
13 board certification?

14 A Yes. I have board certification in internal
15 medicine and psychiatry.

16 Q And do you have what we would consider to be
17 licenses?

18 A Yes.

19 Q Okay. Is that the same thing?

20 A Which is the same thing.

21 Q Would you please briefly describe your
22 medical and clinical training?

23 A Okay. My medical training was initially in
24 terms of training at the University of Birmingham, and
25 then I went after that to various places, including

RUTTER - DIRECT

3238

1 the Heart Hospital where I was in cardiology before
2 moving into psychiatry.

3 I trained then at the Maudsley Hospital, and
4 then I had a year in the United States where I came in
5 the Department of Pediatrics out at Einstein College
6 of Medicine, and then I returned to a research
7 position.

8 Q Do you also have training in neuroanatomy
9 and neuropsychology?

10 A Yes. That would have been as part of the
11 training in psychiatry at that time and also included
12 a substantial amount of training in psychology so that
13 I do actually have certification in psychology as
14 well.

15 Q And when did you begin your work in child
16 psychiatry?

17 A Basically I suppose about 1959, 1960.

18 Q And what made you go into child psychiatry?

19 A That's an interesting question. In those
20 days the boss, i.e. the director, had a lot of power,
21 and he decided that's what I should do.

22 I was initially actually a bit reluctant,
23 but I said I'd give it a go. I became hooked, became
24 very much committed to child psychiatry and have
25 remained so at the same, but it wasn't my initial

RUTTER - DIRECT

3239

1 choice.

2 Q Would you please briefly discuss your
3 academic employment history and other professional
4 appointments that you've held?

5 A Okay. Moving on from the sort of training
6 type appointments, I was appointed initially at the
7 Institute of Psychiatry in the Maudsley Hospital as a
8 senior lecturer in 1966 and then went on to a
9 redisposition, which is equivalent to associate
10 professor, and then full professor in 1973.

11 I've had a consultant appointment in the
12 National Health Service since 1966, and I still hold
13 that.

14 Q And what is the National Health Service?

15 A That's the state medical system. Then in
16 1984 I set up the Medical Research Council Child
17 Psychiatry Unit and was honorary director there until
18 1998 and then set up the Medical Research Council
19 Social, Genetic and Development Psychiatry Center in
20 1994 again until 1998.

21 Since 1998 I've had what is in effect a
22 research chair, although I continue to do both
23 clinical work and teaching.

24 Q And what is the Medical Research Council?

25 A It's equivalent of NIH.

RUTTER - DIRECT

3240

1 Q Now, your CV also lists external
2 appointments. Would you please discuss a few of
3 those?

4 A By the external appointments do you mean
5 like being clinical vice president of the Academy of
6 Medical Sciences, which covers the whole of
7 biomedicine?

8 I've been a trustee of the Nuffield
9 Foundation, which is looking at the interface between
10 science and policy, and really quite a range of other
11 organizations. I'm on advisory committees around the
12 world dealing with various research enterprises.

13 Q Now would you please highlight some of your
14 personal achievements inside child psychiatry
15 generally over the course of the past 40 years of your
16 practice?

17 A Well, I suppose the overriding thing is a
18 concern to integrate science with clinical issues so
19 that I've always been concerned to try not just to be
20 involved in science and clinical work, but to
21 integrate them in a meaningful sort of way.

22 The research that I've done has covered
23 quite varied things, so we undertook the first
24 systematic epidemiological study out of Wight and then
25 in London looking at mental disorders in children and

RUTTER - DIRECT

3241

1 young people.

2 We did the first study looking at what is
3 now called co-morbidity, i.e. the co-occurrence of
4 apparently different disorders, both in a range of
5 longitudinal studies of both general population
6 samples and high risk groups of one kind or another.

7 I've been involved in genetic studies, but
8 initially quantitative genetic studies, i.e., twin and
9 adoptee studies, and then more recently in the last
10 decade or so with molecular genetics as well, plus
11 other odds and ends, including I should say one of the
12 first systematic study looking at the relationship
13 between neurological disorders in children and
14 psychiatric problems.

15 Q Now with regard to your work in autism
16 specifically, could you please highlight some of your
17 personal accomplishments in that field over the last
18 40 years?

19 A Okay. Again there are many. So that the
20 longitudinal study that I did in the 1960s was the
21 first study to show that children who had not had any
22 detectible neurological abnormalities when young
23 nevertheless showed a high rate of development of
24 epileptic seizures during adolescence and early adult
25 life. So that was the first evidence really of

RUTTER - DIRECT

3242

1 thinking that we were dealing with some kind of
2 organic disorder, neurodevelopmental disorder.

3 We're also involved in development of
4 methods of measurement, the diagnosis based on
5 parental, the ADIR with colleagues in this country and
6 elsewhere and the methods of observation of children,
7 the so-called ADOS, again with colleagues in this
8 country and elsewhere.

9 We had a prolonged period of looking in some
10 detail at cognitive functioning in autistic
11 individuals because at that time there was a concern
12 that these were motivational problems and so we set
13 out experimentally to test some of those notions, the
14 genetic studies, so we did the first systematic twin
15 study of autism back in the '70s and the first
16 systematic family study a little bit after that in
17 parallel with a similar study by Susan Folstein and
18 her colleagues at Johns Hopkins, so amongst other
19 things.

20 Q Were you involved in the formulation of the
21 DSM-IV?

22 A Yes, I was and also the ICD-10 at that time,
23 so that was a time period in which steps were taken by
24 both these organizations to try and bring the two
25 classifications closer together, so I was involved in

RUTTER - DIRECT

3243

1 both, but also in the bridging operation.

2 Q Now would you please briefly discuss your
3 clinical experience with regard to the diagnosis and
4 treatment of autism and other autism spectrum
5 disorders over the past 40 years?

6 A Well, that goes back to the early '60s, and
7 I've been involved with that ever since. The amount
8 of clinical work I do in relation to autism has been
9 less in recent years, but I continue to see more
10 complicated cases mainly in adults, but raise issues
11 that people want my advice on.

12 I used to be involved quite heavily in the
13 treatments of autistic individuals, but during the
14 last decade my work has been much more of an advisory
15 capacity.

16 Q Approximately how many children would you
17 say you've diagnosed with autism over the course of
18 your career?

19 A Many hundreds.

20 Q And did you follow them into adolescence as
21 part of your career?

22 A Yes, indeed. We have done that as part of
23 clinical practice, but also we have done actually two
24 major systematic follow-up studies going not only into
25 adolescence, but also into adult life.

RUTTER - DIRECT

3244

1 Q You mentioned that you still have somewhat
2 of a clinical practice. Do you follow adults with
3 autism?

4 A That's involved with autism, but also
5 another study I've been involved with is looking at
6 the psychological outcomes of children adopted from
7 very deprived, depriving Romanian institutions into
8 generally well-functioning adoptive homes in the U.K.
9 We have been following those from age four most
10 recently to age 15.

11 They have thrown up a number of clinical
12 problems and so I've been available. Again, because
13 they're scattered all over the U.K. and to some extent
14 the rest of the world now because some of immigrated,
15 my job is advisory rather than taking on the
16 individual treatment.

17 Q Do you still have a research practice?

18 A Very much so.

19 Q And could you please describe what your
20 research practice entails?

21 A I guess what is most distinctive about my
22 research is that I tend to have an integrated approach
23 across different strategies, so I'm involved in
24 quantitative genetic studies, twin and adoptee
25 studies. I'm involved in molecular genetic studies of

RUTTER - DIRECT

3245

1 autism.

2 I'm involved particularly in looking at
3 genetic environmental interplay with respect to gene/
4 environment interactions, but also other forms of
5 interplay. I'm involved in long-term longitudinal
6 studies so that we have recently followed up into
7 middle age the children that we saw in the Isle of
8 Wight in the 1960s.

9 Q Now, according to your curriculum vitae you
10 have published over 400 scientific articles pertaining
11 to child psychiatry and development. Is that correct?

12 A Something of that order.

13 Q And are they all peer reviewed?

14 A Yes.

15 Q And according to your CV, you have written
16 over 200 book chapters related to child psychiatry.
17 Is that correct?

18 A That is correct.

19 Q And you've authored 40 books pertaining to
20 child psychiatry and genetics as it impacts on the
21 issues of child psychiatry? Is that correct?

22 A Yes. Actually a bit more than that now.

23 Q Do you have some manuscripts of books in
24 press?

25 A Yes, I do.

RUTTER - DIRECT

3246

1 Q And do a substantial number of your
2 publications pertain to autism spectrum disorders?

3 A Yes. I've never counted them up, but quite
4 a lot do because that's been a major research
5 interest, as well as a major clinical interest.

6 Q Now, your CV also indicates that you've
7 served on numerous editorial boards for psychiatry and
8 development-related scientific journals. Is that
9 accurate?

10 A Yes.

11 Q Could you please highlight a few of those?

12 A In the children's field, *The Journal of*
13 *Child Psychology and Psychiatry and Allied Disciplines*
14 would be one which is one of the higher impact
15 journals in the field, the *British Journal of*
16 *Psychiatry, Psychological Medicine*, a range of
17 different journals as well as more specialized
18 journals such as *Autism*, so quite a range.

19 Q Now, earlier in your testimony you referred
20 to your previous academic appointments and employment
21 history. Could you briefly discuss your former and
22 your current teaching responsibilities?

23 A Okay. It's all now at the postgraduate
24 level so that I run a course primarily geared on
25 people from the Third World training in child

RUTTER - DIRECT

3247

1 psychiatry. This is an interdisciplinary group of
2 pediatricians, psychologists, psychiatrists. So it's
3 a one-year course, and that covers a range of
4 different issues.

5 I also do a course on social development,
6 which amongst other things deals with gene/environment
7 interaction and also the use of natural experiments to
8 test causal inferences on environmental causes of
9 disease which I did this last year. That's the Ph.D.
10 students taking a special four-year program which
11 spans basically clinical at the Institute of
12 Psychiatry.

13 Q How long have you been teaching?

14 A Since I started in the field half a century
15 ago.

16 Q Do you also give lectures to professional
17 groups or organizations?

18 A Yes, both nationally and internationally.

19 Q On what topics?

20 A Reflecting my wide range of interests on all
21 sorts of things, so most recently a series on ADHD in
22 Oslo, a series in New Zealand last year on gene/
23 environment interaction, a series recently on autism.
24 A great mixture.

25 Q Now, as indicated on your CV you've received

Heritage Reporting Corporation
(202) 628-4888

RUTTER - DIRECT

3248

1 numerous awards and extensive international
2 recognition for your work in child psychiatry and
3 autistic spectrum disorders. Would you just highlight
4 a few of those honors and awards that are most
5 meaningful to you?

6 A The most prestigious probably is the
7 election to the British Royal Society, which is the
8 equivalent to the National Academy of Sciences in the
9 U.S., where I was elected in 1987. I was also elected
10 to the Institute of Medicine in 1988 I think it was.

11 I've got the Helmut Horton prize, which is
12 one of the big prizes in medicine, for my work on
13 autism back 15 years ago. I don't remember which
14 year. I've had the NARSAD award, the Luvain award.
15 I've got quite a range of those.

16 Q Your CV states that you're a founding member
17 of Academia Europaea. What is that?

18 A That is a bringing together across the whole
19 of Europe of the academies both of science, but also
20 the academies in humanities and social sciences.

21 Q It also states that in 1992 you were honored
22 as a Knight Baronet for your work in the field of
23 child psychiatry. Would you please describe what that
24 honor is?

25 A That's a strange British thing that is given

RUTTER - DIRECT

3249

1 for people who have contributed beyond their posts,
2 i.e. it's not given for holding particular jobs, but
3 in terms of making major contributions, in my case in
4 both medicine and education actually.

5 Q Now, your curriculum vitae has been filed as
6 Respondent's Exhibit HH in this litigation. Is
7 Respondent's Exhibit HH an accurate summary and
8 description of your education, qualifications and
9 publications?

10 A Yes, it is.

11 Q Doctor, in your report you stated that four
12 years ago you agreed to serve as an expert witness
13 with respect to thimerosal litigation. Would you
14 please describe what you're referring to?

15 A Yes. That was litigation actually in the
16 United States, and I as part of that did a partial
17 incomplete report, but the litigation was put on hold
18 or abandoned -- I don't know which -- so that I never
19 actually completed that report, and it never of course
20 appeared in final form.

21 Q And you also reference that you were
22 involved in the MMR litigation in the United Kingdom.
23 Could you describe your involvement in that
24 litigation?

25 A Very similar. That I had agreed to give

RUTTER - DIRECT

3250

1 evidence as an expert witness, but the trial was
2 abandoned and my report was never completed or filed.

3 Q Doctor, I'd like to turn now to a discussion
4 of the nature of autistic spectrum disorder. What is
5 meant by the term autism or autistic spectrum
6 disorder?

7 A Okay. It's a term that goes back to 1943
8 when Leo Kanner at Johns Hopkins described a series of
9 11 children with patterns that seemed distinctly
10 unusual and differentiated them from other disorders
11 and where the characteristics would now be considered
12 particularly in relation to three domains of
13 functioning:

14 Firstly, in terms of problems with social
15 reciprocity; secondly, problems in terms of social
16 communication; and, thirdly, unusual circumscribed
17 interests and repetitive patterns of behavior. It's
18 the occurrence of those three plus the fact that the
19 origin is in early life, which are the distinctive
20 features.

21 Q Now, in your report you used the term
22 qualitative to describe the three domains. What is
23 meant by the term qualitative?

24 A It means that it wasn't just that the
25 children are delayed in these functionings, but that

RUTTER - DIRECT

3251

1 the quality was unusual in children of any age. It's
2 abnormality in type, not just in degree or timing.

3 Q Could you please describe what you mean by
4 qualitative abnormality in reciprocal social
5 interaction?

6 A Okay. Even young babies, those are a kind
7 of to and fro quality. It's one of the fun things
8 about babies that you smile at them. They gurgle back
9 again. There's a to and fro.

10 As children grow older of course that
11 becomes more complex, but it is essentially
12 reciprocity in the sense of responding to the other
13 person. It's not doing a particular form of behavior.
14 It is an interplay, and it's an interplay that
15 develops over time.

16 So that's the particular feature which is so
17 strikingly human and so strikingly impaired in
18 individuals with autism.

19 Q And would you please explain what you mean
20 by qualitative impairment in communication as one of
21 the domains?

22 A The same sort of issue that it's not just
23 that children with autism are delayed in speaking,
24 although they usually are, but that they fail to use
25 language in a communicative way so that they may talk,

RUTTER - DIRECT

3252

1 but they don't converse.

2 Let me move ahead to an older age group.

3 The thing about conversation in middle childhood or in
4 adult life is not just that you produce a set of
5 words, but you're talking with the other person.

6 You're responding to them. What they say influences
7 what you say. What you say influences what they say.
8 There's a to and fro.

9 It's that kind of communicative interchange
10 that is the thing that is most strikingly impaired in
11 autism. In addition, they have a variety of atypical
12 features of various kinds like reversing pronouns and
13 so on, but it's the nonsocial that's the most
14 characteristic.

15 Q And the third domain? Would you please
16 explain what you mean by restricted, repetitive and
17 stereotyped behavior, interests and activities?

18 A Yes. This is something that both Leo Kanner
19 and his paper in '43 but also Asperger in his somewhat
20 comparable paper in '44 emphasized.

21 They were not talking about sort of funny
22 movements, although some individuals with autism have
23 funny movements, but rather than they are of a tiny,
24 particular kind so that one child I had would not turn
25 right. If you wanted him to turn right at the

RUTTER - DIRECT

3253

1 crossroads he had to go left and left and left until
2 he got going in the right direction.

3 Another children was preoccupied with
4 drains, knew a vast amount of drains and whenever
5 visited somebody's home looked carefully at their
6 drain system and how it worked.

7 So circumscribed, focused stereotype, but
8 often quite complex so that these are not just simple,
9 repetitive movements. These are things that are a
10 much more complex kind.

11 Q And when do these symptoms typically become
12 manifest in an autistic child?

13 A The social and communicative tend to be much
14 earlier than the repetitive stereotype behavior. The
15 repetitive stereotype behaviors can be evident in the
16 preschool years, but it's during the later preschool
17 years that they tend to become more obvious.

18 Q So by definition do they have to become
19 manifest before the age of three?

20 A Some aspect of the autistic features have to
21 be evident by three by the standard classification
22 criteria, yes.

23 Q You touched on this earlier, but do
24 clinicians have a method for diagnosing and assessing
25 autism spectrum disorders?

RUTTER - DIRECT

3254

1 A Yes. The instruments I described -- the
2 ADIR and the ADOS -- have become pretty standard as
3 research instruments, but the principles of those have
4 been much more widely employed clinically as well.

5 In some specialized clinics they would
6 actually use these instruments, but even where they
7 don't do that they would follow the principles in a
8 more modified way, depending on the time and resources
9 available to them.

10 Q Doctor, what disorders comprise the autism
11 spectrum?

12 A These are a range of disorders where the
13 qualities are very similar to the kind that I've just
14 described, but which in essence vary in their
15 severity.

16 So-called Asperger's Syndrome is an example
17 where the overall delay in language functioning is not
18 found, although the social and communicative
19 qualitative abnormalities are, so that would be one
20 example.

21 Whether that is distinctively different from
22 higher functioning autism or not remains uncertain,
23 but that would be a key feature. It would include a
24 range of other less specific syndromes which tend to
25 get lumped together under atypical or pervasive

RUTTER - DIRECT

3255

1 developmental disorders not otherwise specified.

2 In the existing classification systems, Rett
3 Syndrome is also usually included there, but virtually
4 all clinicians would actually see that as rather
5 different. That is not really a variety of autism.
6 It's just that in the early stages it can be modeled
7 with it, so it's a range that mainly varies in
8 severity.

9 Q Is Child Disintegrative Disorder among the
10 spectrum disorders?

11 A Yes, that would be one. This is a condition
12 first described a very long time ago in which children
13 after apparently normal development show a profound
14 loss of skills, profound disintegration of functioning
15 and later on look very much like a severely
16 handicapped individual with autism.

17 It's been subjected to much less research,
18 and again it's unclear whether it's a variant of
19 autism or simply something that may be confused with
20 it, but you're right. That would also be included in
21 the autism spectrum. It obviously is at the more
22 severe end.

23 Q Will the disorder of autism in an individual
24 persist as he or she ages?

25 A Yes. Quite a number of long-term follow-up

RUTTER - DIRECT

3256

1 studies from Kanner himself to much more recent
2 investigators such as ourselves have shown that on the
3 whole although there are changes and the young people
4 may sometimes become independent, able to hold down a
5 job, but the kind of qualitative abnormalities do
6 persist.

7 There are some individuals, a quite small
8 proportion, who appear to recover completely, but they
9 are a minority.

10 Q Does the condition improve in some
11 individuals rather than --

12 A Yes. Oh, yes.

13 Q Is autism associated with mental retardation
14 or intellectual disability?

15 A Yes, it is. That was observed again early
16 on and has been confirmed many times since.

17 There was a time when people assumed that
18 that was usual, and one of the things that has emerged
19 out of both the genetic research and the
20 epidemiological research is that autism can occur in
21 individuals of normal intelligence, as well as those
22 who are intellectually disabled, and that is what has
23 led to a broadening of the diagnostic concept.

24 Q Now, you touched on Leo Kanner back in 1943.
25 So autism is not a relatively new disorder, is it?

RUTTER - DIRECT

3257

1 A No. There have been quite a few studies
2 done looking back at case records or reports of one
3 kind or another of individuals before 1943, and it's
4 quite clear that once people knew what to look for it
5 had occurred at an earlier point in time.

6 It didn't suddenly begin in 1943. It's just
7 that Kanner was the first man to have the astute
8 observations to recognize these were different than
9 other problems.

10 Q Now, earlier you said that you did one of
11 the first systematic comparative studies of autistic
12 symptoms compared with other forms of mental
13 disorders. Could you explain what you mean by that?

14 A Yes. At that time there were various
15 comparisons between autism and normally developing
16 children, but it seemed to me that that actually
17 wasn't the real issue. The hall porter could probably
18 do that without a diagnostic assessment. The real
19 question was whether autism differed from other
20 developmental and psychiatric disorders.

21 So we took a group of children from the
22 Maudsley Hospital Clinic who had autism, although in
23 those days it was called an infantile psychosis, but
24 that amounts to the same thing nowadays, and a group
25 who were matched for their intellectual level and

RUTTER - DIRECT

3258

1 their sex who attended the same clinic, and we
2 followed both of those over time.

3 And so it was that study which, amongst
4 other things, showed this unusual picture of epileptic
5 seizures developing late. Ordinarily in the general
6 population all individuals with intellectual
7 disability, what used to be called mental retardation,
8 develop their seizures early, so early childhood is
9 the typical time.

10 So it wasn't that the rate of seizures was
11 strikingly raised, but that they began at a very
12 unusual time, later adolescence. They do occur at
13 other times as well, but that was the peak period.

14 Q Now, in your report you refer to the
15 distinctiveness of autism as compared with other forms
16 of mental disorders. Could you please describe what
17 you mean by that?

18 A Yes. A whole lot of research has shown that
19 it's not just in the symptom pattern that individuals
20 with autism are different, but there are all sorts of
21 other ways.

22 For example, the early studies that we did
23 during the 1960s and the experimental studies by
24 people like Byata Hamlin and Neil O'Connor showed that
25 the particular pattern of cognitive skills was quite

RUTTER - DIRECT

3259

1 different in autism as compared with other groups.

2 The fact that the head circumference of
3 children with autism was raised has been shown
4 initially by studies measuring head circumference
5 using a tape measure and more recently with structural
6 brain imaging, and what is characteristic is that the
7 head circumference and the brain size is roughly
8 normal at birth, but increases during the preschool
9 years, whereas in individuals with intellectual
10 disability, mental retardation, their heads tend to be
11 smaller rather than larger. That's something that
12 came out of a study, for example, that Eric Fombonne
13 did.

14 Q Now, at what age do a child's parents
15 typically begin recognizing developmental problems in
16 their children that turn out to be autistic?

17 A Typically around about 18 to 24 months. It
18 varies. Of course, it does vary, as one might expect,
19 to whether they had had an earlier child with autism
20 or whether there are other autistic children whom they
21 knew, but the recognition is usually around and about
22 that age period.

23 With Asperger's Syndrome, because of the
24 lack of overall language delay it tends to be a bit
25 later.

RUTTER - DIRECT

3260

1 Q And what are the first symptoms that are
2 typically recognized by parents?

3 A Quite varied. The communication problems
4 and the lack of social reciprocity are often the first
5 things to be picked up, but it can be quite a range of
6 different things.

7 Often, as is typical with developmental
8 disorders, parents are first aware this child isn't
9 behaving in a way that seems right so that they find
10 it difficult to put their finger on it, but they have
11 recognized there's something unusual in the way the
12 children are behaving. They are picking up the social
13 and communicative abnormalities as a rule.

14 Q Now, in your report you state that subtle
15 social abnormalities are evident in many cases at 12
16 months of age, but study findings do not indicate that
17 an autism diagnosis can readily be made at that time
18 on the basis of ordinarily clinical assessment. Could
19 you please explain what you mean by that?

20 A Yes. There have been a number of studies
21 which have tried to look at whether even though the
22 parents may not have recognized it at the time there
23 were subtle features that were evident at an earlier
24 point.

25 The two main ways this has been done has

RUTTER - DIRECT

3261

1 been from home videos, the films that many families
2 take at birthday parties and family gatherings,
3 looking at whether you can see abnormalities at that
4 time.

5 More recently there have been so-called baby
6 sibs studies which is taking families in which there
7 is one child with autism and following the other
8 children, the rationality being that the genetic
9 studies suggest that a proportion -- five to 10
10 percent -- will develop an autism spectrum disorder,
11 and therefore by assessing them at different ages
12 throughout these early years you can see when the
13 abnormalities appear.

14 What the results show is that if you're
15 looking at it at a group level -- that's to say you're
16 taking a group with autism and a group of normally
17 developing children -- there's very little to show
18 before the age of 12 months, but at 12 months you can
19 find some differences, not in all children, which
20 differentiate the groups.

21 But when this has been done by experienced
22 clinicians who were looking at the videotapes but not
23 as it were during all the complicated measures they
24 actually don't do better than charts, so what the
25 evidence suggests is that there are earlier

RUTTER - DIRECT

3262

1 manifestations, but they're incredibly difficult to
2 pick up and at an individual diagnostic level they are
3 too varied to be of a great deal of use.

4 Now, they have been very useful in one sort
5 of way. That's to say if on looking at these videos
6 you see indications of behavior that is clearly
7 abnormal that is reasonably good evidence that there
8 were abnormalities present at that time.

9 It's less satisfactory the opposite way
10 around because the videos are of course taken to
11 illustrate forever a happy occasion so they're not
12 designed to focus, so the fact that you don't see
13 abnormalities is much less useful than if you
14 definitely do.

15 Sorry. That's rather a long answer, but it
16 is complicated.

17 Q That's fine. That brings me to my next
18 point. In his report on page 5, Dr. Kinsbourne states
19 that the majority of autistic children exhibit some
20 level of autistic behavior in the first year of life.
21 Do you agree with his statement?

22 A No.

23 Q For the reasons that you've just
24 articulated?

25 A For the reasons that I've just given.

RUTTER - DIRECT

3263

1 Q Okay. Is a review of pediatric records
2 during the first year of life a reliable measure of
3 entirely normal development?

4 A No. That's true both of the records that
5 I've seen in the U.K. and in the U.S. The reason of
6 course is that those making the records at the time
7 aren't focusing on the possibility that somebody may
8 later want to know whether there were signs of autism
9 at that time.

10 So they're not bad in terms of clear-cut
11 abnormalities, so that if the record states the child
12 is not yet walking independently that's probably
13 valid. If the record says child seems socially okay
14 that's not much help because you have no idea what
15 they looked at. You have no idea what is meant by
16 that.

17 So again a bit like the videotapes. If
18 there's a clear-cut description of something that is
19 manifestly abnormal then that's quite reasonable
20 evidence. The fact that it's not mentioned other than
21 in a very general way, even not mentioned at all,
22 doesn't help.

23 Q Now, in your report you say there are many
24 variations in the manifestation of autistic spectrum
25 disorders. Could you explain what you mean by that?

RUTTER - DIRECT

3264

1 A Yes. One of the characteristics not just of
2 autism, but of almost all medical conditions, is how
3 varied they are.

4 So let me illustrate that by referring to
5 one monozygotic identical twin pair that was part of
6 the study that Susan Folstein and I did. They are
7 both autistic and they have various things in common,
8 but at an IQ level they're 50 points apart so one is
9 functioning in the normal range; one is in the
10 intellectual disabled/retarded range. If you look at
11 the details of the symptomatology you would see
12 similar variations of this kind.

13 Q Is this evidence that there are
14 environmental risk factors at work to explain the
15 variance?

16 A Not at all. So that, for example, if one
17 takes a condition like tuberous sclerosis, which is a
18 mendelian condition -- that's to say due entirely to
19 genetic factors, not environmental conditions -- some
20 individuals show minor skin abnormalities that require
21 an expert to detect them. Others have large tubers in
22 the brain which are associated with mental
23 deficiencies, severe intellectual disability and
24 epilepsy.

25 So here we have a condition that has no

RUTTER - DIRECT

3265

1 evidence of environmental factors playing a role, but
2 with a similar degree of variation, and that would be
3 true generally. I mean, that's nothing very special
4 to autism.

5 Q Are there any known medical causes of
6 autism?

7 A Yes, there are. So that tuberous sclerosis
8 is associated with a much increased rate of autism.
9 The Fragile X anomaly is associated with a small
10 proportion of cases. So there are a number that play
11 a part in causation.

12 I deliberately put it play a part in
13 causation because it is quite difficult to know
14 whether this fully accounts for the disorder or not,
15 so to come back to tuberous sclerosis, yes, there is
16 quite a strong association.

17 There's every reason to support it's part of
18 the cause of the process, but there's also evidence
19 that the risk goes up according to where in the brain
20 the tubers, meaning tumors, are found and whether
21 there is associated intellectual retardation.

22 So that it's not clear whether it's the
23 genes are interconnected or the parts of the brain
24 that are involved bringing it together, but, yes,
25 there are some. The estimates of the proportions of

RUTTER - DIRECT

3266

1 cases due to diagnosable medical conditions vary, but
2 it would be somewhere around the 10 to 15 percent
3 level.

4 Q Now, in your report you mention that there
5 have been case reports of individual cases of herpes
6 encephalitis that give rise to autistic-like features.
7 Are those case reports evidence of a postnatal cause
8 of autism disorder?

9 A They have been claimed as such, and I
10 included them in my report really out of fairness
11 because of those claims.

12 If you read carefully the reports, they're
13 not actually terribly convincing that this is autism
14 as we understand it, and of course because there are
15 some autistic features of a kind that are parallel
16 they are utterly different in the cause, the age of
17 onset, I mean all sorts of other features, and they
18 are rare. There are isolated, rare reports, so I
19 don't find those actually very convincing.

20 Q Now, in your report you state that rarely
21 brain abnormalities acquired postnatally can give rise
22 to ASD-like features. Can you please explain what you
23 mean by that?

24 A Yes. Because we don't know the precise
25 neuro basis, i.e. the precise brain basis, of autism

RUTTER - DIRECT

3267

1 it is difficult to decide whether you're dealing with
2 true postnatal causes or whether you're dealing with
3 what are called phenocopies, things that look a bit
4 like autism but are actually very different.

5 So that the evidence which is reasonably
6 solid applies all to prenatal causes, but it is
7 certainly possible that very early postnatal causes
8 might do the same thing, but I put it in terms like
9 that rather than that there are good examples that are
10 really proven to a satisfactory degree.

11 Q Are there objective signs of abnormal brain
12 development in some autistic individuals?

13 A Oh, yes. The findings of increased brain
14 size during the preschool years is an example of that.
15 What we don't have is an objective test so that if
16 one's concern as a medic is to diagnose diabetes there
17 are laboratory tests that can tell you whether the
18 person does or doesn't have diabetes. You don't have
19 to rely on just the symptoms.

20 But in almost all of psychiatry, including
21 child psychiatry and autism, we don't have tests like
22 that.

23 Q You had mentioned that some individuals with
24 autism develop seizures in adolescence.

25 A Yes.

RUTTER - DIRECT

3268

1 Q What percentage?

2 A About 25 percent.

3 Q You also touched on this earlier that brain
4 imaging studies are consistent --

5 A Yes.

6 Q -- in showing a systems abnormality rather
7 than a localized brain area abnormality. What do you
8 mean by that?

9 A There was a day, if we go back several
10 decades, where neurologists and psychiatrists were
11 thinking that autism might be due to a particular part
12 of the brain that was malfunctioning. It's quite
13 clear from all research that's been done over the
14 recent decades that it isn't like that. There is not
15 a part of the brain that's gone wrong that causes
16 autism.

17 Rather what the research suggests is that
18 it's much more a systems abnormality in the brain in
19 which the interconnections between different parts of
20 the brain is not working the way that they should so
21 that the functional imaging studies would be striking
22 in showing that.

23 So these are studies in which you are
24 examining brain function in relation to either
25 specific cognitive tasks such as the mentalizing skill

RUTTER - DIRECT

3269

1 for agent theory of nine or in relation to specific
2 drugs, and what you find is that the parts of the
3 brain that are working when these tasks are dealt with
4 are different in individuals with autism than in
5 normally developing individuals.

6 But they don't end up with a clear-cut
7 answer why it's there rather than there. It's that
8 the interconnections are not functioning in the way
9 that they should.

10 Q Now, your report also states that there are
11 congenital physical anomalies found in some children
12 with autism.

13 A Yes.

14 Q Could you please explain what you mean by
15 that?

16 A Yes. Let me start with a preliminary
17 statement that the way biology works is probablistic.
18 That's to say that the development of human beings or
19 indeed any animal is designed to work in a particular
20 sort of way, but there aren't instructions from each
21 gene to say what each and every cell does.

22 It as it were specifies a path, and there is
23 a need then later to have ways of correcting that
24 path. That means that things go wrong quite often so
25 that many people will know of children who have been

RUTTER - DIRECT

3270

1 born with extra teeth or missing teeth or an extra
2 nipple. They are minor things that mostly have no
3 functional significance.

4 But these are things which relate to
5 prenatal development and where the rates of these
6 kinds of abnormalities is increased. Not just in
7 autism. It's increased in schizophrenia, ADHD and a
8 range of other disorders. So they are of interest in
9 showing developmental perturbations; that the way in
10 which development should proceed is not functioning
11 quite right for reasons that must have gone wrong at a
12 prenatal stage.

13 Q Now, in your report you state that autism is
14 associated with a deficit in what you term theory of
15 mind.

16 A Yes.

17 Q Could you please explain what you mean by
18 that term?

19 A Yes. It's not actually a term that I
20 particularly like because it sort of sounds as if the
21 children have got some theory like Darwin or Einstein
22 or whatever, but it isn't like that.

23 What it refers to is the fact that human
24 beings are really very good at recognizing from the
25 social context and a broader range of cues what

RUTTER - DIRECT

3271

1 another person is likely to be thinking. That's a
2 mentalizing skill, and it's as it were being able to
3 read into the other person's mind.

4 The example that I can give is a case that I
5 actually wrote up in 1983 of a young man, a higher
6 functioning autistic individual, who complained that
7 everybody else seemed to have an extra sense that he
8 lacked.

9 He said that he would go into his boss'
10 office and his boss was on the phone and so he would
11 start asking him a question and the boss would get
12 angry and tell him to get out because he was busy on
13 the phone. He hadn't picked up that if the man was on
14 the phone it was likely that he didn't want to be
15 interrupted.

16 In the same sort of way, we do this all the
17 time. So with young children you can see them sizing
18 up social situations. If they're trying to join a
19 group of other children are they going to be welcomed?
20 Are they not being welcomed? What must they do to try
21 and join the group?

22 These mentalizing skills of understanding
23 from the social situation is what is meant by theory
24 of mind. There are special tests which I could
25 describe if you wish that are designed to test that

RUTTER - DIRECT

3272

1 specifically, but it's a very universal skill that
2 appears very early.

3 Q Is that considered to be a cognitive
4 deficit?

5 A Yes.

6 Q And what do we know about the effect of
7 genetic influences on one's liability to autism?

8 A The twin studies are consistent in showing
9 that there is a strong genetic liability so that the
10 concordance rate in monozygotic pairs or identical
11 twin pairs is about 60 percent for the full picture of
12 autism. It's about 90 percent for a broader
13 phenotype, i.e. with milder estimates, milder
14 manifestations.

15 Whereas in dizygotic pairs the full picture
16 is found in a very small proportion, five percent or
17 less, and up to about 10 percent with these broader
18 manifestations, so the gap between the identical pairs
19 that share all their genes and the dizygotic pairs
20 that share half their genes indicates a strong genetic
21 liability.

22 In order to quantify that you have to know
23 something about the frequency in the general
24 population, but the estimates are that about 90
25 percent of the liability to autism is genetically

RUTTER - DIRECT

3273

1 influenced.

2 Q Now, you addressed earlier that you
3 conducted the first twin study of autism, correct?

4 A Yes.

5 Q What did that study entail?

6 A Indeed just as I've described, but it was
7 also important for the first time in indicating that
8 the genetic liability applied outside the traditional
9 handicapping disorder so it was actually one of the
10 first indications that there needed to be a broadening
11 of the diagnostic concept.

12 Q And what have twin studies shown to be the
13 concordance rate of autism? You just said 90 percent
14 with MZ twins.

15 A Yes.

16 Q What was the percentage for dizygotic twins
17 again?

18 A About 10 percent --

19 Q About 10 percent.

20 A -- with the broader phenotype.

21 Q Okay.

22 A And less than five percent with a full
23 picture.

24 Q The full picture being autism?

25 A Yes.

RUTTER - DIRECT

3274

1 Q Autistic disorder? Okay. Now, there have
2 also been studies done in families with autistic
3 family members, correct?

4 A Yes.

5 Q When we talk about family studies, what does
6 that mean?

7 A It means looking at autistic-like features
8 in this instance, but also other features in family
9 members.

10 The studies that were set up by Susan
11 Folstein and her colleagues at Johns Hopkins and my
12 group in London at about the same time after the
13 initial twin study was comparing the families of
14 individuals who had one or more -- some individual --
15 affected with autism with a Down Syndrome group where
16 we were equating for a handicapping condition to try
17 and equate the people's awareness of the sort of
18 things that might be important, but where there was no
19 reason to suppose that the same genetic factors
20 applied.

21 What this showed was that the rate of autism
22 and the rate of the broader phenotype, these milder
23 conditions, was much more common in the individuals
24 with an autistic individual than it was in the group
25 with a Down Syndrome individual.

RUTTER - DIRECT

3275

1 Other studies have used different comparison
2 groups, but the results are all pretty much the same
3 in showing that what is usually called the familial
4 loading -- that's to say the proportion, members of
5 the family who show these sorts of features -- is much
6 up in relation to autism.

7 So the strategy is different, and you can't
8 tell from that per se whether it's genetic, but the
9 pattern is very similar to what was found on the twin
10 studies.

11 Q Now, in your opinion do nongenetic risk
12 factors have a contributory role in some instances of
13 autistic spectrum disorder?

14 A Yes. The evidence from the twin studies,
15 but also the family studies, is that autism is a
16 multifactorial disorder. That's to say it's not a
17 mendelian condition in which one gene fully accounts
18 for autism.

19 What that means is that you must expect that
20 the resulting condition, i.e. autism or an autism
21 spectrum disorder, comes from the combination of
22 multiple genes -- in the case of autism probably a
23 modest number; the estimates have been something
24 between three and 12 or something of that order -- and
25 also nongenetic factors.

RUTTER - DIRECT

3276

1 Now, the terminology of nongenetic factors
2 rather than saying environmental factors brings in the
3 important consideration that the nongenetic factors
4 need not necessarily involve a defined measurable
5 environmental hazard so that the congenital anomalies
6 would be one example.

7 We know that the rate of chromosome
8 abnormalities is raised in autism compared to the
9 general population. It's not that a particular
10 chromosomal abnormality, with one exception, is
11 particularly associated with autism. It is the
12 chromosomal anomalies more generally are increased.

13 More recently there's been a study of what
14 are called copy number variations, which is meaning
15 minuscule, submicroscopic deletions or substitutions
16 of bits of the genetic code, are also more common in
17 autism. Now, all of those are not due to a defined
18 environment, but they're not genetic in the ordinary
19 sense of the word.

20 In addition, there's a very interesting
21 study published last year by Reichenberg which showed
22 that the risk of the offspring having autism was
23 raised if the fathers were unusually elderly.

24 It's not that that's causing a direct
25 effect. It's that we know from the larger study of

RUTTER - DIRECT

3277

1 mothers -- I don't mean by Reichenberg, but by loads
2 of people -- that when children are born to older
3 mothers they have higher rates of what I have termed
4 these developmental perturbations, and it may be that
5 it's that sort of nongenetic factor instead of the
6 defined environmental course.

7 Both are possible, but one has to as it were
8 bear in mind that what is not genetic is not
9 necessarily an environmental hazard.

10 Q Now, in your report you say that it's wrong
11 to assume that because the heritability of a liability
12 to autism is as high as 90 percent this leaves little
13 room for any major environmental influence. What do
14 you mean by that statement?

15 A Heritability is a population-specific
16 characteristic. That's to say it tells you the
17 variation in a particular population at a particular
18 point in time what is the importance of genetic
19 factors.

20 Obviously if a new environmental factor
21 comes on the scene that will change that. Equally, if
22 new genetic factors come on the scene that will change
23 that.

24 The most obvious example that people know
25 about is with human height. Height also has a

RUTTER - DIRECT

3278

1 heritability of about 90 percent, but the average
2 height studies I know are in the U.K. and Netherlands,
3 but as far as I know the same applies all over the
4 world.

5 Well, let me refer to the British and Dutch
6 study. Between 1900 and about 1950 the average height
7 rose by approximately 12 centimeters. That's a big
8 rise. We don't know for sure what it's due to, but
9 it's almost certainly due to improved nutrition and
10 partly also to a reduction of the impairments caused
11 by infection.

12 So here is an example of something which is
13 highly heritable, but nevertheless a major
14 environmental factor could and did make a difference.

15 Q If there were an environmental influence,
16 speaking to the heritability of a liability to autism,
17 when in the course of development would that influence
18 occur?

19 A It's likely to be in the prenatal period.
20 It could be I suppose in the very early postnatal, but
21 the evidence suggests prenatal is more likely.

22 Q Now, during his testimony Dr. Kinsbourne
23 discussed concordance rates in monozygotic twins as
24 being approximately 60 percent for autistic disorder
25 and 90 percent for the broader autism phenotype as

RUTTER - DIRECT

3279

1 you've described.

2 He then agreed to a statement made by
3 Petitioners' counsel that the other 10 to 40 percent
4 of autism in twins must therefore be unexplained by
5 genetics. Do you agree?

6 A No. Because that is modeling up a
7 population statistic that has no implications for any
8 single individual with an implication that it does, so
9 that the concordance rates say that in the populations
10 studied that is the proportion of the variance.

11 It definitely is not saying that that means
12 that 40 percent or any other percent don't have
13 genetic factors. It is saying that in the population
14 as a whole there is a mixture of the two and that
15 overall genetic factors tend to be more important than
16 environmental, nongenetic factors.

17 It tells you nothing about whether they
18 operate in this way or that way in an individual. You
19 can't do that from a twin study.

20 Q Now, on page 9 of his report, which will
21 flash on the screen, Dr. Kinsbourne states that the
22 causal role of gene/environmental interaction has
23 become firmly established in the mainstream of autism
24 research and theory. Is this correct?

25 A No, it's not correct. It is, I think from

RUTTER - DIRECT

3280

1 the way he puts it, confusing two rather different
2 issues. The first is the acceptance that both genetic
3 and environmental or nongenetic factors are likely to
4 play a role. That I agree with, but he is putting it
5 in terms of gene/environment interaction.

6 Gene/environment interaction is a specific
7 concept in which the genetic influences operate on the
8 environmental susceptibility to disease or some other
9 kind of outcome. There is no evidence that I'm aware
10 of that that has been shown in autism with respect to
11 identifying genes and identifying environments, so
12 that's not only not firmly established; it's not
13 established at all.

14 It is a possibility because we do know that
15 in other conditions gene/environment interaction is
16 important, but at the moment that is entirely
17 speculative with respect to autism.

18 Q Now, Dr. Kinsbourne in his report at page 6
19 states that it is generally agreed that the incidence
20 of the ASD diagnosis is rising spectacularly. Do you
21 agree with that statement?

22 A No. What is generally agreed is that the
23 diagnosis of autism has risen spectacularly so that by
24 incidence, and he's implying that it's new cases and
25 that it is, as it were, a true increase in a

RUTTER - DIRECT

3281

1 condition. That remains uncertain.

2 We know that it has been diagnosed more
3 frequently, and everybody would agree that at least a
4 large part of that rise has come from a broadening of
5 the diagnostic concept, which we've already discussed,
6 and better ascertainment.

7 That's to say that pediatricians and family
8 doctors and psychiatrists and psychologists have
9 become more aware of the early manifestations of
10 autism, so diagnosed autism has risen spectacularly.
11 We do not know whether the incidence has or has not.

12 Q Now, in your report you state that earlier
13 epidemiologic studies showed rates of ASDs that are
14 much lower than the more recent studies. In your
15 opinion, why is that?

16 MS. RICCIARDELLA: Maybe you can bring that
17 down. Thank you.

18 THE WITNESS: Well, because of better
19 ascertainment and better measurement and a broadening
20 of the concept.

21 So actually in the earlier accounts by
22 Victor Lotter, the first epidemiological study in the
23 1960s, he did have a category of autistic-like
24 disorders. He didn't pay a lot of attention to those
25 at the time, but it was saying that the broadening

RUTTER - DIRECT

3282

1 actually was being envisaged at that time.

2 Now, if we look at the modern studies of the
3 rate of autism I think one can have a lot of
4 confidence that they're well conducted using good,
5 sound, clean methods, good instruments of measurement,
6 and they are highly consistent in what they show, so
7 they're on solid ground.

8 The difficulty of, as it were, looking
9 backwards is that you can't reconstruct samples and
10 measures that weren't available at that time to say
11 whether the earlier rates were equally satisfactory.

12 I thought virtually everybody would agree
13 that they weren't as satisfactory, so modern rates I
14 have confidence in as being probably reasonably
15 accurate. I think the change is mainly
16 methodological, but it's very difficult to rule out
17 the possibility that in addition to that there has
18 been a true rise due to some as yet to be identified
19 factor.

20 BY MS. RICCIARDELLA:

21 Q Now, you state that there has been a
22 broadening of the diagnostic concept. You've used
23 that term a few times in your testimony. What do you
24 mean by a broadening of the diagnostic concept?

25 A Well, I think the main thing is a

RUTTER - DIRECT

3283

1 recognition that individuals of normal intelligence
2 can and do show something that there's every reason to
3 suppose is autism, i.e. it's not just it looked like
4 autism. It probably is autism.

5 Although that was adumbrated by both Kanner
6 and Asperger back in the '40s, it wasn't articulated
7 quite like that and so people were reluctant to
8 diagnose autism in individuals with normal
9 intelligence.

10 There are other ways in which there has been
11 a broadening, but alongside that is diagnosing autism
12 in individuals who in their way are holding their own
13 in society, albeit in a somewhat unusual fashion.

14 So the broadening I think has good research
15 support. There are two difficulties though. The
16 first is that whereas everybody would agree that it's
17 broadened, it's not quite so clear where you draw the
18 line. Does it stop here or here or here? There isn't
19 research that tells us that. All it says is that it's
20 a lot broader than we used to think.

21 The other is that the group with these
22 milder manifestations differ in two key respects from
23 ordinary autism; that is, that they're not mentally
24 retarded and not intellectually disabled, and they
25 don't have an increased rate of epilepsy, and we

RUTTER - DIRECT

3284

1 really have very little idea as to why.

2 Q Doctor, I'd like to talk a little bit about
3 regression in autism.

4 A Yes.

5 Q What is regressive autism?

6 A It's not a term that I like to use because
7 it implies a different category, so let me turn back
8 to the way it's usually been talked about.

9 For many decades there have been repeated
10 clinical studies which have noted that a proportion of
11 individuals with autism go through a period in which
12 they appear to lose skills that they had previously.
13 Indeed, the Kanner and Eisenberg follow-up noted that
14 a long time ago.

15 The term regressive autism was introduced I
16 think initially with MMR claims, but then more
17 recently with thimerosal claims, as if this was a
18 distinctive, new category. Well, it's not new. It's
19 been observed since many, many years.

20 And moreover the evidence suggests that it's
21 not a yes/no phenomenon. That's to say that there
22 certainly are children who show a dramatic loss of
23 skills. Equally there are those where the loss is
24 much more minor, much more difficult to spot, and then
25 there are all varieties in the middle.

RUTTER - DIRECT

3285

1 So regression is for real. The studies both
2 from home videos and from the baby sibs studies
3 confirm the reality, but it's not as far as one can
4 tell a distinct group that is quite different.

5 Q At what age does regression typically take
6 place?

7 A Typically around and about the second half
8 of the second year, 18 to 24 months. It does occur
9 both earlier and later than that, but that's the
10 typical period.

11 Q And what percentage of children who are
12 autistic have suffered a regression?

13 A The figures vary from study to study, but a
14 quarter to a third or something of that order. So
15 it's reasonably common, but it's a minority.

16 Q Has the rate increased over time?

17 A As far as one can see, it's remained very
18 stable.

19 Q I would like to flash on the screen a
20 paragraph from Dr. Kinsbourne's report on page 7.
21 It's lengthy, but I will read it out loud. He states
22 that:

23 Furthermore, the proportion of ASD children
24 of the regressive subtype remains at a level of
25 between 20 and 30 percent. There have not been any

RUTTER - DIRECT

3286

1 changing diagnostic criteria for regression and
2 regression of development into nonautistic states,
3 though it does occur due to certain brain
4 degenerations is rare. I think I might be reading
5 this incorrect.

6 Regression is so much more striking and even
7 shocking as compared to slow development that it is
8 hard to imagine that in the past it was simply not
9 noted in many cases. Diagnostic substitution is a
10 nonstarter since alternate descriptions such as mental
11 retardation and learning disabilities are not
12 characterized by regression.

13 These considerations indicate that the rise
14 in the number of cases of regressive autism is no
15 artifact, but is very real. Genetic causation cannot
16 explain this, but gene/environment interaction can if
17 exposure to proactive environmental factors is
18 correspondingly increasing.

19 That's a long paragraph, but, Doctor, do you
20 agree with Dr. Kinsbourne's statement?

21 A No, I don't really.

22 Q Why?

23 A Let's start with what I do agree with. The
24 first statement that the proportion with regression
25 has remained at roughly the same level is something

RUTTER - DIRECT

3287

1 I've already mentioned, and as far as one knows that's
2 correct.

3 It has to be said that the quality of the
4 measurement in these studies is pretty variable so
5 that it's a lack of evidence of change rather than a
6 solid finding of no change, but by and large I agree
7 with what he's said.

8 There have actually been changes postulated
9 -- put forward -- for the diagnostic criteria of
10 regression, but I would agree with him that it's not
11 likely that those account for any differences. The
12 problem comes in this sort of jump from saying the
13 overall rate of autism has gone up. The rate of
14 regression remains the same.

15 Therefore, let us assume that the rate of
16 nonregressive autism, to use his terminology rather
17 than mine, has gone up for other factual reasons,
18 better ascertainment and so on. It can't have applied
19 to regression. Therefore, the regression is real.

20 Well, that involves a whole series of
21 assumptions, none of which have good support, that if
22 there had been a new phenomenon that had come on the
23 scene then you might expect that it would be evident
24 in the proportion going up and that that would be
25 shown in the overall figure so that you can't go from

RUTTER - DIRECT

3288

1 one statistic to the other in the way that he has.

2 He says that there are no other cases
3 characterized by regression other than rarely. Well,
4 it depends what you mean by rarely. A genetic
5 causation can't explain this, but that seems to imply
6 that genes as it were cause something now and can't
7 explain changes later, but there's a massive genetic
8 research which shows the opposite. That's to say
9 genes influence development just as much as they
10 influence things at the beginning.

11 Let me give two very different examples to
12 illustrate what I mean. Huntington's disease is a
13 rare disease caused by a particular single gene. It's
14 a mendelian condition. Nobody has ever suggested
15 environmental factors play a role, and there's a lot
16 of evidence that they don't and couldn't, but it only
17 becomes apparent in middle age as a rule. Very rarely
18 it can begin earlier than that.

19 So here it's genetic. It's fully genetic,
20 but the effects only come on later and there is a loss
21 of skills in the early forties or some time period
22 like that. Nothing to do with the environment.

23 Let me take a different example, in this
24 case not a disease. Women go into their menarche, the
25 onset of menstrual periods, during early adolescence.

RUTTER - DIRECT

3289

1 This is strongly genetically influenced. It's part of
2 the biological programming brought about by genes.
3 It's not that girls encounter some environmental
4 hazard that brings on the period. This is what genes
5 are doing.

6 So that there are lots of examples where
7 genes are influencing things way down the line. There
8 are hundreds more examples one could give, but it's
9 just wrong to support that if it's genetic it has to
10 be present early.

11 So let's just move closer back again to the
12 evidence of increased brain size in autism in the
13 preschool years. There's no evidence that
14 environmental factors have brought that on. It is
15 presumably part of what the genes are doing.

16 In the same way, schizophrenia is known to
17 have a high heritability. The first manifestations of
18 schizophrenia are in the preschool years. There are
19 studies which show that difficulties with language
20 comprehension and with motor coordination are more
21 common in individuals who later go on to develop
22 schizophrenia than in the general population or indeed
23 in other disorders such as bipolar disorder.

24 There are then findings in childhood and
25 early adolescence, again all connected with this

RUTTER - DIRECT

3290

1 process, so that here we have a strongly genetically
2 influenced disorder. It's not that some environmental
3 hazard comes in in early childhood that translates
4 these early developmental abnormalities into
5 schizophrenia. It's part of the genetically
6 influenced disorder.

7 So there is no reason to invoke an
8 environmental factor unless there's positive research
9 evidence that that is what has happened.

10 Q Thank you. Now, on page 6 of his report Dr.
11 Kinsbourne describes regression as "unexplained
12 encephalopathy". Is there evidence to support this
13 statement?

14 A No. Well, encephalopathy implies that we
15 know that there's something going wrong in the brain
16 when this is happening.

17 Well, obviously something is happening in
18 the brain for the regression, but whether it's an
19 encephalopathy, which is ordinarily assumed to mean
20 some kind of inflammatory process, there's no evidence
21 of that.

22 Q Does regression mean that a child is
23 developing normally before the regression occurs?

24 A Not necessarily. In some cases it's clear
25 that there were abnormalities before the regression

RUTTER - DIRECT

3291

1 occurred, and there are other cases in which as far as
2 one can tell there weren't.

3 Q Now, in your report you state that
4 substantial regression is a relatively common feature
5 rather than a rare one.

6 A Yes.

7 Q Could you explain what you mean by that?

8 A Well, the studies come out 20 to 30 percent.
9 Twenty to 30 percent is quite a substantial minority
10 so that it's not dealing with rare phenomenon. To the
11 contrary, it's dealing with a reasonably common
12 phenomenon.

13 Q Again, Dr. Kinsbourne in his report on page
14 4, which we'll put on the screen, states that
15 classical what he terms congenital and regressive
16 autism differ sharply with respect to their known
17 medical causation. Do you agree with his statement?

18 A I have no evidence supporting that. The
19 fact of the matter is that there have not been
20 systematic studies comparing so-called regressive with
21 so-called nonregressive autism in relation to medical
22 factors that might be causative, so it's pure
23 speculation that they're different. They may be.
24 They may not be.

25 Q Why isn't the fact that some children

RUTTER - DIRECT

3292

1 regress evidence of some sort of external trigger or
2 trauma?

3 A Well, the examples that I've already given
4 with Huntington's disease and the menarche would be
5 one example, but let me give two rather different
6 ones.

7 There is a strong temptation for all of us
8 to suppose that when a certain change occurs that
9 there must be some environmental trigger that has
10 brought it about, but let me give two other examples.

11 It is well established that children with
12 profound congenital nerve deafness show normal
13 vocalizations for about the first six months of life,
14 but they then develop this kind of guttural
15 vocalization, which is so characteristic of deaf
16 children that anybody who has visited a school for the
17 profoundly deaf is familiar with this.

18 Now, they've been deaf since the word go so
19 the condition has been there throughout, but the loss
20 of clear vocalizations came because the input of
21 language becomes in part in vocalizations around and
22 about the middle of the first year of life. There's
23 no environmental change. It is part of the normal
24 developmental process.

25 In the same sort of way, babies all over the

RUTTER - DIRECT

3293

1 world have the same range of phonological skills.
2 That's to say the different sounds they make are much
3 the same, so Japanese babies, French babies, English
4 babies, even American babies, all make much the same
5 sounds again up to about the first six months of age.

6 Thereafter they lose the ability to make
7 sounds that are not part of their language environment
8 so that what is happening, the early sounds are not
9 dependent on verbal input. The later sounds, the
10 later vocalizations, are. This is a loss of a skill.

11 The example that people tend to know about
12 is the difficulty that Japanese people have in
13 differentiating between R and L. That has no part in
14 the Japanese language. It is, of course, a crucial
15 part of most other languages. So that because it's
16 not part of their language environment that
17 differentiation between R and L which they will have
18 had up to the first six months they have lost.

19 So there are lots of examples where the
20 brain systems that are necessary for particular
21 functions change with development, and as they change
22 with development skills may be lost or acquired as
23 part of this biological programming.

24 Q Now, in this litigation it's alleged that
25 the very existence of regression in autism is evidence

RUTTER - DIRECT

3294

1 that the autism was caused by an environmental
2 trigger, in this case thimerosal. Is this a valid
3 conclusion to draw about the cause of regressive
4 autism?

5 A No, for all the reasons I've given. What
6 would be needed is positive evidence that thimerosal,
7 A, was a causal factor in autism, and, B, it was
8 particularly a causal factor with autism involving
9 regression.

10 Q Now, you said earlier that there is no
11 evidence that regressive autism is a distinct disorder
12 from autism.

13 A Yes.

14 Q You say it may be, but it may not be based
15 on the evidence.

16 A Yes.

17 Q What would you say the probability is that
18 it is a distinct disorder, based on the evidence?

19 A I don't know. As I think Dr. Kinsbourne in
20 his evidence talks about, most biological features
21 work on a continuum, and I would agree with that
22 statement.

23 For some reason he seems to think that
24 regression is an exception to that usual biological
25 rule. I don't think it is. I have no idea what the

RUTTER - DIRECT

3295

1 proportions would be.

2 Q Now, with respect to causal inferences that
3 can be drawn from the studies that have looked at the
4 neurotoxic effects of mercury, what, if any, causal
5 inferences can be drawn from those studies?

6 A Okay. Well, I think we need to turn first
7 to the studies looking at high levels of mercury and
8 what we know about the effects of mercury.

9 I'm not a toxicologist so I can't speak to
10 the specifics of that, but the epidemiological and
11 clinical studies make quite clear that high doses of
12 mercury are toxic to the brain and cause damage.
13 That's not in dispute.

14 There are then epidemiological studies like
15 the one in Seychelles or the one in the Faroe Islands
16 -- there's also a New Zealand study -- which are
17 looking at levels below these very high levels where
18 we know there are obvious clinical effects to see
19 whether there are more subtle effects.

20 It's difficult to come up with a firm answer
21 on that, but I think that my conclusions would be
22 pretty much in line with most commentators. That's to
23 say there is some suggestive evidence that there may
24 be slight cognitive sequelae with these intermediate
25 levels.

RUTTER - DIRECT

3296

1 So that it's difficult to say where there is
2 a bottom limit when exposure to mercury is entirely
3 safe. It is notable, however, that none of those
4 studies identify autism as one of the sequelae so that
5 there is good evidence that very high doses of mercury
6 is damaging.

7 There is slight suggestive evidence that
8 levels below that may be in mild degree, but no
9 evidence from these studies that autism is one of the
10 outcomes.

11 Q Are there differences between the symptoms
12 of mercury poisoning and the symptoms of autism?

13 A Yes, numerous differences. I know of a
14 paper that drew parallels, but if you look at the list
15 of features that you get with mercury poisoning and
16 the list of features you get with autism, the thing
17 that jumps out at you is that there are very few
18 similarities and there are lots of differences, so I
19 think that's really completely unpersuasive.

20 Q In your opinion, is there any reliable
21 evidence that chronic low dose exposure to thimerosal
22 in vaccines causes regressive autism?

23 A No.

24 Q I'd like to turn briefly to epidemiology
25 that's been conducted in this area.

RUTTER - DIRECT

3297

1 A Okay.

2 Q Is epidemiology an important field of
3 science in assessing whether thimerosal-containing
4 vaccines cause autism?

5 A Yes. Let me answer first in a general way
6 that throughout the history of medicine it has been
7 important to use epidemiological evidence to look at
8 environmental causes of disease.

9 It's important because there are so many
10 potential causes that you couldn't study directly in
11 the laboratory for ethical reasons in humans, so the
12 question is have there been successes epidemiology in
13 this way.

14 So a working party to the American Academy
15 of Medical Sciences which I chaired and which reported
16 late last year looked very systematically at this and
17 the whole issue as to when and how one can use
18 epidemiologic type evidence to draw causal
19 conclusions, and what we sought to do was to compare
20 ones where there would be general acceptance, but it
21 has worked, and other examples where it hasn't.

22 So the best known, but by far from the only
23 example, of success would be smoking and lung cancer.
24 So that the study by Richard Doll back in the '50s
25 showed a strong association between smoking and lung

RUTTER - DIRECT

3298

1 cancer, and then a variety of other studies were done,
2 in particular a study looking at what happened to the
3 rates of lung cancer in doctors, because he did a
4 study of doctors, who stopped smoking and found that
5 the rate of lung cancer went down when they stopped
6 smoking.

7 Now, it took actually quite a long time for
8 the evidence to be seen as pretty decisive, although
9 back in the mid '60s the U.S. Surgeon General's report
10 and the parallel independent report from the U.K. both
11 pointed to this being a likely cause.

12 Over time other evidence came in so that
13 experimental studies with animals showed the
14 carcinogenic effects of tar and so a mechanism was
15 then found and so the successful cases where
16 epidemiology has worked has come about because of the
17 care of the methodology and with recognition that all
18 epidemiological findings are open to what
19 epidemiologists talk about as confounders, meaning
20 variables that aren't a cause, but are associated with
21 the supposed causal factor and the outcome and
22 therefore create a misleading impression.

23 And so one of the things that was done with
24 the smoking example was to work out how big an effect
25 a confounder would have to have to overturn the causal

RUTTER - DIRECT

3299

1 effect between smoking and lung cancer. The estimate
2 was it would have to increase the risk ninefold.
3 Nobody but nobody could think of any confounder that
4 might have an effect anywhere near as big as that.

5 So I've gone on at some length on that one
6 example because it illustrates how powerful
7 epidemiological evidence can be, but how careful one
8 has to be in how the epidemiological studies are done
9 and how important it is to combine it with other
10 research strategies.

11 The other successful examples like fetal
12 alcohol syndrome would be another that shows the same
13 kind of good epidemiology, good experimental studies.
14 So epidemiology at its best, properly done, proper
15 attention to confounders, proper use of other research
16 strategies is a crucial part of studying environmental
17 causes of disease.

18 Q Now, in your report you discuss the
19 epidemiologic studies that have been done that have
20 looked at the relationship between certain dose
21 amounts of thimerosal and autism.

22 I'm referring to the Heron study, which for
23 the record is Petitioners' Master List 14; the Andrews
24 study, which is Petitioners' Master List 4; the
25 Verstraeten study, which is Petitioners' Master List

RUTTER - DIRECT

3300

1 247; the Fombonne study, which is Petitioners' Master
2 List 40; and the Hviid study, which is Petitioners'
3 Master List 238.

4 Taken as a whole, Doctor, what do these
5 studies demonstrate with regard to the purported
6 association between thimerosal-containing vaccines and
7 autism?

8 A They're all unresponsive of a causal
9 association. In my report I go carefully into the
10 strengths and limitations of each of those studies.

11 So that I followed the British tradition of
12 giving expert reports. That's to say my duty as a
13 scientist is not to speak for or against any
14 particular hypothesis, but to look at the evidence as
15 a whole and to note the limitations, to note the
16 strengths and then put it all together as a whole.
17 That's what I have attempted to do.

18 That of course is the usual scientific
19 procedure. There is no science that is free of
20 limitations, but the best studies all have
21 limitations. That's just the way everything is.

22 And so one always has to be very careful
23 about drawing any strong conclusion from one's study.
24 All you have to do is to say are the limitations all
25 of the same kind in the different studies and do they

RUTTER - DIRECT

3301

1 amount to such a problem that you really have to say
2 you have to put those on one side; they're not worth
3 looking at.

4 Or rather do you say well, there are some
5 limitations, but actually they've been looked at as
6 carefully as they can be, and if you look across
7 studies the strengths and limitations don't have quite
8 the same pattern. When that's the case, one is on
9 much stronger ground in saying it probably is valid.

10 So that let's take the Heron study first.
11 It's a good epidemiological study. It's well
12 conducted. They have a high response rate. There are
13 all sorts of good things about it, but they don't
14 actually have a recognized measure of autism so
15 they're having to use special education or treatment,
16 have to use questionnaires of one sort or another so
17 that the outcome is indirect. So on its own that
18 wouldn't take one very far, but for what it's worth
19 the findings are very negative, but they could test
20 for confounders in quite a thorough sort of way.

21 The Andrews study was not so strong in being
22 able to test for confounders, but on the other hand
23 they had a much larger sample, it too similarly a
24 negative. And so I could go on. The Verstraeten
25 study is in many ways the most satisfactory of the

RUTTER - DIRECT

3302

1 studies, and because of that I looked particularly
2 carefully as to whether there were problems that might
3 invalidate the findings.

4 Its strengths are several. It includes a
5 large sample which when looking for an infrequent
6 outcome is really very important. They used a
7 standard methodology, and the study was thoroughly and
8 appropriately analyzed. The results do not show an
9 association between thimerosal and autism.

10 I noted that the early findings didn't
11 necessarily coincide with the later findings. I
12 mention that because it received sort of attention in
13 the press, but what I concluded is actually that's
14 usual. When you're dealing with multivariant analyses
15 of complex data sets you do reanalyze and reanalyze to
16 try and test data so they did the right thing, and in
17 their evidence the reanalysis by Austin and Lally said
18 the same thing.

19 Austin and Lally in their commentary made a
20 suggestion that the way they dealt with the -- they
21 dealt with three sectors, not including the one center
22 they would be mildly critical of and I would be mildly
23 critical of, but like them it seems very unlikely that
24 that would affect their results.

25 I think it would have been preferable to

Heritage Reporting Corporation
(202) 628-4888

RUTTER - DIRECT

3303

1 have dealt with it in a slightly different way, and
2 it's not clear why the findings weren't the same in
3 the different centers, but when you're dealing with
4 effects with broad confidence intervals you often find
5 that.

6 The third point that I mentioned was that
7 Verstraeten, at the time the paper was published, had
8 an appointment with GSK, and I think he should have
9 declared it. He did declare it shortly afterwards. I
10 see no reason to suppose that affected anything, but
11 it was an error of judgment is all I can say.

12 So having looked carefully at all the
13 problems of this, and I did look very carefully at
14 them, I would still rate this as a sound study with
15 sound conclusions on what one can draw conclusions.

16 Q Now, you also discussed various time/trend
17 studies or ecological studies in your report that have
18 looked at whether thimerosal was responsible for the
19 rise over time in diagnosed cases of autism.

20 I'm referring to the Madsen study, which for
21 the record is Petitioners' Master List 239; the Stehr-
22 Green study, which is Petitioners' Master List 230;
23 and -- I'm going to butcher this name -- the
24 Atladottir --

25 A Atladottir.

RUTTER - DIRECT

3304

1 Q That one, which is Respondent's Master List
2 17, and the Schechter and Grether study, which is
3 Respondent's Master List 439. Doctor, what do those
4 studies tell us?

5 A They are primarily used in dealing with the
6 hypothesis that had been put forward initially that
7 MMR had led to an epidemic of autism and, more
8 recently, that thimerosal had led to an epidemic of
9 autism. And so the time/trend studies are useful in
10 seeing whether the ups and downs as it were were
11 associated with changes in the rate of autism.

12 They have manifest strengths. That's to say
13 they can be based on very large numbers. They have
14 some important limitations, the most particular of
15 which that they are dealing with it at a population
16 level. They're not dealing with it at an individual
17 level.

18 Secondly, that they can't deal with
19 confounders in the way that you can do if you're
20 dealing with individuals, but the evidence -- let me
21 focus particularly on Stehr-Green. Stehr-Green was
22 interesting in explicitly comparing what was happening
23 in Scandinavia where thimerosal had been phased out
24 and in the United States where because of the way in
25 which vaccination schedules have changed it has

RUTTER - DIRECT

3305

1 actually been going up.

2 So the question is were the trends in the
3 rate of diagnosed autism going in different directions
4 in the two countries or two areas of North America and
5 Scandinavia? Now, if there had been a true causal
6 effect when thimerosal was withdrawn you should see a
7 drop in cases, whereas with thimerosal continuing it
8 should either remain the same or continue going up.

9 But what Stehr-Green showed was that the
10 rates showed the same trajectory, the same direction
11 over time in both countries, so that the rate of
12 diagnosed autism showed the same trend irrespective of
13 what was happening with thimerosal.

14 In epidemiology one pays particular
15 attention to what happens when either a risk factor is
16 introduced in one population and not another where you
17 can see what's happening or, alternatively, a risk
18 factor is removed in one population and not another.

19 And so it is this fact-finding that the
20 trajectory over time is similar irrespective of the
21 removal of thimerosal which makes it really rather
22 unlikely that thimerosal played a role in the overall
23 rate of autism.

24 Epidemiological studies by their nature of
25 course can't deal with unusual idiosyncratic

RUTTER - DIRECT

3306

1 reactionism. We may want to turn to that at some
2 point. But in terms of an overall effect, I think the
3 answer is pretty compelling.

4 Q And do you find those studies to be credible
5 studies?

6 A Yes, I do.

7 Q Now, you do point out by the nature of their
8 design ecological studies cannot be used to examine
9 whether a small group of children have an unusual
10 susceptibility to thimerosal.

11 If the subgroup were defined as those
12 children who have regressive autism would the
13 ecological studies likely speak to that population?

14 A That isn't the way you would tackle it. So
15 that there are, of course, many examples in medicine
16 of idiosyncratic reactions, so the notion that there
17 might be in relation to thimerosal is certainly
18 plausible, but the way you would tackle it is having a
19 test for the susceptibility.

20 So let me personalize it. One of my
21 grandchildren has an anaphylactoid reaction, a
22 massive, life-threatening reaction, to cashews and
23 pistachios. Now, cashews and pistachios for most of
24 us are perfectly safe. They don't cause any problems,
25 and indeed they are two of my favorite nuts, but in

RUTTER - DIRECT

3307

1 his case they are life-threatening.

2 Why do we know its causation? Maybe he had
3 a panic attack. But, no, because skin tests show that
4 the skin reaction to those nuts is identifiably
5 different in a huge way, and if you also apply it to
6 the tongue you get a swelling of the tongue from
7 exposure to these nuts, so you've got a really good
8 test that can identify this susceptibility.

9 There are other medical examples where that
10 is so. So what you do is not create a soup of
11 everybody. You look in a focused way on what happens
12 with individuals with a defined susceptibility as
13 measured by an objective test.

14 The problem here is that although it's
15 theoretically possible that there are individual
16 differences in response to thimerosal, as far as I'm
17 aware there is no test that can demonstrate that.

18 Q Now, according to Dr. Kinsbourne the
19 epidemiologic studies that you discussed in your
20 report and that we've discussed here today are not
21 informative at all as to the purported association
22 between thimerosal-containing vaccines and regressive
23 autism because none have looked at the regressive
24 autism specifically. Are these studies, Doctor,
25 irrelevant to this litigation here today?

RUTTER - DIRECT

3308

1 A No.

2 Q Why?

3 A Well, mainly because the rate of progressive
4 autism is sufficiently high that it probably would
5 have picked them out.

6 So that if you were dealing with something
7 like a nut allergy, which occurs to a tiny proportion
8 of the population, then general studies of nuts
9 wouldn't be much use, but dealing with something that
10 occurs in a quarter of the population, yes, they are
11 informative.

12 If there is evidence of a susceptibility of
13 a very specific kind that can be identified separately
14 then that's another matter, but that isn't so, so at
15 the moment that is the best evidence one has today.

16 Q Now, in your report, and I've heard you say
17 this today, you use the term biologically plausible.

18 In your report you say that it's
19 biologically plausible that there might be an unusual
20 idiosyncratic response to thimerosal in a subgroup of
21 individuals. By the term biologically plausible, what
22 are you meaning by that?

23 A I'm meaning simply that what one knows about
24 biology means that it's possible that that might
25 occur. It certainly does not mean that it's likely to

RUTTER - DIRECT

3309

1 be the case because there's no evidence in support of
2 the notion.

3 So that the evidence on gene/environment
4 interactions in relation to other outcomes and other
5 genes and other environmental factors indicates it can
6 occur. The question is what is the evidence here that
7 it does occur? So it is a theoretical possibility,
8 but at the moment it is speculative.

9 MS. RICCIARDELLA: At this point, Special
10 Master, I have about 20 more minutes with Dr. Rutter.
11 Would it be a good time to take a quick, midmorning
12 break?

13 SPECIAL MASTER CAMPBELL-SMITH: That sounds
14 great. I have about 11:07. How long were you
15 thinking for your break?

16 MS. RICCIARDELLA: Ten minutes? Fifteen
17 minutes?

18 SPECIAL MASTER CAMPBELL-SMITH: Fifteen
19 minutes?

20 MS. RICCIARDELLA: Fifteen? Okay.

21 SPECIAL MASTER CAMPBELL-SMITH: That would
22 put us back here at roughly 11:25.

23 MS. RICCIARDELLA: Thank you.

24 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
25 We'll take a brief recess.

RUTTER - DIRECT

3310

1 (Whereupon, a short recess was taken.)

2 SPECIAL MASTER CAMPBELL-SMITH: Please be
3 seated.

4 Respondent's counsel to continue the direct
5 examination of Sir Rutter.

6 MS. RICCIARDELLA: Sir Michael Rutter.

7 SPECIAL MASTER CAMPBELL-SMITH: Sir Michael
8 Rutter.

9 BY MS. RICCIARDELLA:

10 Q Isn't that right?

11 A Yes. Yes.

12 Q Doctor, before we go on to the next topic
13 I'd like to just finish up with a discussion of the
14 epidemiology.

15 Before we broke you were talking about how,
16 given the proportion of regression in autism, it would
17 likely have been detected by the epidemiological
18 studies. What are you basing that statement on?

19 A On the evidence that in the studies overall
20 the rate is about 25 to 30 percent or sometimes even
21 up to 40 percent.

22 It's a big enough number to make a
23 difference overall. If one was talking about
24 something that only affected say one percent of the
25 population that would be quite different.

RUTTER - DIRECT

3311

1 Q Is that based on your understanding of what
2 you know about autism in general?

3 A Yes. Yes, indeed.

4 Q Now, in your report you state that there is
5 no good evidence to support the speculative suggestion
6 that thimerosal results in a form of ASD characterized
7 by regression.

8 Could you please explain what you mean by
9 that statement in 10 words or less?

10 A Well, the suggestion as far as I can see is
11 not based on any empirical evidence that that is the
12 way it happens. If it were it would be quite
13 different.

14 So it's difficult to know how to comment
15 further other than that that is just speculation.

16 Q Are there any reliable biomarkers that
17 represent a measure of susceptibility to thimerosal?

18 A No.

19 Q What evidence would be needed to demonstrate
20 a susceptible population to thimerosal?

21 A You need some test which would show that in
22 response to ethyl mercury you are having an unusual
23 reaction so that in theory at least it would be
24 possible to develop a test of that kind, but so far as
25 I know there hasn't been such a test that's been

RUTTER - DIRECT

3312

1 applied to determine whether that is the case.

2 The studies that have been done that we were
3 referring to earlier of human populations with high
4 doses, what is quite striking is that it does seem to
5 affect everybody. It's not that you're finding
6 unusual individuals who are showing a big response and
7 most individuals no response at all, so it's not like
8 the nuts example that I gave.

9 The animal evidence similarly seems to show
10 something that applies more generally rather than only
11 in a small subgroup, so although there have been
12 suggestions that there may be particular susceptible
13 populations the evidence is singularly unconvincing up
14 to now.

15 Q Doctor, I'd like to talk now about the
16 theory that has been espoused by Dr. Marcel Kinsbourne
17 in this litigation. Did you review the report that he
18 submitted?

19 A I did.

20 Q And on page 14 of his report he states, and
21 we will put this on the screen for you: The late
22 onset of the regressive subtype and subsequent
23 remission or relapses become more understandable if
24 autism is due to disease than if it is the aftermath
25 of congenital maldevelopment. Do you agree with his

RUTTER - DIRECT

3313

1 statement?

2 A No. I mean, it comes back to the point we
3 were discussing earlier that both prenatal or genetic
4 influences will affect cause, as well as the
5 occurrence at the time of birth, so it's a non
6 sequitur. It does not follow logically from what we
7 know about the way biology works.

8 Q And earlier we put on the screen a quote
9 from Dr. Kinsbourne's report in which he described
10 regression as striking and dramatic. Is that
11 characteristic of all regression in autism?

12 A No. To the contrary, it's often very
13 subtle. There are examples where it is very striking
14 and dramatic, I agree, but they actually are very
15 unusual rather than the opposite way around.

16 That's to say the usual picture is
17 reasonably subtle changes that amount to something
18 that is very worrying, appropriately worrying the
19 parents, but it doesn't occur dramatically in either
20 the sense of it was not there on Tuesday, but it is
21 there on Wednesday, nor is it a question of a loss as
22 it were that is so severe that it is obviously a total
23 change in the child's behavior.

24 That can occur. I have seen cases like
25 that, but they are distinctly unusual. It is a more

RUTTER - DIRECT

3314

1 gradual occurrence of a milder kind, which is the more
2 typical.

3 Q Now, beginning on page 13 of his report Dr.
4 Kinsbourne discusses what he believes is a
5 neuroinflammatory response within the brain due to
6 accumulated inorganic mercury in the brain. He
7 states, and we'll put it on the screen:

8 ASD has traditionally been regarded as a
9 static neuropathy or encephalopathy that originates
10 from before birth. If that were so, it would be
11 unclear how autistic regression can occur as late as
12 the second year of life and even later in childhood
13 disintegrative disorder.

14 Is this a correct assumption on the part of
15 Dr. Kinsbourne?

16 A No.

17 Q Why not?

18 A Let me come back to the schizophrenia
19 example that I gave where the evidence is strong -- a
20 major genetic influence, high heritability -- but
21 where there are early manifestations but then later
22 changes and that the follow-up study, for example, by
23 Judy Rapoport and her group at NIH has shown that
24 schizophrenia has both an early manifestation and
25 changes later.

RUTTER - DIRECT

3315

1 So that what is being described here is very
2 exceptional and unusual and causing a problem in terms
3 of understanding is actually something that one sees
4 in many conditions. I would agree that we don't
5 understand what is going on in the brain at the time
6 that happens.

7 An encephalopathy sort of implies
8 inflammatory process. We don't know that that's what
9 is happening, so when I say that clearly something
10 must be happening in the brain, I mean, the workings
11 of the mind have to be based on what is going on in
12 the brain, but exactly what those changes are and
13 whether they're structural or functional we don't know
14 that.

15 Q Now, Dr. Kinsbourne describes what he terms
16 his overarousal model as an explanation for autistic
17 behaviors. Are you familiar with his discussion of
18 his overarousal model in his report?

19 A Yes, I am. It is of course an old theory so
20 that I was surprised to see this put forward as novel.

21 So the Tinbergens in a report back in 1972
22 put forward a closely comparable model in which they
23 were arguing that autism was not a disorder of social
24 reciprocity. It was a disorder of emotional
25 overarousal in relation to social situations, which is

RUTTER - DIRECT

3316

1 pretty similar to what he is suggesting.

2 So it's an old theory. It no longer even
3 gets referenced in textbooks so that, for example, the
4 two-volume *Handbook of Autism* edited by Fred Volkmar
5 and colleagues, you won't find it even in the index,
6 let alone anywhere else either under Tinberger, who is
7 the most prominent proponent of that view, or in terms
8 of emotional overarousal.

9 So it disappeared simply because of the
10 contradictory findings which did not really support
11 the notion.

12 Q Now, Dr. Kinsbourne cites a paper by
13 Goodwin, which is Petitioners' Master List 496, and a
14 review paper by Baron, which is Petitioners's Master
15 List 550, in support of his model. Do these articles
16 provide reliable support to Dr. Kinsbourne's
17 overarousal model?

18 A No, I don't think they do actually. The
19 fuller review is actually in the Goodwin, et al. paper
20 rather than in the Baron chapter in the textbook. In
21 that they review the numerous methodological problems
22 that there have been over the years assessing arousal
23 and of tying it to anything in particular so that
24 there are different physiological measures that one
25 needs to use.

RUTTER - DIRECT

3317

1 Whether somebody looks aroused is not the
2 same thing as whether from a physiological point of
3 view they are aroused. Showing whether or not the
4 arousal is in relation to social situations rather
5 than more generally becomes another issue, so it's
6 quite a good review of the multiple difficulties.

7 They then go on to a comparison of five
8 individuals with autism and five comparison
9 individuals where they present some quite interesting
10 findings, but they are based on a tiny number, and
11 they blend up really with the same kind of
12 inconclusive findings of the earlier research done.

13 Q Dr. Kinsbourne also cites a paper he
14 published with the first author by the name of Liss,
15 L-I-S-S, which is Petitioners' Master List 373. Have
16 you reviewed this study?

17 A Yes, I have.

18 Q And do you have any comments with regard to
19 the validity of this study?

20 A Well, it's a questionnaire study so that
21 it's looking at what parents have reported about
22 various phenomenon, some of which are concerned with
23 children's responses to sensations and matters of that
24 kind.

25 It's something that's been looked at for a

RUTTER - DIRECT

3318

1 very long time so that the work of Hornedge back in
2 the 1960s and early '70s was trying to do exactly the
3 same thing.

4 So the question there is new, but is very
5 similar to earlier ones, but they're based on observed
6 children's responses and not measuring actual
7 responses to sensory stimuli so that you're having to
8 rely on making inferences as to what the observed
9 behavior might or might not mean.

10 He refers, for example, somewhere -- I can't
11 remember where in the report -- to the study by Lovaas
12 looking at overzeal activity which received a lot of
13 publicity at the time, but Lovaas' own research, as
14 well as those of other people, later went on to show
15 that this was not specifically associated with autism.
16 It was a function of the low developmental level, and
17 once you took that into account the association with
18 autism disappeared.

19 It's another example of in this field of
20 needing to consider carefully what the possible
21 confounding factors are and the need also to be
22 concerned that the behavior which you think is dealing
23 with overarousal is specific to the social situation.

24 So the fact that autistic individuals get
25 overexcitable sometimes, certainly. That's been know,

RUTTER - DIRECT

3319

1 from Kanner onwards. The fact that autistic
2 individuals can sometimes also appear apathetic, again
3 known from Kanner onwards.

4 So the need is to go beyond that to try and
5 link it up with what is happening physiologically and
6 how that relates to the specific social situation, and
7 that's what is lacking. The Hornedge points of view
8 of section Constancy (phonetic), which is sort of
9 brought in in the Liss paper a bit, he abandoned later
10 because the evidence really didn't support it.

11 Q For the overarousal hypothesis to account
12 for social abnormalities in autism as Dr. Kinsbourne
13 suggests, what would have to be shown about the nature
14 of arousal responses in a social situation?

15 A Well, you'd want to have a physiological
16 measure of arousal rather than just an account because
17 we know from animal studies, as well as human studies,
18 that what you observe and what you can measure in
19 terms of heartbeat and EEG changes and all the range
20 of things that measure of physiology of arousal don't
21 necessarily coincide, so you'd want that.

22 And you'd want to show that the overarousal
23 is something that applies to social situations because
24 if it doesn't particularly apply to social situations
25 it's difficult to see how it could account for the

RUTTER - DIRECT

3320

1 problems in social reciprocity.

2 So one is not trying to explain autism as
3 something which is generally due to being too
4 excitable or not excitable enough. It's in relation
5 to social. That's what has never been shown.

6 Q And in your opinion has Dr. Kinsbourne
7 explained how overarousal leads to regressive autism
8 only?

9 A No. In fact it's quite striking by its
10 absence in his account.

11 That is to say in laying all the emphasis on
12 regressive autism and applying it particularly to
13 overarousal, I assumed that he would go on to explain
14 how the overarousal might lead to this interesting
15 phenomenon of regression, but as far as I could see
16 that wasn't present in his report.

17 Q Now, Dr. Kinsbourne has stated that toxins
18 and viruses and other metals can all operate to
19 initiate this inflammatory response in the brain that
20 he is talking about.

21 Do you think that this lack of specificity
22 supports his hypothesis in this litigation?

23 A No, it doesn't. One of the famous set of
24 guidelines for causal inferences put forward by the
25 British statistician, Bradford Hill, included

RUTTER - CROSS

3321

1 specificity as one of the things that didn't prove
2 causation, but was a pointer in its direction.

3 So the lack of specificity doesn't disprove
4 causation, but it certainly is not in support.

5 Q In your opinion, how would you describe Dr.
6 Kinsbourne's hypothesis as to what might underlie
7 regressive autism?

8 A Interesting, but entirely speculative.

9 Q Doctor, in your opinion is it more likely
10 than not that thimerosal causes regressive autism in a
11 subgroup of genetically susceptible children?

12 A No. I think the evidence suggests it does
13 not.

14 Q And do you hold that opinion to a reasonable
15 degree of medical certainty?

16 A I do.

17 Q And finally just one last question, Doctor.
18 Why did you agree to fly to the United States and
19 testify here today for the United States Government?

20 A Well, because I think the scientific issues
21 are important ones, and the public health
22 considerations are very important.

23 The issue of identifying environmental
24 causes of disease, including autism, has been a
25 special interest of mine for a very long time and is

RUTTER - CROSS

3322

1 something I know a good deal about so it seemed to me
2 I had a duty to do that.

3 MS. RICCIARDELLA: Thank you. I have no
4 further questions.

5 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

6 Petitioners' counsel, are you ready to
7 commence cross?

8 MR. WILLIAMS: I am.

9 CROSS-EXAMINATION

10 BY MR. WILLIAMS:

11 Q Good morning, Dr. Rutter.

12 A Good morning, sir.

13 Q I am Michael Williams representing the
14 Petitioners Steering Committee here today. I want to
15 start by asking you a kind of general question about
16 what you think underlies autism in the brain.

17 In particular, do you think that for all the
18 children who meet DSM-IV criteria they have the same
19 underlying brain pathology?

20 A I think we have no idea, but let me answer
21 it in a slightly different way that the history of
22 medicine and of medical genetics indicates that
23 heterogeneity rather than homogeneity is the rule.

24 So that one must expect both that there may
25 be different ways of reaching the same endpoint and

RUTTER - CROSS

3323

1 that within a population there may be different
2 patterns. So now I don't assume that there will be
3 one, and we have no idea at the moment what the neuro
4 basis for autism is. A host of interesting ideas, but
5 that's what they are.

6 Q Because I think I heard you say at least
7 once, maybe twice, that you believe it is medically
8 plausible that a postnatal insult of one kind or
9 another could trigger or contribute to the development
10 of symptoms that meet DSM-IV.

11 A Yes. I used British rules in preparing my
12 report, which is that I must be scrupulous in looking
13 at the evidence against and the evidence for with
14 equal thoroughness, and that is what I've tried to do.

15 I think the evidence on postnatal causes,
16 and I gave the example of the herpes encephalitis.
17 There are clinical case studies which I don't actually
18 find very convincing. I included them though because
19 they have been claimed to illustrate how a postnatal
20 course, indeed very late -- one of them was adolescent
21 -- can cause autism.

22 Now, the problem of course is that is one
23 talking about a cause of autism as we ordinarily
24 understand it or are we saying there are similarities
25 in some of the features? I certainly accept there are

RUTTER - CROSS

3324

1 similarities in some of the features. I am less
2 certain that this actually means the same sort of
3 thing as autism as we all currently understand it.

4 I am cautious about saying it couldn't
5 happen because early postnatal factors could have an
6 impact. I think the particular example that people
7 have put forward are not very convincing.

8 Q Isn't it medically reasonable to think that
9 if you have two children, one who before the age of 12
10 months is showing lack of eye contact, failure to
11 respond to social smiles, no words at all at age one,
12 compared to a child who seems to develop normally
13 until 18 or 20 months of age.

14 Isn't it medically reasonable to think that
15 there may be a different etiology to those two
16 different patterns of the development of autism?

17 A That is one possibility, but I don't think
18 it's medically reasonable if by that you mean that
19 that would be a strong assumption.

20 I put it the opposite way around that the
21 issue as to why one child does and one child doesn't
22 is an important question for scientists to examine,
23 and the evidence to date doesn't actually show
24 systematic differences.

25 I would instantly have to go on to say that

RUTTER - CROSS

3325

1 the studies that have been done are really quite few
2 and quite limited in what they have looked at, so we
3 are not in a position of being sure that they are due
4 to the same factors in the same way, but by the same
5 token there's no evidence that they're due to
6 different ones.

7 MR. WILLIAMS: Now I want to show you page
8 11 of your report.

9 If we can pull that up? I want to focus,
10 Scott, on paragraph 16 at the bottom of the page.

11 THE WITNESS: Yes.

12 MR. WILLIAMS: And if you would highlight
13 the sentence that begins: First there is a tendency
14 to assume.

15 THE WITNESS: Yes.

16 MR. WILLIAMS: I'm going to ask you a
17 question. Just a second, Doctor. I just want to
18 highlight the sentence I want to ask you about.

19 THE WITNESS: Okay.

20 BY MR. WILLIAMS:

21 Q This sentence says that there is a tendency
22 to assume that if the heritability of a liability to
23 autism is as high as 90 percent this leaves little
24 room for any major environmental influence, and then
25 you say: It is crucial to appreciate that this is a

RUTTER - CROSS

3326

1 wrong assumption.

2 Now, when you say major environmental
3 influence what were you referring to?

4 A Well, the example in my evidence earlier was
5 of height where height is strongly heritable, but yet
6 improvements of a major kind in nutrition and in
7 infectious disease were associated with a big increase
8 in height. There are other examples, but --

9 Q Phenylketonuria, PKU disease, is another
10 example, isn't it?

11 A Well, that hasn't changed over time, but
12 that is an example -- you're quite right -- where the
13 genes actually work through susceptibility to a
14 particular food substance.

15 MR. WILLIAMS: I want to show you an
16 announcement of a grant proposal by the Department of
17 Health and Human Services, the Respondent here. This
18 was published in the *Federal Register* while this trial
19 was going on a couple weeks ago on May 23.

20 Let's just show the top first there, Scott.
21 This was out of the *Federal Register* on May 23, 2008.
22 Then go down to the title here, Scott, which is
23 Disease Disability.

24 It says Disease Disability and Injury
25 Prevention and Control Special Emphasis Panel

Heritage Reporting Corporation
(202) 628-4888

RUTTER - CROSS

3327

1 Associations of Vaccine Adverse Events and Human
2 Genetic Variations Request for Proposal, and it gives
3 the proposal number.

4 Then lower in the same announcement it says
5 there's going to be a conference call on June 12, a
6 couple weeks from now, and the matters to be discussed
7 -- if you would highlight that, Scott? That's what I
8 want to ask him about.

9 BY MR. WILLIAMS:

10 Q It says the matters to be discussed include
11 the review, discussion and evaluation of proposals
12 already received in response to Associations of
13 Vaccine Adverse Events and Human Genetic Variations.

14 Now, are you involved in any way in these
15 proposals, or will you be involved in this discussion?

16 A The reason I'm looking it up is to see
17 whether I've got anything down on June 12.

18 I haven't, so not only do I have no memory
19 of being involved; I obviously am not involved in that
20 discussion.

21 Q The Respondent didn't think it needed your
22 advice on this yet apparently. Just for the record,
23 this is from the *Federal Register*, Volume 73, No. 101,
24 page 30105.

25 Now, Dr. Rutter, you may not remember this,

Heritage Reporting Corporation
(202) 628-4888

RUTTER - CROSS

3328

1 but you actually attributed autism to an immunization
2 in one of your papers. Do you recall doing that?

3 A No, I don't.

4 Q Let me show you.

5 A Please remind me.

6 Q Yes. Sure. This is a review paper that you
7 wrote back in 1994. I guess we're going to make it
8 trial exhibit next. I've got a copy to show you.

9 A Okay. The one on autism and known medical
10 conditions, yes?

11 SPECIAL MASTER CAMPBELL-SMITH: That's going
12 to be Petitioners' Trial Exhibit No. 8.

13 THE WITNESS: Okay.

14 (The document referred to was
15 marked for identification as
16 Petitioners' Trial Exhibit
17 No. 8.)

18 BY MR. WILLIAMS:

19 Q First let me make sure that that is you
20 that's the first author there.

21 A It is indeed.

22 MR. WILLIAMS: Okay. The general subject
23 here is Autism and Known Medical Conditions: Myth and
24 Substance.

25 If we turn to page 314 of this paper, which

RUTTER - CROSS

3329

1 is the fourth page of the exhibit, down at almost the
2 end of the column, Scott, where it says: Only eight
3 of the cases. If you would highlight that?

4 THE WITNESS: Yes.

5 MR. WILLIAMS: There. That's good.

6 BY MR. WILLIAMS:

7 Q Now, you're actually discussing in this
8 paragraph a review paper that you had published,
9 actually a study you had published back in 1993 on
10 Systematic Investigation of 100 Individuals With
11 Autism.

12 And you say here that only eight of these
13 cases can be regarded as having probably a causal
14 medical condition, one being a child with epilepsy and
15 temporal lobe focus on the EEG who had an onset
16 following immunization. Do you see that?

17 A (Nonverbal response.)

18 Q I assume that that was a case of regressive
19 autism, wasn't it?

20 A I have no memory as to whether it was or it
21 wasn't. I'm sorry. I can't help you on that.

22 Q Wouldn't you have checked to see if there
23 were any signs or symptoms of autism prior to the
24 immunization before you attributed it to the
25 immunization?

RUTTER - CROSS

3330

1 A Well, I'm not attributing it to the
2 immunization. I'm simply saying that of this group
3 this is one of a small number with a probable cause of
4 immunization.

5 Now, we know that there are adverse vaccine
6 reactions. They are rare, but they are real, so I
7 don't have any doubt about that. The paper here
8 doesn't specify what the vaccine was. What is
9 striking about it, it was associated, however, with
10 the onset of epilepsy and a temporal lobe focus.

11 So that the fact that that occurred, i.e.
12 it's not just that autism arose, but that there was a
13 neurological feature there that plausibly was
14 connected with the immunization, is the reason I put
15 it in that probable causal group.

16 Q And in this case where it was probably
17 caused by the immunization, you don't know whether
18 there was thimerosal in that vaccine or in the
19 vaccines that that child received?

20 A Well, it pretty certainly wasn't because of
21 the time when these cases were seen. These are
22 dealing with the twin and family studies in the 1970s,
23 so that's before MMR and before thimerosal was widely
24 used. Yes. I don't know is the answer.

25 Q Okay.

RUTTER - CROSS

3331

1 A But it's not likely to have applied to
2 either MMR or thimerosal.

3 Q Now just a few questions about head
4 circumference and head size. You discussed it briefly
5 in your direct, but is your opinion that head
6 circumference is a diagnostic tool that you can use to
7 determine whether a child has autism or not? The
8 pattern of the head circumference changes?

9 A Putting it as a diagnostic indicator is
10 putting it more strongly than I would wish to do.

11 The metaanalysis undertaken by Eric
12 Courchesne going right across studies showed that the
13 increase in brain size -- because this was a
14 metaanalysis I think I'm right in saying of structural
15 brain imaging -- indicates that it is a robust finding
16 which is distinctive of autism as distinct from other
17 conditions.

18 Why do I hesitate before saying it's a
19 diagnostic feature? Well, because of course it
20 doesn't apply to all autistic individuals so that it
21 is very different, for example, from the microcephaly
22 that you see with Retts syndrome or the microcephaly
23 that you see with many cases of intellectual
24 disability.

25 The fact that a particular individual showed

RUTTER - CROSS

3332

1 this increase in head size -- let's suppose we got all
2 the evidence, okay? Showed an increase in head size
3 or brain size measured by imaging over the preschool
4 years, which would certainly be a strong pointer for
5 this being likely to be autism rather than something
6 else. An absence of that wouldn't necessarily rule
7 out autism.

8 Q In the studies that have measured head
9 circumference in association with autism do you know
10 whether they controlled for the time when the birth
11 head circumference was taken?

12 A Do you mean which era in time?

13 Q No. Well, does it matter at what point
14 after birth the first head circumference measurement
15 is taken for these studies?

16 A Probably not because the changes are quite
17 small at that time, but usually it is measured at
18 birth. That certainly in the U.K. would be the
19 standard way.

20 Q You called Dr. Courchesne, Eric Courchesne
21 -- is that how you pronounce it?

22 A Yes.

23 Q Is that how he says it, or do you know? I
24 thought maybe you're the authority on autism. You
25 might actually have met him and know how.

RUTTER - CROSS

3333

1 A I have met him. I think that's how he
2 pronounces it.

3 Q Because some of the defense experts have
4 referred to him as Courchesne. I just wondered. We'd
5 like to know how to pronounce it.

6 A I've never heard him called Courchesne, but
7 I'm open to correction.

8 Q Okay.

9 A For me he's Eric Courchesne.

10 Q Coming into this trial we looked really,
11 really hard to try to find some kind of an animation
12 of brain growth from birth to two years of age.

13 Could you just summarize the brain growth
14 that does occur after birth up to two years of age in
15 the normal child?

16 A That's not something I've personally done so
17 I hesitate before giving a summary on that. Of
18 course, the studies are based on not multiple measures
19 taken over short periods of time. They're putting
20 together when taken over a longer period.

21 I doubt that the evidence is sufficient to
22 say precisely when this occurs other than there is not
23 an increase at birth. As far as I know, none of the
24 studies have found an increase at birth. It develops
25 sometime over that preschool period.

RUTTER - CROSS

3334

1 Whether the timing is consistent from child
2 to child I don't know, but I'd be surprised if it was
3 because so few things in biological development are
4 consistent from child to child.

5 Q I was trying more to get at the notion of
6 just the amount of brain growth that would occur in a
7 normal, healthy child from birth to two in terms of
8 increase in volume, increase in number of cells,
9 increase in number of connections.

10 A Oh. Well, there's more evidence on that.
11 So that there is a time -- let me put it in simple
12 terms -- where there's an overgrowth of neurons and an
13 overgrowth of neuronal connections. This is in line
14 with what I was saying earlier about biological
15 development being a probablistic model.

16 So what normally takes place during that
17 period, but also takes place again in adolescence, is
18 that there is a pruning so that the connections that
19 aren't working properly, aren't necessary, are pruned
20 out.

21 So whether the increase that you see in
22 autism is due to a failure of normal pruning or
23 whether it is due to an overgrowth we don't know at
24 the moment. Either is a possibility.

25 Q Pruning is required though for a healthy,

RUTTER - CROSS

3335

1 normal brain?

2 A Yes.

3 Q And isn't it likely that environmental
4 insults during that period of time between birth and
5 two years of ago could affect the pruning, as well as
6 the overgrowth of neurons?

7 A It's possible. I think we don't have
8 evidence whether it is likely, but it's possible.

9 Q Now, I checked your report again over the
10 weekend to make sure I was right about this. You
11 discuss for a couple pages of your report a number of
12 brain autopsy studies --

13 A Yes.

14 Q -- on autistic children.

15 A Yes.

16 Q But you do not mention any of the studies
17 that have found neuroinflammation. For example, you
18 did not cite the Vargas 2005 paper. Why did you leave
19 that out?

20 A No particular reason. I think that I only
21 became aware of the Vargas paper after I had done the
22 report. I have read the Vargas paper now.

23 You will understand that I'm not a
24 neuropathologist so that the detailed findings of that
25 go beyond my expertise, but, yes, I am aware of the

RUTTER - CROSS

3336

1 paper.

2 Q And in your direct testimony today there
3 wasn't anything about neuroinflammation as an
4 explanation of the symptoms of autism. Do you think
5 that neuroinflammation is irrelevant to the discussion
6 of autism?

7 A I think we have no idea whether it's
8 relevant or not.

9 I mean, if one turns to the Pardo paper,
10 which references Kinsbourne's report, I think, and one
11 looks carefully at what is said there they report
12 interesting changes, but they're very careful to point
13 out the meaning of these remain quite uncertain at the
14 moment.

15 Insofar as I understand the evidence, I
16 would be in agreement with that, so as is often the
17 way when one has got new findings, particularly ones
18 that are not the same as what have been found earlier,
19 one needs to be very cautious as to what conclusions
20 to draw.

21 Whether the findings are causal or are
22 caused by or are due to some incidental thing, we
23 really don't know that. So of course I pay careful
24 attention to this evidence. I go along with Dr.
25 Pardo's portion as to what it means.

RUTTER - CROSS

3337

1 Q Dr. Courchesne has written a paper that we
2 showed several times during this trial called Autism
3 at the Beginning where he discusses neuroinflammation
4 as an explanation not just of the symptoms of autism,
5 but of the brain pathology underlying autism.

6 You didn't mention that in your report.
7 That was also published in 2005.

8 A Right.

9 Q You don't mention that in your report or in
10 your direct testimony. Why not?

11 A It's not an area of my expertise, so I have
12 noted some of the key findings.

13 On my reading of the evidence the
14 neuroinflammation does not show clearly what changes
15 are happening nor when they're happening so that the
16 early Kemper and Bauman findings, for example, did not
17 show evidence of that kind. Were they wrong and the
18 more recent ones right? I have no idea.

19 Techniques have improved over time, so I'm
20 open to be persuaded that the new evidence as it were
21 needs to be taken seriously as a real contender, but I
22 am aware of the uncertainties as to what causal
23 implications you can draw from it.

24 Q Do you know whether Dr. Kemper and Dr.
25 Bauman looked for neuroinflammation in those earlier

RUTTER - CROSS

3338

1 brain studies?

2 A I don't know. They certainly looked for
3 glial changes, but that's not quite the same thing.

4 Q You do agree, don't you, that the studies
5 that have looked at brain function in live autistic
6 children, as well as the studies that have looked at
7 brain pathology, seem to imply that there is a system
8 abnormality in autism as opposed to some focal brain
9 lesion?

10 A I do agree with that.

11 Q Isn't neuroinflammation throughout the brain
12 a plausible biological explanation of that systems
13 abnormality?

14 A The trouble with biology is almost anything
15 is plausible, so the question that I would want to ask
16 is is it likely.

17 The kinds of brain wave changes that one
18 sees, could they cause autism? Well, I suppose so,
19 but if one looks at what we know about, for example, I
20 was involved in studies of head injuries where there
21 were global effects from closed head injuries, as well
22 as focal effects.

23 Autism did not appear in any of the cases
24 that we saw, although because that was a major
25 interest of mine we certainly looked for them. And so

RUTTER - CROSS

3339

1 a brain-wide general thing like inflammation, could it
2 occur? Yes. Do I think it's likely? No.

3 Q You mentioned a two-volume textbook on
4 autism by a friend of yours earlier today.

5 A Fred Volkmar.

6 Q Right. If you look in the index to that
7 two-volume book neuroinflammation is not there yet.
8 Is that just because the U.K. is behind?

9 A It's an American book.

10 Q Published about 2005, right?

11 A Yes, 2005.

12 Q So it hasn't had time to put this stuff in
13 there yet.

14 A Okay.

15 Q The word microglia does not appear in the
16 index of that book.

17 A Okay.

18 Q Does that surprise you?

19 A It's not my book, and I would hesitate to
20 comment. There are a lot of things that aren't
21 there.

22 At the time the book was written the notion
23 that autism might arise in this way had not received
24 much attention. It's now received attention through
25 being put forward in this case. It hasn't got much

RUTTER - CROSS

3340

1 scientific attention of yet.

2 Q You don't think it has? Let me show you an
3 NIH grant.

4 A Okay.

5 MR. WILLIAMS: Let's pull that NIH grant up,
6 Scott.

7 Do we have just one exhibit, or do we have
8 two? Okay. This will be Trial Exhibit 9.

9 (The document referred to was
10 marked for identification as
11 Petitioners' Trial Exhibit
12 No. 9.)

13 MR. WILLIAMS: I'll give you a copy of this
14 too.

15 THE WITNESS: Okay.

16 MR. WILLIAMS: Let's highlight the title of
17 the grant first, Scott.

18 BY MR. WILLIAMS:

19 Q This is a study that the NIH has funded, and
20 it's actually recruiting participants as we speak, on
21 Minocycline to Treat Childhood Regressive Autism.
22 Were you aware that the NIH was funding studies to
23 look at regressive autism treated by antibiotics?

24 A No, but it doesn't surprise me. NIH
25 expected to fund long shots, as well as surefire

RUTTER - CROSS

3341

1 applications, so, yes, that's one of the things
2 they're looking at. It's an open label study. It's
3 not a very tight study.

4 Q Do you know what Minocycline is?

5 A Not in detail, no.

6 MR. WILLIAMS: Okay. Let's look at what it
7 says the purpose of this study is. Highlight the
8 first paragraph there, Scott.

9 BY MR. WILLIAMS:

10 Q It says there is a subgroup of children with
11 autism that appears to develop typically for a period
12 of time and then loses social or language skills or
13 regresses.

14 A recent study by Vargas and co-workers at
15 Johns Hopkins has demonstrated that this regressive
16 type of autism is associated with chronic brain
17 inflammation as shown by an abnormal production of
18 inflammatory cytokines and other abnormalities.

19 Now, I can represent to you that this grant,
20 it is the Pardo group that obtained this grant.

21 A Yes.

22 MR. WILLIAMS: We thought we were going to
23 hear from Dr. Pardo today, but we're not going to now
24 so all we can go by is what the grant says, but I want
25 to show you what they're trying to treat here and ask

RUTTER - CROSS

3342

1 you if it makes sense.

2 In that second paragraph, Scott, highlight
3 that last sentence where it says: Medicine with
4 anti-inflammatory properties may be beneficial for
5 children with regressive autism.

6 BY MR. WILLIAMS:

7 Q Do you agree that's a reasonable study to
8 undertake, Doctor?

9 A Yes. I think the NIH has funded over the
10 years a number of studies which were very long shots,
11 and that's a proper thing for them to be doing. So
12 that they've funded I've forgotten how many, but a
13 large number of studies.

14 A claim based on three cases in UCLA that
15 Fenfluoramine made a massive difference to autism.
16 Fenfluoramine, as you probably know, was later
17 withdrawn because of its toxic properties, but a lot
18 of money was spent testing this study.

19 Secretin. A lot of claims were made. A
20 variety of studies were done to test whether that was
21 so or not. The studies were consistently negative.

22 So over the years NIH, in an entirely proper
23 fashion, has taken some suggestions of varying degrees
24 of plausibility and implausibility and considered that
25 okay, it doesn't sound very likely, but on the other

RUTTER - CROSS

3343

1 hand we need to know whether in fact it works.

2 I would see this as one of those. I don't
3 criticize that. It's obviously not based on very
4 strong evidence, but it's worth a try.

5 Q And let me just show you what they believe
6 the target of the drug is. On the second page let's
7 pull up this paragraph. It says that the antibiotic
8 Minocycline is a powerful inhibitor of microglial
9 activation.

10 A Yes.

11 Q Now, what is your understanding of what
12 happens in the brain when microglia are chronically
13 activated, Dr. Rutter?

14 A It's not something I'm expert on so I'd
15 rather not comment on it.

16 Q And then I'd like to show you a diagram that
17 we've used in Court before from the Pardo group. This
18 is out of the 2005 review paper by this group from
19 Johns Hopkins.

20 I need to show you a copy of the paper.
21 This is Petitioners' Master Reference List Exhibit
22 424.

23 A Okay. Thank you.

24 Q Let me get back to the microphone. This is
25 a review paper written by Dr. Pardo's group at Johns

RUTTER - CROSS

3344

1 Hopkins published in 2005. Have you read this before?

2 A Yes, I have.

3 Q You didn't cite it in your report.

4 A No.

5 Q You didn't discuss it on direct.

6 A No.

7 Q Let me show you the diagram that they have
8 in here that kind of summarizes their theory, and then
9 I want to ask you a few questions about it. It's on
10 page 8 of the exhibit up in the left-hand corner.

11 Did I give you the wrong one? Let me give
12 you the right one.

13 MS. RICCIARDELLA: I think you have the
14 wrong paper, Dr. Rutter.

15 MR. WILLIAMS: Yes.

16 THE WITNESS: Okay.

17 MR. WILLIAMS: It's not 424. It's 72. Give
18 us just a minute.

19 I'll give you one that we've highlighted as
20 long as you give it back to me when we're done.

21 THE WITNESS: Sure thing. Looking at this
22 paper I realize this isn't the Pardo paper I've seen,
23 but I'm interested to see it.

24 BY MR. WILLIAMS:

25 Q I'm sorry? I didn't hear you.

RUTTER - CROSS

3345

1 A You had asked me whether I had seen this
2 particular Pardo paper.

3 Q Yes.

4 A And I realize the title is similar to one I
5 have seen, but that isn't the one that I had seen.

6 Q Okay. So you have not looked at 424 before?

7 A No.

8 Q All right. Now let's look at Exhibit 72,
9 which is the one I intended to show you.

10 A Right.

11 Q I'll ask you first have you read that paper
12 by Pardo, et al.?

13 A Yes, I have.

14 Q Okay. But again it's not in your report.
15 It's not cited in your report, is it?

16 A No.

17 Q Let's look at the diagram on page 8 then in
18 the upper left-hand corner. Now, over in the left-
19 hand top circle or oval they have Environmental
20 Infections and Toxins. Do you see that?

21 A Yes.

22 Q And then they have arrows going Interacting
23 with Genetic Factors, and you've agreed that's a
24 reasonable hypothesis that environmental toxins would
25 react with genetic susceptibilities?

RUTTER - CROSS

3346

1 A I'm not quite sure what you mean. React
2 with? If you mean that there will be both, certainly.
3 Whether you're implying a gene/environment
4 interaction, I don't know that. There's no evidence I
5 know of in support of that.

6 Q Is it reasonable to think that there could
7 well be people who are more susceptible to the toxic
8 effects of mercury than other people because of their
9 genetic makeup?

10 A It's possible, but it has not been
11 demonstrated.

12 Q Then the diagram also points over to the
13 CNS. That's central nervous system, correct?

14 A Yes.

15 Q And it has neuro organizations, synapses and
16 neurotransmitters, and then it points down to
17 neuroglial activation. Do you see that?

18 A Yes.

19 Q And that points over to the release of
20 cytokines, oxidative stress, systematic cytokines. Do
21 you see that?

22 A Yes.

23 Q And then eventually it comes down to the
24 autistic phenotype of regression. They list some
25 other ones there.

RUTTER - CROSS

3347

1 Now, do you think this is a reasonable model
2 of how some autistic children could develop autism;
3 that environmental toxins could activate their
4 microglia and lead to autistic symptoms of regression?

5 A Well, if one looks at the subtitle it's
6 Hypothetical Interactions, and that's exactly what it
7 is. It's a speculative portrayal of what there might
8 be.

9 Some of those arrows are better
10 substantiated than others. I mean, let me focus on
11 one that you emphasized, neurotransmitters. One of
12 the very striking things about autism is that unlike
13 all other psychiatric disorders there is no consistent
14 response to drugs that have been at least used so far
15 that affect neurotransmitters.

16 So that it is very unusual with a disorder
17 which we've agreed is likely to be a system of one
18 kind or another that features such as
19 neurotransmitters that operate throughout the brain
20 are not beneficially affected by the drugs that alter
21 those neurotransmitters, so that would be one aspect
22 of this diagram where you have to put a major query.
23 Many of the other arrows, the same sort of thing.

24 So scientists quite commonly follow the
25 pattern of telling stories about how things might be.

RUTTER - CROSS

3348

1 That's a legitimate way of beginning in science. You
2 tell a story, and then you undertake the systematic
3 research to tell you whether that story is correct or
4 incorrect.

5 So as a speculative story that might apply
6 it's a reasonable starting point, but as the paper
7 goes on if you look at the conclusions it is evident
8 that they are putting it forward in a very cautious
9 way, quite properly so. They're not saying it's
10 wrong. They're saying these are some ideas that we
11 think are worth testing. I would agree.

12 Q But you didn't think it was worth discussing
13 in your report?

14 A I hadn't come across it at that time.

15 Q I would like to turn to page 17 of your
16 report where you discuss --

17 A Okay.

18 Q Paragraph 25 specifically is what I want to
19 blow up on page 17.

20 You talk about two concepts here.
21 Biological plausibility we've already discussed, but
22 what do you mean by biological coherence? That's an
23 additional requirement you would impose on an
24 explanatory theory.

25 A It's not my terminology. It is a way of

RUTTER - CROSS

3349

1 restating Bradford Hill's guidelines in which what he
2 is meaning by this is that if one looks at what we
3 understand from empirical studies of the way systems
4 work is there a coherence in the evidence coming
5 together to indicate pathways that might be relevant?

6 It is a guideline. He's quite explicit in
7 these guidelines. These are not rules, but it is
8 saying you need to look at the biological evidence as
9 a whole. Is there a coherence in coming together to
10 the same sort of answer?

11 Where it is then that makes it a bit more
12 likely. Where it's leading all over the place in
13 different directions then that makes it a lot less
14 likely.

15 MR. WILLIAMS: What I'd like to show you now
16 is sort of five or six pieces of what our experts'
17 theory has been and ask you if it looks like it's more
18 coherent than not.

19 This is a slide that we've prepared called
20 Biological Plausibility and Coherence of Thimerosal-
21 Containing Vaccines Regressive Autism Link, and the
22 first point is this.

23 If you could pull it in, Scott?

24 BY MR. WILLIAMS:

25 Q We've seen evidence that thimerosal-

RUTTER - CROSS

3350

1 containing vaccines deliver inorganic mercury to the
2 brain of infant monkeys. You cite that infant monkey
3 study in your report.

4 A I do.

5 Q In fact, you state that it's interesting
6 enough it should be followed up on, don't you?

7 A Yes.

8 Q Now, who should be doing the following up on
9 it? Do you think, for example, that the manufacturers
10 of the vaccines that deliver mercury to the brains of
11 these infants have any responsibility to do studies to
12 follow up on that Burbacher infant monkey result?

13 A Oh, I think I'd rather not comment on who
14 should be doing it. What I said in the report I stick
15 by. That's to say it's an interesting finding, and
16 therefore it's certainly worthwhile to be followed
17 through.

18 Now, in terms of the issue of a highly
19 unusual, susceptible subgroup, the comment that I
20 would make is a twofold one. The first is that as I
21 understand the animal data what one is seeing is not
22 very unusual responses in a few animals. One is
23 seeing a response which is broadly comparable across
24 the group.

25 So that in terms of evidence that mercury is

RUTTER - CROSS

3351

1 doing things to the brain, fine. I have no quarrel
2 with that. Of course, there are other studies that
3 show the same. In terms of an unusually susceptible
4 subgroup, I find this insofar as it goes rather
5 against that.

6 The second problem is that as the study and
7 other studies bring out, interesting things happen to
8 both ethyl mercury and methyl mercury and the
9 breakdown to inorganic mercury and that one, in
10 looking for specificity of effects, the minute you are
11 looking to things that come up from all sorts of
12 products other than thimerosal it becomes much more
13 difficult to say what is causing what.

14 So it is an interesting study. Yes, I do
15 think it's worth following through. At the moment I
16 don't find that it helps me very much other than
17 interesting bits of good science in knowing about
18 thimerosal.

19 Q Well, if you can't say who should do the
20 follow-up can you say what kind of follow-up you would
21 recommend?

22 If the vaccine manufacturers on their own
23 came to you and said we're concerned about the fact
24 that our vaccines probably deliver inorganic mercury
25 to the infant brains in a lot of kids and what should

RUTTER - CROSS

3352

1 we do to investigate that, what would you tell them?

2 A I don't do consultancies to drug companies
3 partly because I'm not a toxicologist. That's not
4 what I do.

5 Q Okay. So when you said in your report it
6 should be followed up what did you mean? Did you have
7 something in mind?

8 A There are a whole series of ways in which
9 one might follow things through, but I think you're
10 taking me down a road where I have ideas on the sorts
11 of approaches, but I'm not a toxicologist and I don't
12 wish to get involved in saying it's this strategy
13 rather than that strategy that would be preferred.

14 Q Now, the next step in our coherence that I'm
15 positing to you is that --

16 MR. WILLIAMS: It should say, Scott, that
17 mercury persists in the brain. I think that got left
18 out.

19 BY MR. WILLIAMS:

20 Q In the Burbacher infant monkey study, and I
21 meant to have the third point be the second point, but
22 in any event --

23 A I can deal with both of them.

24 Q In the adult monkey studies that are
25 referred to in the Burbacher infant monkey studies

RUTTER - CROSS

3353

1 there was a series of papers that found inorganic
2 mercury persisted in the brains of adult monkeys for
3 years, and it provoked neuroinflammation.

4 Now, you don't cite any of those papers in
5 your report. Did you go and look at them when you
6 read the infant monkey study?

7 A No, I didn't because, as I say, these are
8 studies which are at an early point of indicating that
9 there are aspects of the way mercury operates which
10 require further study.

11 I agree with that, but as they stand at the
12 moment they don't help very much in relation to the
13 particular hypothesis of thimerosal and autism.

14 Q You do agree, don't you, that there is wide
15 individual variability in the blood and brain levels
16 of mercury in both the human and the primate studies
17 that we've seen?

18 A There's wide individual variability in
19 almost any biological measure one cares to think
20 about.

21 Q And there is with mercury brain blood levels
22 from thimerosal vaccines, right?

23 A Yes.

24 MR. WILLIAMS: The next point, Scott?

25 //

RUTTER - CROSS

3354

1 BY MR. WILLIAMS:

2 Q The Burbacher paper says that inorganic
3 mercury at doses only five times higher than shown in
4 the infant monkeys ignited neuroinflammation in the
5 brain of the monkeys. You don't disagree that that
6 happened, do you?

7 A I haven't looked at that particular paper,
8 but I see no reason to disagree.

9 What I would not have the expert knowledge
10 to know is whether the five times higher is a
11 sufficiently big difference to make one not wish to
12 extrapolate or not. I can't answer that one.

13 MR. WILLIAMS: Let's pull the other points
14 up, Scott.

15 BY MR. WILLIAMS:

16 Q Neuroinflammation has been found in almost
17 all the brains of human autistics when it's looked
18 for. Do you agree with that?

19 A No, but the point is that the number of
20 brains that have been looked at is very small.
21 Moreover, the brains that have been looked at are
22 highly atypical.

23 That's not meant as a criticism of the
24 research. It's simply you can only look at the brains
25 of the people who have died, and the people who have

RUTTER - CROSS

3355

1 died are much more likely to have epilepsy and to have
2 profound mental retardation or intellectual disability
3 because those are the ones who die.

4 So it's not that they've chosen the wrong
5 groups. It's the only groups that are available. So
6 we have a small number of brains looked at from an
7 atypical group.

8 Now, whether the findings that are found are
9 related more to the epilepsy than the autism we have
10 no idea. With the number of brains available at the
11 moment, it would be pretty well impossible to sort
12 that out statistically, but clearly that will have to
13 be done.

14 As I'm sure you know, there are studies both
15 sides of the Atlantic trying to accumulate larger
16 number of brains so that issues such as the one you
17 mention here, but umpteen others as well, can be
18 looked at in order to determine can they be found by
19 independent investigators, because that's the golden
20 rule of science.

21 And can they be related to the particular
22 aspect looked at, i.e. not the mental handicap, not
23 the epilepsy, but the autism, because the groups have
24 mostly had all three of those, and have the right
25 checks been done to determine whether it is a cause or

RUTTER - CROSS

3356

1 whether it is an effect of the changes that take
2 place.

3 So as an area where more research is needed,
4 absolutely I agree. In terms of what can be concluded
5 so far, I think very little.

6 Q You seem to suggest that you were aware of
7 autopsy studies on autistics where --

8 A Yes.

9 Q -- the investigators had looked for
10 neuroinflammation and failed to find it. What study
11 are you talking about?

12 A Well, they were focusing particularly on
13 glial changes, which are the sort of characteristic
14 changes of injury that you get in postnatal brains.
15 They did not find that. I'm not sufficiently expert
16 on the techniques that they used to know how sensitive
17 they were to that.

18 The study by Bailey and his colleagues
19 similarly looked and found some evidence in some
20 individuals that were compatible with that and again
21 left open as it were the meaning of it.

22 So based on a very small number of brains
23 investigated in slightly different ways by different
24 investigators that don't as yet end up with a coherent
25 story, I'm optimistic that in the goodness of time

RUTTER - CROSS

3357

1 they will, but until we're there it's premature to
2 build much of a theory on it.

3 Q I thought you had already told us that you
4 didn't know whether Kemper had looked for
5 neuroinflammation.

6 I'm asking you to tell me what study you're
7 referring to where they looked for neuroinflammation
8 in the brain and didn't find it.

9 A I said she looked for glial changes. I
10 don't know what range of techniques she used. I'd
11 have to relook at the paper. Again, I'm not a
12 neuropathologist.

13 MR. WILLIAMS: And then finally, Scott, pull
14 in the last point there.

15 BY MR. WILLIAMS:

16 Q This is the point that Dr. Courchesne and
17 the Vargas and Pardo group have made in their review
18 papers that persistent neuroinflammation can explain
19 the symptoms of autism.

20 Do you agree with that particular point;
21 that it can explain the symptoms of autism?

22 A It's a speculative notion.

23 Q Now, every one of these points which come
24 out of the published literature appeared in 2005 or
25 later.

RUTTER - CROSS

3358

1 You were first retained by the vaccine
2 manufacturers on the thimerosal question, according to
3 your report, sometime in early 2004. Is that right?

4 A Yes.

5 Q So when you wrote the first draft of your
6 report none of this information was available to you?

7 A True.

8 Q But when you wrote your report in this case
9 all of that was available to you, and yet you didn't
10 even discuss it, did you?

11 MR. MATANOSKI: I object at this point.

12 This line of questioning, Your Honor, has gone on time
13 and again. I've let it go on, but it deserves to be
14 commented on.

15 The inference here is Dr. Rutter didn't
16 mention this because it was part of the Petitioners'
17 case that he couldn't address. This was not part of
18 the Petitioners' case when he wrote his report.
19 Neuroinflammation was not their case.

20 MR. WILLIAMS: I don't think this is the
21 time for argument.

22 MR. MATANOSKI: Dr. Deth made his theory
23 present and known back at the time that Dr. Rutter was
24 answering and gave his report.

25 This three week old theory of

RUTTER - CROSS

3359

1 neuroinflammation, I don't think that it's proper for
2 this line of questioning to keep faulting Professor
3 Rutter for not addressing something that he had to be
4 somehow cognizant of before it was even presented by
5 the Petitioners.

6 SPECIAL MASTER CAMPBELL-SMITH: Petitioners'
7 counsel, how much further are we going with this line
8 of questioning?

9 MR. WILLIAMS: I just want to ask him if he
10 agrees that that is a coherent theory.

11 BY MR. WILLIAMS:

12 Q Even if you say it's not proven yet, isn't
13 it a biologically coherent theory?

14 A It's a highly speculative theory, and it's
15 not one that had been drawn to my attention at all in
16 the case at the time I wrote my report.

17 So that if I was redoing a new report I
18 would look at these papers, but I would have to, as I
19 indicated, be very careful in indicating this is not a
20 particular area of science on which I'm expert so I
21 would comment on it in terms of a causal inference.

22 I would not be prepared to comment on the
23 details of the laboratory features. That's not my
24 area of expertise.

25 Q Are you saying you can't say whether it's

RUTTER - CROSS

3360

1 coherent or incoherent?

2 A It's so general that it's difficult to say
3 anything other than it's a speculative attempt to
4 bring a general mechanism together in terms of
5 accounting for a specific phenomenon.

6 Q Coherent or incoherent? What's your answer?

7 A It's so vague that it's neither.

8 Q Let's talk about regression for a minute.

9 You agreed I think that there have been cases --
10 you've said you've seen them -- where there is clear
11 and even dramatic regression into autism of children
12 who developed normally until they were 18 months of
13 age, correct?

14 A Yes. The dramatic is unusual, but I've
15 certainly seen many cases of regression, yes.

16 Q Now, you said that you thought regression
17 was on average about a quarter of the cases?

18 A Yes.

19 Q Are you aware of the study that was done in
20 California called the CHARGE study? It's an
21 epidemiological study of regressive autism.

22 A I'm not quite sure I recognize it by that.

23 MR. WILLIAMS: Let me show it to you. This
24 is Petitioners' Master Reference List Exhibit 562.

25 Scott, if you would just pull up the title

Heritage Reporting Corporation
(202) 628-4888

RUTTER - CROSS

3361

1 of the paper? We've already discussed this briefly
2 before with another witness.

3 BY MR. WILLIAMS:

4 Q The title is Regression in Autism,
5 Prevalence and Associated Factors in the CHARGE Study.
6 Have you not seen this paper before, Dr. Rutter?

7 A I think I probably have, but I need to look
8 through it properly to check.

9 MR. WILLIAMS: If you would just blow up the
10 abstract?

11 I don't want to go into the details. I just
12 want to ask him about the conclusion of the abstract
13 here for now. Highlight the Results section if you
14 would.

15 BY MR. WILLIAMS:

16 Q In the Results section they say that 15
17 percent of the combined autism ASD group lost both
18 language and social skills, 41 percent lost one or the
19 other, and no differences were found between the two
20 samples of children with regression.

21 But do you agree that this epidemiological
22 study conducted in California probably is the best
23 measure we have right now of the percentage of
24 autistic children who have both language and social
25 skills regression?

RUTTER - CROSS

3362

1 A Well, there are other studies. I'd have to
2 read it more carefully to say that it's the best.

3 It does of course come up with a combined
4 figure of whatever it is, 56 percent, so it's actually
5 saying over half have regressive autism.

6 Q But the children we're talking about in this
7 case lost both social skills and language, and the
8 study found that those type of children only occurred
9 in 15 percent of the cases, correct?

10 A Where does the fact that we're referring
11 only to those who lost both come from?

12 Q The two cases that are at issue here today.

13 A Oh, I see. Well, I have not looked at the
14 individual cases so I can't comment on that.

15 But in the general evidence that I have seen
16 it's not been specified in that particular way. It's
17 talked about definite regression. It's not said that
18 it has to be in both language and social.

19 Q You made a general comment on epidemiology
20 that you thought if it was 25 percent of the
21 population, of the autism population, that the
22 ecological studies that have been conducted on autism
23 rates over time compared to thimerosal vaccines would
24 have picked it up.

25 Are you aware that both Dr. Greenland and

RUTTER - CROSS

3363

1 Dr. Goodman for the defense have said that if it's 15
2 percent those studies would not have been able to pick
3 it up?

4 MR. MATANOSKI: I think that's an unfair
5 characterization of either witness. I'm certainly
6 sure that it isn't Dr. Goodman's statement.

7 MR. WILLIAMS: Well, let me ask the witness
8 another question.

9 BY MR. WILLIAMS:

10 Q Do you know what percentage of all autism
11 they said would not be able to be picked up if it were
12 a certain size? Do you know what numbers they used?

13 A No. I have read Dr. Greenland's statement,
14 his report. He doesn't deal with what the proportion
15 is, but he does assume a very low rate.

16 But he does so without reference to the
17 literature on the reported studies looking at
18 regression so that he ends up with the perfectly
19 legitimate point that if it is a very low rate it
20 wouldn't be picked up.

21 Now, what rate would be picked up would
22 depend on which study one is talking about. Obviously
23 the smaller the proportion the less likely would it
24 have been to be picked up. I mean, that is a general
25 epidemiological finding, and of course I agree with

RUTTER - CROSS

3364

1 that.

2 I have not looked at the evidence
3 sufficiently in relation to knowing which percentage
4 would have been picked up and which wouldn't.

5 Q Do you know what Dr. Rust said about this
6 issue as to what percent of his patients he thought
7 were truly regressive?

8 A I don't think I do, no.

9 Q You don't know that he said that of the
10 patients that he has in his own clinic that were
11 apparently regressive that when he went back and
12 looked carefully at them only 20 percent of those
13 cases were truly regressive? You're not aware of
14 that?

15 A No, but I would question the basic
16 assumption.

17 The evidence to date I think suggests that
18 regression isn't an either/or phenomenon so that Dr.
19 Kinsbourne in his report talks about in biology
20 continuing the usual. I don't remember the exact
21 words he used, but something of that kind. I agree
22 with that statement.

23 My clinical experience over some half a
24 century goes along with that in relation to
25 regression. That's to say there are some cases that

RUTTER - CROSS

3365

1 are indeed severe and dramatic. There are others
2 where much less so and all the way along the line.

3 The evidence as to which cutoff you should
4 use to identify a distinctive subgroup, I don't think
5 we have the faintest idea where that should be. But
6 the study here, for example, just eyeballing it
7 because I haven't had time to read it properly,
8 indicates that they found no differences between the
9 two samples with regression or the children without
10 loss of skills so that the notion that there is a
11 distinctive group I query.

12 I'm not saying it's impossible, but what I
13 am saying is it certainly has not been demonstrated,
14 and it certainly has not been demonstrated that any
15 group of that kind is medically different. It's a
16 possibility worth studying, but hasn't been shown.

17 MR. WILLIAMS: You can take that down,
18 Scott.

19 BY MR. WILLIAMS:

20 Q Now let me ask you this squarely. What is
21 your opinion as to whether there has been any
22 measurable increase in the incidence of DSM-IV autism
23 over the last 20 years?

24 A I don't know. As a careful, rigorous
25 scientist it bothers me that I have to say something

RUTTER - CROSS

3366

1 as vague as that.

2 Let me put it this way. There is no doubt,
3 and this would be generally agreed, that there is
4 better ascertainment now than there used to be and
5 that that will have certainly played a part in the
6 rise.

7 It's also the case, and again as far as I
8 know nobody has disputed it, that the broadening of
9 the concept is for real and has played a part. So the
10 question comes then does better ascertainment and a
11 broadening of the concept fully account for the rise?
12 I know of no evidence that can rule that in or rule
13 that out.

14 But one of the studies that I am involved
15 with, which is the Norwegian so-called MOBAS study,
16 mothers and babies study, following 100,000 children
17 and mothers from pregnancy onward is looking at
18 whether there are environmental risk factors that
19 could be involved with autism.

20 So I am very heavily committed to the need
21 to study not just genetic influences, but also
22 possible environmental causes, but I do so on the
23 grounds that it is reasonable with a multifactorial
24 disorder like autism to suppose there are nongenetic
25 factors, and it is the job of scientists like myself

RUTTER - CROSS

3367

1 to strive to find them.

2 I think that the evidence as to whether
3 there is or is not a real rise I don't think is worth
4 investigating at the present time because I don't see
5 how you would ever know. You can't go back in history
6 with measures that were not existent at the time.

7 I am in favor of research that says here is
8 a hypothesis about something that might have caused a
9 real rise. Let us investigate it. That was done with
10 MMR and it was done with thimerosal, and I think it
11 was reasonable in both cases to look at the
12 epidemiological evidence that it was associated with a
13 real rise.

14 In both cases I think the evidence is
15 against that having been responsible for a real rise,
16 but clearly when the suggestion was put forward it
17 needed to be investigated, and one of the key features
18 that is most decisive is what happens when the risk
19 factor -- MMR in the one case, thimerosal and vaccines
20 in the other -- are removed.

21 So there is a need to look at this
22 possibility. I don't know whether there's been a real
23 rise.

24 Q Okay. You said that you thought in the
25 modern era that the prevalence estimates now are

RUTTER - CROSS

3368

1 reasonably accurate?

2 A Yes, I do.

3 Q I assume that's post DSM-IV, is that right,
4 the modern era?

5 A Yes. I'm not a great adherence to official
6 classification systems despite the fact I was involved
7 with both.

8 Q But what I was hearing you say is that we
9 can reasonably rely on the prevalence estimates in
10 more recent years of autism.

11 A Yes. Yes. Not because they rely on DSM-IV
12 or ICD-10.

13 Q Okay.

14 A But because they use standardized
15 instruments. They look carefully at confounding
16 factors. They use good general population samples. I
17 mean, they as it were remedied many of the problems of
18 the earlier research.

19 Whether they were helped or hindered by
20 DSM-IV and ICD-10 is really neither here nor there.
21 They were good epidemiologics.

22 Q And when did we enter the modern era?

23 A That's a bit like regression. It happened
24 gradually over time.

25 Q Okay. We're there now. You don't know when

RUTTER - CROSS

3369

1 the studies were published that we can trust and rely
2 on their prevalence estimates?

3 Studies published after 1995? Can we rely
4 on studies published after 1995 as giving us accurate
5 prevalence estimates?

6 A I as always, as any good scientist does, do
7 not rely on the year. It looks at the quality of the
8 research. The quality of the research in the studies
9 done in the last decade or so are definitely higher
10 than those.

11 I know Dr. Fombonne has done analyses
12 looking at particular year cutoffs. I think that's a
13 sensible thing to be doing, but I actually don't have
14 much faith that that actually gets you very far. I
15 think looking at the quality of the research is the
16 key thing.

17 Q Well, I think in your report you cite to the
18 two studies done in Atlanta, actually in the United
19 States, that estimated population rates of DSM-IV
20 autism, and it came out to roughly 60 or 70 per
21 10,000.

22 Is that what you believe is the current
23 reasonably accurate prevalence estimate of autism in
24 at least the United States?

25 A Well, I also pointed out that the variation

RUTTER - CROSS

3370

1 in prevalence rates even in the recent studies that
2 rely on administrative figures vary from state to
3 state in a puzzling fashion. I concluded in my report
4 that I therefore don't place a lot of credence on
5 administrative figures for true rates of incidence of
6 autism.

7 So whether the true figure is higher than
8 that -- or I doubt that it's much lower; the study at
9 Guillain Barré put it actually higher than that -- it
10 certainly is somewhere between the half a percent to
11 one percent, which is way higher than the estimates of
12 50 years ago.

13 Q And the good epidemiological studies done in
14 the last 10 years --

15 A Yes.

16 Q -- have been able to reasonably and
17 accurately measure the prevalence rate using those
18 instruments you talked about?

19 A Yes.

20 MR. WILLIAMS: Okay. I need to spend some
21 time with him on the epidemiological studies. It's
22 1:00. I assume this would be a good time to think
23 about breaking.

24 BY MR. WILLIAMS:

25 Q However, I want to ask you about Dr. Young's

RUTTER - CROSS

3371

1 study that was published a couple weeks ago, and I
2 want to make sure you have a copy now. Have you read
3 the Young study?

4 A No.

5 MR. WILLIAMS: Let me give you a copy then
6 that you can have over the lunch hour. This is
7 Petitioners' Master Exhibit -- no. Is this a trial
8 exhibit? We marked it though, didn't we? No? Yes,
9 we did.

10 SPECIAL MASTER VOWELL: 665. Petitioners'
11 Master Reference List 0665.

12 MR. WILLIAMS: 665. I'll write that on here
13 for you.

14 SPECIAL MASTER VOWELL: It's the Young and
15 Geier study.

16 MR. MATANOSKI: With respect to that, Your
17 Honor, obviously we'll see what we can do over the
18 lunch hour, but I would like to have Professor Rutter
19 have a chance to eat too.

20 MR. WILLIAMS: I don't mind taking a longer
21 lunch. We've lost two other witnesses today. We have
22 plenty of time.

23 MR. MATANOSKI: The other characterization
24 of this study as it being out for a couple weeks I
25 think would not be accurate. I think it's been out

RUTTER - CROSS

3372

1 for a week now.

2 Maybe Petitioners' counsel have been aware
3 of it much longer than that, but as far as in front of
4 the Court I think it was on Friday the first week.
5 That was the first time we saw this study from Young,
6 Geier and Geier, I believe.

7 SPECIAL MASTER CAMPBELL-SMITH: Right. With
8 these representations, how long is counsel proposing
9 for lunch? How much longer do you anticipate going?

10 MR. WILLIAMS: Well, it depends on how long
11 it takes to go through this study. I think it will
12 take a lot less time if he has a chance to read it
13 first. I think I've probably got 45 more minutes.

14 SPECIAL MASTER CAMPBELL-SMITH: An hour for
15 lunch?

16 MR. WILLIAMS: I'm happy to take an hour and
17 a half for lunch to give him more time to read it.

18 MR. MATANOSKI: I think an hour should be
19 sufficient.

20 SPECIAL MASTER CAMPBELL-SMITH: An hour? I
21 have 1:00 at this point, so we will take a lunch break
22 and return and resume at 2:00.

23 MR. WILLIAMS: Okay.

24 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

25 //

RUTTER - CROSS

3373

1 (Whereupon, at 1:00 p.m., the hearing in the
2 above-entitled matter was recessed, to reconvene at
3 2:00 p.m. this same day, Tuesday, May 27, 2008.)
4 //
5 //
6 //
7 //
8 //
9 //
10 //
11 //
12 //
13 //
14 //
15 //
16 //
17 //
18 //
19 //
20 //
21 //
22 //
23 //
24 //
25 //

1 of that in advance.

2 It was in light of those representations
3 that Dr. Pardo is not here today.

4 MR. WILLIAMS: I just wish they could have
5 told us that on Friday because when we left here
6 Friday we were under the impression that we were not
7 allowed to contact Dr. Pardo because they had retained
8 him and that he was going to show up today and
9 testify.

10 So we actually did a lot of work over the
11 weekend to prepare to cross-examine Dr. Pardo, and it
12 was only yesterday that they told us they had decided
13 not to call him.

14 MR. MATANOSKI: I'm not sure what kind of
15 work would be necessary if all he was going to be
16 discussing was his article, which has been referenced
17 numerous times by Petitioners' counsel and their
18 experts, and his letter, which is a page and a half.

19 SPECIAL MASTER CAMPBELL-SMITH: Any further
20 comment, Mr. Williams?

21 MR. WILLIAMS: No.

22 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
23 To continue the cross, please.

24 //

25 //

RUTTER - CROSS

3376

1 Whereupon,

2 MICHAEL L. RUTTER

3 having been previously duly sworn, was
4 recalled as a witness herein and was examined and
5 testified further as follows:

6 CROSS-EXAMINATION RESUMED

7 BY MR. WILLIAMS:

8 Q Dr. Rutter, before we get into the
9 ecological studies that you cite in your report and a
10 couple controlled epidemiological studies of a cohort
11 nature, I wonder. Do you know why we don't have any
12 randomized control trial data on thimerosal vaccines
13 and outcomes?

14 A As far as I know it's not been proposed so
15 that --

16 Q You didn't know that there actually was a
17 randomized trial in Italy that was done where a few
18 thousand kids got thimerosal-containing DPT vaccines
19 and several thousand kids didn't; they got
20 nonthimerosal-containing vaccines?

21 Other than that trial, are you aware of any
22 other randomized trial on thimerosal?

23 A No, I'm not aware.

24 Q In your opinion, would it be ethical today
25 to do a randomized control trial on American children

RUTTER - CROSS

3377

1 with thimerosal-containing vaccines for half of them
2 and thimerosal-free vaccines for the other half?

3 A Well, I think it would be ethical in the
4 sense that there are known demonstrated risks
5 associated with thimerosal.

6 Whether it would be sensible given the lack
7 of evidence to spend time and money and resources to
8 do a randomized control trial I doubt.

9 Q There was never any suggestion that
10 thimerosal improved the immunization effectiveness of
11 the vaccines, was there?

12 A No, no, no. It was a preservative.

13 Q Are you aware of any epidemiological study
14 done to look at the association between thimerosal-
15 containing vaccines and regressive autism?

16 A Not as such.

17 Q Let's talk about the Verstraeten study. You
18 mentioned it in your direct, and you discuss it in
19 your report.

20 I think you said one of the strengths of
21 that Verstraeten study was the large numbers of
22 children that were --

23 A Sure.

24 Q About 140,000 children in that study?

25 A Yes.

RUTTER - CROSS

3378

1 Q Do you know what Dr. Verstraeten himself has
2 said about that study in the published literature?

3 A Yes. He has said that he regarded it as
4 inconclusive.

5 Q Well, let's see if that's exactly what he
6 said. Let me show you Petitioners' Master Reference
7 No. 19. I'll give you a copy.

8 A Okay. Thank you.

9 Q Now, this is the letter that Dr. Verstraeten
10 wrote to the journal in which his study had been
11 published, correct?

12 A Yes.

13 MR. WILLIAMS: I don't know if we know the
14 date of this letter.

15 Scott, do you know the date of this? It
16 doesn't have a date on this page.

17 THE WITNESS: It followed shortly after the
18 article.

19 MR. WILLIAMS: Yes.

20 THE WITNESS: I don't remember the exact
21 date.

22 MALE VOICE: April 2004.

23 MR. WILLIAMS: April 2004 is the reference.
24 If you would highlight the top right-hand column, the
25 top of the right-hand column, Scott? Yes. Maybe a

RUTTER - CROSS

3379

1 little bit further down there. Blow that up.

2 BY MR. WILLIAMS:

3 Q Do you see where he says: Surprisingly,
4 however, the study is being interpreted now as
5 negative by many, including the antivaccine lobbyists.
6 Now, is your characterization of this study as
7 negative?

8 A As I said, he describes it as inconclusive,
9 and he does so because of the wide confidence
10 interval.

11 Q No. I'm asking what your characterization
12 of it is. Do you think it's a negative study, or is
13 it an inconclusive study?

14 A The studies can't be divided up quite like
15 that. What you have to ask is is there any evidence
16 from this study and others using a range of strategies
17 that is in support, and the answer is no. This is not
18 in support.

19 Q He goes on to say: A neutral study carries
20 a very distinct message. The investigators could
21 neither confirm nor exclude an association, and
22 therefore more study is required.

23 Do you agree with that; that more study in
24 this Vaccine Safety Datalink database is required?

25 A At the time that that statement was made

RUTTER - CROSS

3380

1 that might be correct, but since then we've got a
2 number of other studies, all of which failed to show
3 any association, so I would no longer regard that as
4 appropriate. This was four years ago, remember.

5 Q That's right. Did you know that in 2006 the
6 NIH convened a panel of experts on autism and
7 epidemiology to consider whether additional studies
8 within the Vaccine Safety Datalink could and should be
9 done that would be informative on the question of the
10 association between thimerosal vaccines and autism?

11 A No, I didn't know that.

12 MR. WILLIAMS: You didn't know that? Well,
13 let me show you that briefly and ask you if you agree
14 with their recommendations. This is Petitioners'
15 Master Reference List 553.

16 The rest of us have seen this before,
17 Doctor, so let me just represent to you that that is
18 the signature on the first page of the Director of
19 NIH, and it was in October of 2006 when this was
20 released.

21 If you could just pull up, Scott, the
22 highlights that we had in there on what the committee
23 recommended be done?

24 BY MR. WILLIAMS:

25 Q You haven't seen this report before, Dr.

RUTTER - CROSS

3381

1 Rutter?

2 A No. No, I haven't.

3 Q It says that one possibility that generated
4 support by the panel, and they're talking about
5 possible studies that could be done, was an expansion
6 of the VSD study published by Verstraeten.

7 By expansion I think it's fair to say they
8 were talking about both an expansion of time forward
9 to the point where a lot of the children had not been
10 exposed to thimerosal, as well as an expansion
11 geographically to additional HMOs within the system.

12 Because I think even one of the criticisms
13 you made of the Verstraeten original study was that it
14 only had three HMOs in it, and one of them was very
15 small, right?

16 A Right.

17 Q So would you agree with this expert panel in
18 October of '06 that it would be a good thing to do to
19 expand this Verstraeten study timewise and
20 geographically?

21 A You've got to remember I come from the U.K.,
22 and with the availability of funds in the U.K. I would
23 have to say there is not sufficient evidence in my
24 view to justify spending British money doing an
25 expanded study.

RUTTER - CROSS

3382

1 I realize the U.S. has much more money and
2 if in its wisdom wished to expand, fine, but the
3 situation now I think is where there are sufficient
4 studies with different strategies coming to the same
5 conclusion that I wouldn't want my taxpayers' money
6 used in that way.

7 MR. WILLIAMS: You can take that down,
8 Scott.

9 BY MR. WILLIAMS:

10 Q Let's turn to your discussion of some of
11 these ecological studies you mentioned. Now, the
12 Heron study you discuss on page 44 of your report.

13 A Yes.

14 Q That was one of these prospective cohort
15 studies, correct?

16 A Yes. Correct.

17 Q Now, you said in your report, and isn't this
18 a fair criticism of the study, that it didn't have
19 autism as an endpoint, right?

20 A Uh-huh. Correct. Correct.

21 Q We have to have an audible answer for the
22 record.

23 A Oh, I'm sorry. I'm sorry.

24 Q I knew what you were doing. The audience
25 didn't.

RUTTER - CROSS

3383

1 It also was a fairly small study, right?

2 Only 14,000 children.

3 A Yes.

4 Q You wouldn't be reasonably able to detect a
5 change in the autism rates among that small group of
6 children, would you?

7 A Well, in that it's a single cohort you
8 couldn't look at change anyway. You could only look
9 at associations here.

10 Q But the confidence intervals would be
11 enormous, wouldn't they?

12 A Yes.

13 Q Right. And yet you think that you can take
14 that study and add it to the rest of them and it gives
15 weight to them nevertheless, right?

16 A I didn't give much weight to it, as you will
17 realize from what I've got in the report. There are
18 too many limitations on it for me to wish to place
19 much weight.

20 I note that it is a good epidemiological
21 study. I have no criticisms on that, but the reasons
22 you've given -- that there isn't a specific focus on
23 autism and its sample size is on the small size,
24 studies of this kind -- I wouldn't place much weight
25 on it and I didn't.

RUTTER - CROSS

3384

1 Q Now, on page 44 of your report in discussing
2 the ecological studies in general in paragraph 75, and
3 let's just pull up paragraph 75 of your report and
4 discuss it for a second.

5 You're talking about one of the limitations
6 in the cohort studies is that there is little
7 variation in the total amount of thimerosal received.

8 A Right.

9 Q Why is that a weakness in the cohort
10 studies?

11 A Well, because the opportunity to find an
12 effect is of course very much related to the degree of
13 variation in what is your independent variable so that
14 to go to an extreme you can't look at the effects of
15 thimerosal if everybody gets the same dose at the same
16 time.

17 By extending that argument a little bit
18 further if the variation either in the timing or in
19 the dose is very small the chance of detecting an
20 effect is equally limited.

21 Q Now, if you tried to solve that problem by
22 combining a group of children who were exposed to
23 thimerosal in say years one, two and three and then
24 thimerosal is removed and now in years five, six and
25 seven you have no exposure, don't you still have a

RUTTER - CROSS

3385

1 problem because you're not measuring the rates at the
2 same point in time? Isn't that also a weakness in the
3 study?

4 A I'm not quite sure what study you're
5 referring to, so I'm not -- I mean, has anybody done
6 that?

7 Q I thought you cited several studies that had
8 done that in your report. The Scandinavian studies
9 that looked at a point in time when thimerosal was in
10 the vaccines and another point in time when it was
11 out.

12 A Yes.

13 Q My question is doesn't that though add some
14 potential confounders that wouldn't be there if you
15 could look at different doses at the same point in
16 time?

17 A No. But as I tried to point out, each of
18 the designs has got its own particular strengths and
19 limitations.

20 The advantages of the ecological designs
21 looking at time/trends comes especially because their
22 one big strength is that there is a firm prediction of
23 what should happen when thimerosal is discontinued.
24 That's its strength.

25 Its limitation is that you can't look at it

RUTTER - CROSS

3386

1 on an individual case basis. If you look at the
2 cohort studies you have the opposite set of strengths
3 and limitations. There you can look at it in terms of
4 what the individual has received and you can control
5 for confounders much better because you have
6 individual data, but you can't look at changes over
7 time.

8 So this comes back to the main point I was
9 trying to make in my report, which is that you're
10 foolish always to rely on one single type of design.
11 The strength comes from looking at a number of
12 different designs, each of which have particular
13 strengths, but equally each have particular
14 weaknesses.

15 Now, if a varied range of designs give you a
16 varied set of answers then you are in difficulty in
17 knowing what to conclude. If, however, despite their
18 variations in strategy they come up with a broadly
19 similar answer that gives one confidence that the
20 positive or negative conclusion as the case may be is
21 more likely to be solid.

22 Q Let's turn to the Young-Geier study.

23 A Okay.

24 Q Which is Petitioners' Exhibit 665.

25 A Yes.

RUTTER - CROSS

3387

1 Q Now, this study has 278,000 children in it,
2 correct? Right?

3 A Something like that, yes.

4 Q Much larger than the Verstraeten study?

5 A Yes.

6 Q And much larger than any of the other
7 ecological studies that you cited?

8 A Yes.

9 Q Isn't that a strength of the study?

10 A No. Let me talk about the study in a bit
11 more detail. Quite frankly I think it's a poor study,
12 and it's a poor study for several different reasons.

13 To begin with, it starts off with a cohort
14 design so that, as I understand it, they have records
15 on individuals that they could follow forward, but
16 they don't actually analyze the data that way. What
17 they analyze is in terms of time/trends.

18 In order to do that they have to make
19 various adjustments with the first cohort and the last
20 cohort so that you're dealing with a strange design
21 which is putting together chalk and cheese in the hope
22 of gazpacho soup coming out, to use a rather mixed
23 analogy.

24 Q Well, let me ask you. Do you know whether
25 they were allowed to look at individual --

RUTTER - CROSS

3388

1 A Of course not. I haven't discussed it with
2 them.

3 Q What?

4 A No, of course I don't know that because I
5 haven't discussed it with them. All I've got is in
6 the paper. So it is poor from that point of view. I
7 think that their analytic design and strategy was not
8 a satisfactory one.

9 In terms of conclusions, if one turns to
10 Table 3 the thing that is really striking is that you
11 have a significant effect using a fact now not in a
12 causal effect, but in a statistical effect --

13 Q Yes.

14 A -- with a really quite heterogeneous range
15 of disorders.

16 So that let's take the neuro information
17 hypothesis as the one that we were talking about
18 before the break is correct. It is dealing with the
19 most significant effect on tics and on disturbances of
20 emotions.

21 So one would have to suppose that if this is
22 seen as supportive you're getting a neural effect that
23 is going across a range of disorders of an extremely
24 heterogeneous kind with different ages of onset, with
25 different genetic factors involved, with different

RUTTER - CROSS

3389

1 courses, so that the very major lack of specificity
2 would make me immediately skeptical as to what it
3 shows.

4 Q But they did use control disorders of
5 pneumonia, congenital anomalies and failure to thrive,
6 didn't they?

7 A Yes, they did, but why are they there and
8 disturbance of emotion is not there?

9 Q Well, the data is the data.

10 A Exactly. The disturbance of emotions should
11 have been a control disorder.

12 Q Well, even if it had been in the controls if
13 they found an association they would have to report an
14 association.

15 A Exactly.

16 Q And they reported what they found.

17 A Exactly.

18 Q What's wrong with recording what you find?

19 A The inferences you draw from it. I mean, I
20 don't know what the basis of the control disorders
21 choice was, but I would have thought that anybody who
22 knows anything about the field at all would have put
23 disturbances of emotions as a control disorder.

24 Q But even if they put it down there, if
25 they've got the data it would come out the way it is.

RUTTER - CROSS

3390

1 They've got to report what they've found.

2 A Yes. Exactly. And what you have to show
3 then is that you have a fact that is even more
4 significant for the control disorder than you do with
5 the neurodevelopmental disorder.

6 The disturbance of emotions is not by
7 anybody that I know of regarded as a
8 neurodevelopmental disorder.

9 Q Well, whether they classify it as a
10 neurodevelopmental disorder or not it's an ICD-9 code.

11 They look and see whether it's associated
12 statistically with this difference in exposure, and
13 they found that it was. What's wrong with finding
14 that and reporting it?

15 A Because the postulate is that it is found
16 with neurodevelopmental disorders and it is not
17 classified by ICD-10 or DSM-IV or any psychiatrist
18 either side of the Atlantic that I'm aware of as a
19 neurodevelopmental disorder.

20 Q So you're not quibbling with the data that
21 they found. You're just quibbling with how they
22 characterized it before they started the study, right?

23 A Well, I quibble with both. I think changing
24 it from what could have been a cohort design into a
25 somewhat artificial time/trends design, I mean that

RUTTER - CROSS

3391

1 doesn't seem to be a scientifically sensible thing to
2 do.

3 Q You think that they should not have looked
4 at emotion disorders at all? They should have just
5 left that out?

6 A That's not what I'm saying.

7 Q Well, then what are you saying?

8 A I'm saying that the control disorders which
9 are defined as nonneurodevelopmental should include
10 all the nonneurodevelopmental disorders.

11 Emotional disorders by the opinion of
12 anybody that I have ever heard of either side of the
13 Atlantic and the official classifications and the
14 empirical research evidence is not a
15 neurodevelopmental disorder, and therefore to include
16 it as supportive rather than contradictory is against
17 the strategy.

18 Q You're not disputing right now that that's
19 what the data show. However they categorize it, if
20 they look at that ISD-9 code and find these statistics
21 they have to report it, don't they?

22 A That's not the point I'm making. I'll make
23 it once more, and then I really refuse to answer any
24 more questions on it.

25 The point is that they have created two

RUTTER - CROSS

3392

1 groups, one of neurodevelopmental disorders and one of
2 nonneurodevelopmental disorders. What is wrong is
3 that in the neurodevelopmental disorders they have
4 included a condition that nobody but nobody would
5 regard as neurodevelopmental. Therefore, the
6 comparison between these two groups has to be invalid.

7 Q There are a lot of neurodevelopmental
8 disorders that they don't have and they didn't look
9 at, right? They couldn't possibly have looked at all
10 of them, could they?

11 I mean, realistically in ICD-9 aren't there
12 just pages and pages and pages of neurodevelopmental
13 disorders?

14 A This is not what they've left out. It's
15 what they've put in.

16 Q Do you agree that the fact that they have
17 large groups of children with a 100 microgram exposure
18 difference is a strength of the study?

19 A I don't know why they took that particular
20 cutoff. That's not explained.

21 MR. WILLIAMS: Yes, I think it is if you
22 look on page 5 of the paper in the right-hand column.
23 Let's go through this just so we can understand this.

24 Just above the figure, Scott, if you would
25 highlight the Finally paragraph?

RUTTER - CROSS

3393

1 THE WITNESS: That describes what has
2 happened over time. Yes.

3 BY MR. WILLIAMS:

4 Q Well, what they say here, Doctor, just to
5 summarize it, is that there was a period of time in
6 '92 and '93 in this country when there were two types
7 of DTP vaccines being used.

8 Some of them were combined with the Hib
9 vaccine in such a way that a lot of children only got
10 four shots because they were combined and therefore
11 only got 100 micrograms, 25 per shot, whereas another
12 large group got separate shots and got eight shots and
13 got 200 micrograms.

14 They were able to take advantage of that
15 large difference to see if there was any association.
16 Isn't that a strength of the study that isn't present
17 in any of the other ecological studies that we have?

18 A No, I don't see it as a strength. I mean,
19 the problem is that unless you've got a hypothesis
20 which says something testable about what level of
21 exposure the effects come it is entirely arbitrary to
22 change it in terms of what particular mix happens so,
23 no, I don't regard that as a strength.

24 Q You don't think it's reasonable to look to
25 see in a database that allows it if there's a

RUTTER - CROSS

3394

1 difference in association between neurodevelopmental
2 disorders and a 100 microgram difference in exposure?

3 A What I'm saying is that it's arbitrary in
4 the absence of a hypothesis as to what sort of level
5 of difference matters.

6 So that one of the real problems in trying
7 to look at the literature as a whole here is that
8 there is a complete lack of specificity as to whether,
9 for example, the European studies are relevant or not
10 relevant because the dosage of thimerosal is lower in
11 the European vaccines than it has been in the American
12 vaccines, so it keeps changing as it were as to what
13 seems to suit the case being made.

14 Q All right. Have you looked at the
15 Terbutaline papers that we've discussed in this trial
16 for the last two weeks?

17 A I'm sorry. The what papers?

18 Q Do you know about the Connors twin study
19 done at Johns Hopkins on twins and siblings exposed to
20 Terbutaline in preterm labor?

21 A I don't think I do know that.

22 Q You're not familiar with that at all?

23 A I don't think so.

24 Q And you're not familiar with the follow-up
25 animal study they did that found that in animals

RUTTER - CROSS

3395

1 Terbutaline provoked neuroinflammation in the brains
2 of the animals?

3 A I think that's not a literature I've looked
4 at.

5 Q I want to ask you about a study that you did
6 cite. You cite on page 41 of your report a study from
7 Hong Kong by Ip, et al.

8 A Yes. Yes.

9 MR. WILLIAMS: If you could pull up
10 paragraph 71, Scott, and blow up the first six or
11 seven lines of that paragraph 71? It's coming up.

12 BY MR. WILLIAMS:

13 Q This is you discussing Ip. You say: More
14 importantly, the basic findings with respect to a
15 lower level of mercury in the hair of children with
16 autism have not been confirmed in the study from Hong
17 Kong.

18 A cross sectional study of both hair and
19 blood mercury levels of 82 children with an ASD and a
20 mean age of about seven years were compared with a
21 normal group of children, a control group of normal
22 children. No differences were found between either
23 the blood or hair mercury levels of the two groups,
24 and therefore this evidence runs counter to the
25 suggestion of a causal relationship between mercury

RUTTER - CROSS

3396

1 and ASD.

2 Now, are you aware that this study has been
3 reanalyzed?

4 A I am.

5 Q You just didn't catch that before you wrote
6 the report?

7 A Correct.

8 Q Do you agree now that based on the
9 reanalysis which found a positive statistical
10 association between blood levels and autism that this
11 study now points toward a causal association rather
12 than away from it?

13 A No, I don't. There are two key things.
14 Firstly -- I don't think I've got that paper with me.
15 No, I haven't. Okay. If you would fish it out?

16 To begin with, the reanalysis by the group
17 shows a significance level of .056, and the critique
18 argues that they should have said that's nearly
19 significant.

20 That actually of course isn't the way things
21 work in statistics. If you're going to take a cutoff
22 then whether it's just above the cutoff is not
23 relevant. That is why statisticians nowadays tend to
24 prefer confidence intervals rather than a set
25 statistical level.

RUTTER - CROSS

3397

1 But the other problem is that the critique
2 argues that a one-tail test should have been employed.
3 Now, a one-tail test means that you are looking at a
4 finding only in one direction and that if it comes in
5 the opposite direction you ignore it.

6 But the problem here is that that isn't the
7 case so that the literature now dealing with a range
8 of studies here sometimes point in one direction and
9 sometimes another, so to have done a one-tail test
10 would have been statistically quite inappropriate.

11 So what we end up with is a study that fails
12 to show an association, but you could argue that the
13 significance level comes close if you like, but it
14 doesn't point in the opposite direction.

15 Q Let me show you the Results section here,
16 the first paragraph of the Results section, because I
17 think there may be a misunderstanding. This is
18 Petitioners' Master Reference List 423.

19 A Right.

20 Q This is the paper by DeSoto and Hitlan
21 entitled Blood Levels of Mercury Are Related to
22 Diagnosis of Autism: A Reanalysis of an Important
23 Data Set.

24 A Yes.

25 Q By the way, this reanalysis was published in

Heritage Reporting Corporation
(202) 628-4888

RUTTER - CROSS

3398

1 the year 2007.

2 A Yes.

3 Q And you wrote your report in 2008. You just
4 failed to detect this?

5 A Well, I have read the paper. I hadn't read
6 it at the time I wrote my report. That's quite true.

7 Q Well, let's look at what the P value is in
8 the relationship between blood and mercury -- excuse
9 me; mercury blood levels -- in autism in the Results
10 section.

11 The first paragraph says: Logistic
12 regression was performed using blood mercury level as
13 the predictor and the autistic control group as the
14 criterion. Results of this reanalysis indicate that
15 blood mercury level can be used to predict autism
16 diagnosis with a P value of .017.

17 Now, that's a statistically significant
18 association, isn't it, Doctor?

19 A Yes, it is, but if we go on -- let me find
20 it.

21 The original authors have now currently
22 calculated -- this is the bottom of page 1310. The
23 obtained difference suggests probably a real
24 difference with a probability that this count is true
25 of 94 percent, i.e. a P value of .06, misses the

RUTTER - CROSS

3399

1 conventional mark.

2 Given the close value, most researchers
3 would not call this a firm rejection of the
4 hypothesis, but might say it was marginally
5 significant.

6 Q I've lost you. Where are you reading from?

7 A The bottom of page 1310, the top of page
8 1311.

9 Q That's about hair, isn't it? I was asking
10 you about blood levels.

11 There's another exchange that I didn't want
12 to take the time to go into about the hair levels.

13 A Right.

14 Q Dr. Aschner wrote a paper or wrote a letter
15 criticizing this paper for not analyzing the hair
16 levels properly, and then DeSoto and Hitlan responded
17 to Aschner and said no, you misunderstood us. Even
18 the hair data supports this.

19 I didn't want to go into this. Have you
20 read that exchange of letters?

21 A No, I haven't.

22 Q Okay. Then let me ask you about one other
23 study you cited in your report.

24 On page 40, paragraph 69, you talk about
25 these studies of autism rates in relation to coal-

RUTTER - CROSS

3400

1 fired power plants that release mercury into the air,
2 and you criticize the Palmer paper in paragraph 69 by
3 saying:

4 There are no data on how environmental
5 release of mercury actually gets into the body, and
6 hence there is no way of telling whether the mercury
7 effects should be considered likely to be restricted
8 to the county within which the industrial output
9 existed.

10 Now, do you know that Palmer has published
11 an updated study of this same effect --

12 A No.

13 Q -- where he takes into account the distance
14 from the power plant?

15 A No, I don't know that, but I will come back
16 to similar studies in relation to lead which I was
17 concerned with -- where are we -- 30 years ago.

18 The point made then was that if toxins -- in
19 this case they were talking about lead rather than
20 mercury -- are released into the atmosphere the
21 question as to how they get into the body is a key
22 feature and that if they are getting into the body
23 through being deposited on food the effect is much
24 broader that you'd expect from where they live.

25 So that doing the analysis by area actually

RUTTER - CROSS

3401

1 is not a very good way of doing it, but apart from the
2 fact that you're dealing here with a dispersal which
3 has got really nothing to do with thimerosal.

4 But my main point here is that you've got to
5 know the route into the body to know whether the
6 effect is area specific or not, and they haven't done
7 that.

8 Q Let me show you the updated study.

9 A Okay.

10 Q It's Petitioners' Master Reference List 560.
11 This is what they call a preedited final edited
12 publication.

13 That happens with some of your papers too,
14 doesn't it, sometimes where they release the
15 prepublication version even before you've finally
16 edited all the copy, and then you have a chance to
17 correct it before it actually appears in the final
18 journal?

19 A That is unusual if it hasn't gone through
20 review before that.

21 There is now in many journals
22 internationally journals that are put on line after
23 they have gone through full review and correction
24 before they're printed on paper. Is that what you're
25 talking about here?

RUTTER - CROSS

3402

1 Q Well, let me just show you the paragraph at
2 the bottom of this first page. This study has gone
3 through peer review.

4 A Okay.

5 Q It's been accepted for publication. Then
6 they say: As a service to our customers, we are
7 providing this early version of the manuscript. The
8 manuscript will undergo copy editing, typesetting and
9 review of the resulting galley proof before it's
10 published in its final citable form.

11 A Okay.

12 Q But the paper has gone through peer review
13 and has been accepted, right?

14 A Okay.

15 MR. WILLIAMS: And just quickly if you go
16 above the Methods section, Scott, on page 5 of this
17 exhibit? Just pull up this part of the paragraph if
18 you would.

19 BY MR. WILLIAMS:

20 Q Now, Dr. Palmer is discussing here the
21 various papers that you discuss, the Windham study
22 from California --

23 A Yes.

24 Q -- and Palmer's previous paper of 2006 that
25 you cite.

RUTTER - CROSS

3403

1 A Yes.

2 Q And he says: The Windham study and my study
3 demonstrated that environmental mercury pollution was
4 associated with point prevalence estimates of autism
5 using EPA reported mercury release data from 254
6 counties in Texas.

7 A major limitation to this study was that
8 the cross sectional design precluded any causal
9 inferences. In addition, exposure was inferred from
10 total pounds of environmentally released mercury
11 aggregated at the county level at a specific point in
12 time.

13 Using distance to potential exposure sources
14 may be a more reasonable proxy for exposure than one
15 defined by amount totals contained within the
16 artificial county boundaries.

17 So the criticism that you made in your
18 paragraph 69 where it says that the mercury effects
19 should be considered likely to be restricted to the
20 county within which the industrial output existed,
21 Palmer's group is now trying to fix that problem by
22 measuring proximity to the source as a new variable in
23 the study.

24 A Okay.

25 Q Do you agree?

RUTTER - CROSS

3404

1 A It seems so.

2 MR. WILLIAMS: In fact, he says in the
3 bottom line of that same paragraph, Scott, or the next
4 paragraph if you can pull it up just a little bit and
5 highlight that?

6 BY MR. WILLIAMS:

7 Q Right above Methods it says: The objective
8 of the current study is to determine if proximity to
9 major sources of mercury pollution are related to
10 autism prevalence rates.

11 A Yes.

12 MR. WILLIAMS: Now let's go to the Results
13 section, which is on page 8 of this exhibit. Excuse
14 me. Page 7, Scott. It starts at the very bottom of
15 page 7. I just want to blow up that paragraph there
16 of the results for a second.

17 BY MR. WILLIAMS:

18 Q He's talking about different models that he
19 used, but he says right here: Model 1-A shows that
20 environmentally released mercury in 1998 is
21 significantly associated with autism rates in 2002.
22 Do you see that?

23 A Uh-huh.

24 Q Is that a reasonable timeframe? Assuming
25 that the exposure is by inhalation of mercury vapor

RUTTER - CROSS

3405

1 from these plants by infants in 1998, would it be
2 reasonable that you would be able to pick up diagnoses
3 of autism four years later?

4 A Yes, probably.

5 MR. WILLIAMS: Then he says that they worked
6 with this coefficient to come up with an incident/risk
7 ratio.

8 The last sentence of this page, Scott, and
9 then carry over.

10 BY MR. WILLIAMS:

11 Q It says: The coefficient yields an
12 incident/risk ratio of 1.026 indicating that for every
13 1,000 pounds -- now we're at the top of the next page.
14 Yes, there we go. That for every 1,000 pounds of
15 release in 1998 there is a corresponding two percent
16 increase in 2002 autism rates.

17 Then they try to take into account the
18 number of pounds, and then finally they add distance
19 in Model 1-C. This is the point I want to make and
20 then ask you about. It says: Adding distance to the
21 equation in Model 1-C shows that for every 10 miles
22 away from the source there is a decreased autism
23 incident risk of 1.4 percent.

24 Now, doesn't that fix the county limitation
25 that you were criticizing in the first version of this

RUTTER - CROSS

3406

1 study?

2 A Not really. If we turn to page 10 where the
3 limitations of the study are outlined, you see several
4 important features. To begin with, the conclusions
5 about exposure are not based on the distance from
6 individual homes, but from school district centroids
7 of various sizes so that it's not an accurate
8 distance.

9 The further point I made is that you don't
10 know about the route by which the mercury gets into
11 the body, and that obviously depends on all sorts of
12 things and matters. What it says is the study should
13 be viewed as hypothesis generating, not as proving
14 anything one way or the other.

15 Q Isn't virtually every study hypothesis
16 generated?

17 A Not at all.

18 Q Some studies just end the question with no
19 further study needed?

20 A No. That's not the point. That's not
21 what's meant by hypothesis generated. There are
22 studies which as it were raise a possibility.

23 Let me come back to the Fenfluramine and
24 Secretin examples I used earlier so we stick within
25 the area of autism. So Fenfluramine was based on a

RUTTER - CROSS

3407

1 hypothesis generating study which suggested that
2 Fenfluoramine, because it was known to lower serotonin
3 levels, was a reasonable candidate for doing further
4 studies.

5 That was then followed by hypothesis testing
6 studies that were different in the sense that they
7 first of all looked to see whether Fenfluoramine had
8 effects on autism symptoms, so this was done in a way
9 in which these were quantified.

10 And, secondly, it was done by related
11 whether insofar as there were benefits, and there were
12 very few. Insofar as there were benefits, was it
13 associated with a degree to which serotonin levels had
14 formed, and the answer is they were not.

15 So this was a hypothesis testing study which
16 used an earlier hypothesis generating study in order
17 to do it in a way which could either confirm the
18 hypothesis or it could refute the hypothesis in the
19 event it refuted the hypothesis, but it could have
20 worked either way.

21 So it's a quite different form of study.
22 Hypothesis generating is what comes first. Hypothesis
23 testing is what comes next.

24 Q So if someone is trying to decide whether
25 it's biologically plausible that mercury exposure can

RUTTER - CROSS

3408

1 lead to autism in some children would you have them
2 just ignore this Palmer study, or would you have them
3 put some weight on it?

4 A I wouldn't put much weight on it. I think
5 that when you're planning a new study you look very
6 broadly and you pay attention to all sorts of things.

7 No, I wouldn't put much weight on it, but
8 would I put some? Well, yes. It's an interesting
9 finding insofar as it goes, but doesn't take one very
10 far, I think.

11 Q Do you recall there was a point in your
12 report where you said that on the question of whether
13 thimerosal-containing vaccines are associated with
14 regressive autism that that question is susceptible to
15 being studied in a rigorous way?

16 A Yes.

17 Q What did you mean? How could you study that
18 in a rigorous way?

19 A Can you direct me to --

20 Q Well, I thought I could. I frankly can't
21 now find -- let me see if I can find the quote.

22 A Here we are. Paragraph 92.

23 Q Okay.

24 A So what I say -- let me read it out because
25 it's quite short. I say: It would have been possible

RUTTER - CROSS

3409

1 to test the regression hypothesis in a vigorous way.
2 Actually that should mean rigorous. A typo that has
3 escaped my attention.

4 Q I read it as rigorous too.

5 A For example, cases involving regression and
6 those apparently without regression could be compared
7 blind the knowledge on regression on the presence of
8 multiple congenital physical anomalies because they
9 will have had to have arisen prenatally.

10 The advantage of such an approach is that it
11 would not be reliant on anyone's recognition of the
12 behavioral changes in the first year of life. The
13 same thing could be done in relation to head size.

14 So those are two strategies. They're not
15 the only ones, but the point is that having had an
16 exploratory approach put forward that suggested
17 something what you need to do is think what design can
18 I use that could either prove or refute that
19 hypothesis, and that's what singularly has not been
20 done, but it could have been done.

21 Q And are you critical of the families that
22 have brought these claims for not having done such
23 studies?

24 A I'm never blaming the families because when
25 orthodox medicine doesn't have answers that will bring

RUTTER - CROSS

3410

1 cures to their children they look around for possible
2 explanations. They look around for people who have
3 things to offer. No, I don't blame the parents at
4 all. It's the scientists.

5 Q Just one last quick topic, Dr. Rutter. You
6 started working for the vaccine manufacturers on the
7 thimerosal litigation you said about four years ago?

8 A Yes.

9 Q And then you say in your report that you
10 started working on the MMR litigation a year before
11 that?

12 A Yes.

13 Q Is that about right?

14 A Yes.

15 Q So probably sometime in 2003?

16 A Probably. It must be something like that,
17 yes. It may have been earlier.

18 Q Now, in 2005 you published a paper in the
19 *Journal of Child Psychology and Psychiatry* on the
20 effect of MMR withdrawal in a population in Japan.

21 A Yes.

22 Q Do you remember that paper?

23 A Yes.

24 Q Now, I don't know what you did, but there is
25 no disclosure on the paper that you had already been

RUTTER - CROSS

3411

1 retained by vaccine manufacturers to work on the MMR
2 litigation, even though the subject of the paper is
3 MMR. Did you make a disclosure about that?

4 A Presumably I didn't as it isn't in the
5 paper, but of course I hadn't completed a report at
6 all, and the data were all collected and analyzed by
7 Honda, not by myself.

8 Q Didn't you just testify recently in a
9 hearing in the U.K. against Andy Wakefield where the
10 issue is whether he had made a proper disclosure of
11 his conflict of interest?

12 A I did indeed, but that is in somewhat
13 different circumstances in that he was presenting
14 results of his analysis on his cases and claiming a
15 particular causal effect.

16 MR. WILLIAMS: Let's introduce the paper
17 into evidence. It will be Trial Exhibit No. 10.

18 (The document referred to was
19 marked for identification as
20 Petitioners's Trial Exhibit
21 No. 10.)

22 THE WITNESS: It's a much more indirect
23 connection, but if you're suggesting that it would
24 have been reasonable that I had made that act
25 (phonetic) I wouldn't have any objection to that.

RUTTER - CROSS

3412

1 I mean, it didn't occur to me at the time
2 and there are reasons why I think it wasn't directly
3 relevant, but there was certainly no attempt to
4 conceal it.

5 BY MR. WILLIAMS:

6 Q You think you're not as tempted by conflicts
7 of interest as other scientists?

8 A Some are. Some aren't.

9 MR. WILLIAMS: Thank you.

10 SPECIAL MASTER CAMPBELL-SMITH: Any
11 redirect?

12 MS. RICCIARDELLA: Yes.

13 SPECIAL MASTER CAMPBELL-SMITH: Let me
14 clarify. Did Petitioner intend to introduce that last
15 document as an exhibit?

16 MR. WILLIAMS: Yes.

17 SPECIAL MASTER CAMPBELL-SMITH: Okay.

18 MR. WILLIAMS: As Exhibit No. 10.

19 SPECIAL MASTER CAMPBELL-SMITH: No. 10.

20 MR. WILLIAMS: Yes.

21 SPECIAL MASTER CAMPBELL-SMITH: Okay.

22 MS. RICCIARDELLA: Can we take a 10 minute
23 break, ma'am?

24 MR. MATANOSKI: The only reason for our
25 asking for that is there are two papers that Professor

RUTTER - REDIRECT

3413

1 Rutter was asked to look at, and we just want to have
2 him have a chance to look through them -- he hasn't
3 seen them before -- in case he has any comments that
4 might be enlightening for the Court.

5 SPECIAL MASTER CAMPBELL-SMITH: Let me take
6 a look and see what time we're actually at just for
7 the record.

8 We are just about at 3:00, so let's go until
9 3:10.

10 MR. MATANOSKI: Thank you.

11 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
12 we'll take a brief recess.

13 (Whereupon, a short recess was taken.)

14 SPECIAL MASTER CAMPBELL-SMITH: Please be
15 seated. We are back on the record for the redirect of
16 Sir Michael Rutter.

17 MS. RICCIARDELLA: Thank you.

18 REDIRECT EXAMINATION

19 BY MS. RICCIARDELLA:

20 Q Professor Rutter, at the beginning of your
21 cross-examination Mr. Williams put up a Power Point
22 slide that had listed six or seven points that
23 Petitioners' experts have made in this litigation, and
24 I believe he had it under the title Biologic
25 Coherence. I can't recall the exact language.

RUTTER - REDIRECT

3414

1 Did any one of those points, the six or
2 seven points that were listed, change your opinion in
3 this case?

4 A No.

5 Q And one point stated that there is a wide
6 variability in individual blood and brain metals of
7 mercury. Is this indicative of anything?

8 A No. As I mentioned at the time I think,
9 huge individual variability is a feature of almost
10 anything that one looks at with human beings.

11 So that, for example, the range of when
12 children's teeth come through is very variable. The
13 age at which people reach puberty is very variable,
14 but that doesn't mean that there is some interaction
15 with an environmental factor. Variation is part of
16 the biology.

17 Q Now, Mr. Williams was also asking you about
18 inorganic mercury persisting in the brain. Is
19 inorganic mercury specific to vaccinations?

20 A Not at all. It applies to a wide range of
21 things like dental amalgam, for example, so that once
22 one moves to aspects of mercury that are not specific
23 to thimerosal then one is moving into a range of
24 studies that are concerned with mercury as a possible
25 risk factor, but not necessarily thimerosal.

RUTTER - REDIRECT

3415

1 Q Now, you were asked a lot of questions about
2 studies that have been done pertaining to
3 neuroinflammation and its purported role or
4 association with autism.

5 What causal inferences can be drawn from any
6 of these studies that were discussed here today
7 pertaining to neuroinflammation?

8 A Well, none. They are hypothesis generating,
9 if you like, so they are putting forward speculation
10 suggestions.

11 As I indicated, a beginning of much science
12 comes from telling an imaginative story as to what
13 might be the case so they do that, but they don't
14 demonstrate causation at any sort of level at the
15 moment.

16 Q You were also asked some questions
17 pertaining to head circumference and autism. What are
18 the head circumference findings that are unique to
19 autism?

20 A It is the normal head circumference at birth
21 and the increase that takes place during the preschool
22 years. It is a very characteristic feature.

23 As I indicated, it does vary from child to
24 child, but it is something which is quite unusual in
25 relation to other neurodevelopmental disorders.

RUTTER - REDIRECT

3416

1 Q Now, you were also shown a study by Mr.
2 Williams. It's a future or current study. They're
3 currently recruiting participants that are looking at
4 minocycline to treat childhood regressive autism. Do
5 you recall that line of questioning?

6 A Yes.

7 Q Does this study establish causation at all?

8 A No. I mean, the study hasn't been done for
9 starters, but it falls into the group of things having
10 a parallel (phonetic) with Fenfluramine and secretin
11 that might result in something of interest, but hasn't
12 been done.

13 It is in any case an apron study so that
14 even at completion it will still be rather
15 inconclusive, so, no, it doesn't take us one stage
16 further at all.

17 Q You were also asked whether it's possible
18 that individuals could be susceptible to mercury, and
19 I think you said it was possible, but not established.
20 Is that correct?

21 A That is correct.

22 Q Based on what's known about exposure to
23 mercury, have we seen any evidence of a
24 hypersusceptibility to mercury?

25 A No. I mean, the experimental studies that

RUTTER - REDIRECT

3417

1 have been done have tended to show results that apply
2 to a group as a whole rather than, if you like,
3 outliers with a very unusual response.

4 The studies have not been sufficient in
5 number or the subjects sufficient in number to rule
6 out the possibility of a hypersusceptible group, but
7 they certainly don't point to that being an issue.

8 Q You were also asked a question with regard
9 to whether in your opinion you think that further
10 resources should be used to conduct a follow-up study
11 of the Verstraeten study, and you said no.

12 Is it just an economic consideration, or are
13 there other considerations at work as to why you would
14 not recommend any further such studies?

15 A It's a question of one wanting to put one's
16 resources into things that are likely to pay off, so
17 let me answer it a somewhat different way around.

18 We've talked primarily for obvious reasons
19 about the hypothesis that thimerosal is a causative
20 factor, but in the course of doing that we've touched
21 on various studies that have looked at mercury as
22 distinct from thimerosal.

23 The evidence that is worthwhile doing
24 further research on thimerosal I find unconvincing. I
25 wouldn't put much money in that direction. I'm much

RUTTER - REDIRECT

3418

1 more neutral or positive, however you like to look at
2 it, about the effects of mercury coming in other ways.

3 So we know that mercury in high dosage is a
4 neurotoxin. For example, I mentioned the Norwegian
5 study. I'm not one of the principal investigators,
6 but I am on the advisory group for that study, and it
7 is looking at a range of variables during the prenatal
8 and early postnatal phase that might be relevant, and
9 obviously mercury in fish is one of the things that is
10 being looked at, so I definitely don't rule out the
11 possibility that mercury might play a role.

12 The evidence is weak. On the other hand,
13 it's not so weak that it isn't worthwhile taking it
14 further forward. It's not the only hypothesis being
15 examined. Indeed, there are quite a range of them.
16 There are a range of biological measures being taken
17 to try and get a tight hold on this.

18 But we do need to be concerned with possible
19 environmental causes of disease and so I would put
20 that on the list of possibility.

21 Q You were also asked a couple questions or
22 more than a couple questions about the individual
23 epidemiological studies that you cited in your report
24 and discussed during your direct testimony.

25 Now, you were asked about the individual

RUTTER - REDIRECT

3419

1 studies, but is that a proper way to look at the
2 epidemiology that has arisen in this area?

3 A No. One needs to put them together. I was
4 explaining referring I think to my Academy of Medical
5 Sciences report that in science you need to not only
6 combine multiple studies, but you need to combine
7 multiple research strategies and that the strength of
8 findings is very much influenced by doing that.

9 It's very rare to find a study that on its
10 own changes things completely either for or against.
11 It has to be taken as a whole.

12 Q You were asked a couple questions about the
13 DeSoto paper, which is Petitioners' Master List 423.
14 Do you have any further comments about that paper?

15 A Yes. I was taken to task in referring to
16 differences where I was told we're dealing with hair
17 mercury and I should have been focusing on blood, but
18 as far as I can see, reading the paper carefully, what
19 I was talking about is what I said I was talking
20 about, i.e. findings on blood levels.

21 Q Okay. You were also asked a series of
22 questions about the Palmer study.

23 A Yes.

24 Q A recent study. Does that study speak at
25 all to the issue of whether or not thimerosal in

RUTTER - REDIRECT

3420

1 vaccines causes autism?

2 A No, because again I draw the parallel with
3 the Norwegian study. It is dealing with a more
4 general issue as to whether mercury in its various
5 forms through various routes may be causing risks.

6 At the present time we don't really know
7 enough to know whether they do or they don't. I do
8 see that as worthwhile, but because it is looking at
9 pollutants from factories the connection with
10 thimerosal is indirect to put it mildly.

11 A further issue in relation to the question
12 of the tightness of the association is that I note now
13 looking at the paper more carefully that they make the
14 point about you really need to take account of wind
15 patterns and rainfall and so on, and they weren't able
16 to do that at that time.

17 So it's an interesting hypothesis generating
18 study, but in itself it doesn't take us very far on
19 mercury generally, and it doesn't really take us
20 anywhere in relation to thimerosal.

21 Q Finally, Doctor, Mr. Williams asked you
22 about your participation in the Honda paper --

23 A Yes.

24 Q -- which I refer to as the Honda study.

25 A Yes.

RUTTER - RE-CROSS

3421

1 Q The study in Japan looking at MMR. He drew
2 the analogy to your testimony in the United Kingdom
3 that you've given in the General Medical Council with
4 regard to Dr. Andrew Wakefield.

5 Do you have any comments on the analogy that
6 Mr. Williams was drawing?

7 A Yes. The situation is really a very
8 different one. With the benefit of hindsight I can
9 quite see that it might have been prudent to have made
10 that overt, although it is well known that I had
11 played that role.

12 The difference is as follows: The British
13 law is that the responsibility of an expert witness is
14 to the Court. It is not to whoever has called you.
15 That is a difference, I realize, from the American
16 system.

17 So that it is not a conflict in that sort of
18 sense, and indeed to get back to the lead situation
19 that wasn't a Court case, but actually I came out
20 saying there was sufficient evidence that lead was
21 damaging, that it should be withdrawn.

22 So with Wakefield the situation was that he
23 was funded to do the study. He was funded in relation
24 to litigants. Many of the cases involved in his study
25 were involved in the litigation, so there was a very

RUTTER - RE-CROSS

3422

1 direct involvement which he concealed.

2 My involvement with the Honda study, first
3 of all I wasn't funded to do it. It was not my
4 analyses, and I was an expert witness who was never
5 called. So certainly I had no intention of concealing
6 it.

7 Perhaps I should have made it overt, but it
8 is fundamentally different from the Wakefield
9 situation where there were direct financial issues
10 involved and direct involvement of litigation, direct
11 involvement of the cases in the litigation with the
12 study.

13 MS. RICCIARDELLA: Thank you. I have no
14 further questions.

15 SPECIAL MASTER CAMPBELL-SMITH: Re-cross?

16 MR. WILLIAMS: Just one.

17 RE-CROSS-EXAMINATION

18 BY MR. WILLIAMS:

19 Q In your work on the MMR vaccine starting in
20 2003, it was the British Government that was paying
21 you?

22 A No.

23 Q Who was paying you?

24 A The drug company was paying me. So that the
25 way that it works is that obviously somebody has to be

RUTTER - RE-CROSS

3423

1 paying. There are situations where the Court pays
2 directly, and I have campaigned for some years,
3 unsuccessfully regrettably, that all expert witnesses
4 should be called by the Court and not by one or the
5 other side.

6 At the moment they have to be called by and
7 therefore paid by, but you have to abide by the rules
8 that you actually are not responsible to the lawyers
9 who call you. You are responsible to the Court.

10 Q But your bills were submitted to Glaxo?

11 A Yes.

12 MR. WILLIAMS: Thank you.

13 SPECIAL MASTER CAMPBELL-SMITH: Anything
14 further?

15 MS. RICCIARDELLA: No.

16 SPECIAL MASTER CAMPBELL-SMITH: Do my
17 colleagues have any questions?

18 SPECIAL MASTER VOWELL: No.

19 SPECIAL MASTER HASTINGS: Yes, I do have a
20 couple.

21 Doctor, I wondered if you had any more
22 comments to make on the Young, Geier & Geier study
23 that you were given before the break. Did you have a
24 chance during the lunch break to read the full
25 article?

RUTTER - RE-CROSS

3424

1 THE WITNESS: Yes, I did. Not a lot to add.
2 As I say, I think it's a poor study.

3 It used a database that could have been used
4 for a conventional cohort study, but it was analyzed
5 on a time/trends basis, and they put cases in
6 inappropriate groups.

7 SPECIAL MASTER HASTINGS: All right.

8 THE WITNESS: So there are a lot of other
9 things that could be said, and doubtless Dr. Fombonne
10 will go into some of those details, but on those
11 grounds alone I do not see that as a study worth very
12 much.

13 SPECIAL MASTER HASTINGS: All right. And
14 just one other perhaps it's a short series of
15 questions, but you were asked by Mr. Williams about
16 the Petitioners' theory of neuroinflammation being
17 caused by inorganic mercury as a potential cause of
18 autism, and you indicated your view that that was
19 basically a speculative theory.

20 Now, as I look at that theory there are
21 really two parts of it. First, that inorganic mercury
22 can cause neuroinflammation, and, second, that
23 neuroinflammation can cause autism.

24 Do you see either of those two parts as more
25 potentially meritorious than the other, or are they

RUTTER - RE-CROSS

3425

1 both equally speculative in your mind?

2 THE WITNESS: Well, good question. Let me
3 think for just a moment how I can most helpfully
4 respond on that.

5 Information is a very nonspecific sort of
6 process, so it's a bit like a fever. So the number of
7 medical conditions that cause fever are enormous,
8 anything from an infection to cancer, and so there is
9 indicating a nonspecific response to something going
10 wrong.

11 So the question in terms of inflammation
12 here is is it more than that? So the notion that
13 inorganic mercury might cause neuroinflammation I
14 don't find a particularly startling theory because
15 it's at the very general level.

16 In terms of application to thimerosal, one
17 has to move beyond looking at a general bodily defense
18 mechanism, which is what information is about, so that
19 again if one takes fever and infections as an example
20 you need the inflammation as it were to gear up the
21 body defenses to deal with the infection.

22 So it's a good aspect, if you like, because
23 it's part of the body defense processes, but once one
24 moves to the situation as to whether thimerosal is
25 causing this you've got a series of different

RUTTER - RE-CROSS

3426

1 propositions that have to be added in.

2 To begin with, is thimerosal having an
3 equivalent to the inorganic mercury that is being
4 shown in some of these more basic science studies?
5 The answer is yes, it might be, but the minute you do
6 that you of course have to recognize that mercury
7 comes from many different sources, and therefore there
8 would be the additional requirement of sharing that in
9 this case it did come from the thimerosal, not from
10 the factory up the road or the amalgam in the teeth or
11 so on.

12 Then you've got the further problem that if
13 you are dealing with something which is occurring
14 throughout the brain you've then got to explain why it
15 leads to the particular kind of pattern that you find
16 with autism.

17 And by that I mean not just the symptoms --
18 that's one important part -- but also the increase of
19 head size during the preschool years, the particular
20 kind of social cognitive abnormalities that are
21 encapsulated by theory of mind and so on. There are a
22 whole range of things.

23 So the notion that neuro information or
24 oxidative stress plays a role, you are picking on a
25 mechanism that we know is very widespread and so the

RUTTER - RE-CROSS

3427

1 challenge really is it's not that the idea itself is
2 ridiculous, but does it apply in these circumstances
3 to this outcome. That's where the speculation comes
4 in.

5 SPECIAL MASTER HASTINGS: All right. Thank
6 you. Nothing further from me.

7 SPECIAL MASTER CAMPBELL-SMITH: Have these
8 questions provoked any questions from counsel?

9 MR. WILLIAMS: Not from Petitioners.

10 MS. RICCIARDELLA: No, ma'am.

11 SPECIAL MASTER CAMPBELL-SMITH: I think that
12 concludes our testimony for the day. Thank you.
13 You're excused from the witness stand.

14 THE WITNESS: Thank you.

15 (Witness excused.)

16 SPECIAL MASTER CAMPBELL-SMITH: And as
17 currently advised, we are to resume hearing from
18 Respondent's witnesses tomorrow at 9 a.m.

19 Are there any further matters from counsel
20 that you believe we need to address this afternoon
21 before we go off the record?

22 MS. RICCIARDELLA: Not from the Petitioners.

23 MR. MATANOSKI: No, ma'am.

24 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
25 We are adjourned until tomorrow.

1 (Whereupon, at 3:35 p.m., the hearing in the
2 above-entitled matter was adjourned, to reconvene at
3 9:00 a.m. on Wednesday, May 28, 2008.)
4 //
5 //
6 //
7 //
8 //
9 //
10 //
11 //
12 //
13 //
14 //
15 //
16 //
17 //
18 //
19 //
20 //
21 //
22 //
23 //
24 //
25 //

3429/3530

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584-V, 03-215V
CASE TITLE: In Re: Claims for Autism
HEARING DATE: May 27, 2008
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 27, 2008

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018