

UNITED STATES
COURT OF FEDERAL CLAIMS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER,)
OR A SIMILAR)
NEURODEVELOPMENTAL)
DISORDER)

-----)
FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING,)
A MINOR,)

Petitioners,)

v.)

Docket No.: 03-584V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

-----)
GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)

Petitioners,)

v.)

Docket No. 03-215V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

Pages: 3531 through 3821/3891

Place: Washington, D.C.

Date: May 28, 2008

HERITAGE REPORTING CORPORATION

Official Reporters

1220 L Street, N.W., Suite 600

Washington, D.C. 20005-4018

(202) 628-4888

hrc@concentric.net

IN THE UNITED STATES COURT OF FEDERAL CLAIMS
OFFICE OF SPECIAL MASTERS

IN RE: CLAIMS FOR VACCINE)
INJURIES RESULTING IN)
AUTISM SPECTRUM DISORDER,)
OR A SIMILAR)
NEURODEVELOPMENTAL)
DISORDER)
-----)

FRED AND MYLINDA KING,)
PARENTS OF JORDAN KING,)
A MINOR,)

Petitioners,)

v.)

Docket No.: 03-584V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)
-----)

GEORGE AND VICTORIA MEAD,)
PARENTS OF WILLIAM P. MEAD,)
A MINOR,)

Petitioners,)

v.)

Docket No. 03-215V

SECRETARY OF HEALTH AND)
HUMAN SERVICES,)

Respondent.)

Courtroom 402
National Courts Building
717 Madison Place NW
Washington, D.C.

Wednesday,
May 28, 2008

The parties met, pursuant to notice of the
Court, at 9:00 a.m.

3532

BEFORE: HONORABLE GEORGE HASTINGS
HONORABLE PATRICIA CAMPBELL-SMITH
HONORABLE DENISE VOWELL
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire
MICHAEL L. WILLIAMS, Esquire
Williams Love O'Leary & Powers, PC
9755 S.W. Barnes Road, Suite 450
Portland, Oregon 97225-6681
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire
LYNN E. RICCIARDELLA, Esquire
U.S. Department of Justice
Civil Division, Torts Branch
Ben Franklin Station, P.O. Box 146
Washington, D.C. 20044-0146
(202) 616-4356

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>
<u>For the Respondent:</u>				
Catherine Lord	3535	3586	3600	3603
	--	--	3604	--
Eric Fombonne	3607	3705	3811	--
	--	3780	--	--

3534

E X H I B I T S

Respondent's
EXHIBITS:

IDENTIFIED

RECEIVED

DESCRIPTION

12

3606

--

Eric

Fombonne

Slide

Presentation

1 PROCEEDINGS

2 (9:00 a.m.)

3 SPECIAL MASTER VOWELL: Please be seated.

4 All right. We are back on the record in the Theory II
5 General Causation cases. And I see Dr. Lord is on the
6 witness stand. And if you would raise your right
7 hand.

8 Whereupon,

9 CATHERINE LORD

10 having been duly sworn, was called as a
11 witness and was examined and testified as follows:

12 SPECIAL MASTER VOWELL: Thank you. You may
13 proceed, government.

14 DIRECT EXAMINATION

15 BY MS. RICCIARDELLA:

16 Q Good morning, Dr. Lord. Would you please
17 state your name for the record?

18 A Catherine Lord.

19 Q And would you please state what your current
20 position is?

21 A I am the director of the University of
22 Michigan Autism and Communication Disorders Clinic,
23 and a professor at the University of Michigan.

24 Q And would you please briefly describe your
25 educational background since high school?

DR. LORD, MD - DIRECT

3536

1 A I have a bachelor's degree in psychology
2 from UCLA. I then went to graduate school at Harvard
3 and graduated from the program in psychology and
4 social relations.

5 I was an intern at the University of North
6 Carolina. And I guess that's it.

7 Q Was that a postdoctoral position?

8 A Yes.

9 Q At UNC?

10 A Yes.

11 Q And do you hold any board certification?

12 A I have, I'm an ABPP, which is American Board
13 of Professional Psychologists in clinical psychology,
14 and part of the National Health Register for clinical
15 psychologists.

16 Q And do you hold any licenses?

17 A I'm licensed in Michigan and Illinois.

18 Q In what discipline?

19 A In clinical psychology.

20 Q And would you please briefly describe your
21 academic employment history?

22 A My first position was at University of
23 Minnesota, where I was an assistant professor in child
24 development. I then went to Canada, to University of
25 Alberta, with my husband. And then moved back to

DR. LORD, MD - DIRECT

3537

1 North Carolina to set up a clinic at University of
2 North Carolina in Chapel Hill. Then went to
3 University of Chicago, and am now am at University of
4 Michigan.

5 Q And are you a member of any professional
6 societies or organizations in your discipline?

7 A I'm a member of INSAR, the International
8 Organization for Autism Research.

9 Q Is that formerly called IMFAR?

10 A Yes. SRCD, the Society for Research in
11 Child Development. APA, American Psychological
12 Association. That's probably the main.

13 Q And have you been honored for your work in
14 autism specifically?

15 A Yes. I received an award from the Royal
16 Academy of Psychiatry in the UK, and an award from
17 California State, I was the chair of a National
18 Academy of Sciences Committee looking at the
19 effectiveness of early intervention in autism.

20 Q And your report states that you are one of
21 four scientists who make up the strategic planning
22 committee for autism research for the National
23 Institutes of Health. What does that entail?

24 A As part of the Combatting Autism Act, there
25 was a committee created, or there was the statement

DR. LORD, MD - DIRECT

3538

1 that there should be a committee created to plan how
2 NIH and the other agencies in the federal government
3 would allocate funding, not specifically for grants,
4 but to set priorities in terms of research and federal
5 funding.

6 So the federal government invited four
7 scientists, as well as community members, people
8 representing different kinds of practice and families,
9 to create a committee to try and set these goals.

10 Q Were you appointed to that committee?

11 A Yes.

12 Q Now, your report also states that you are on
13 the planning committee for autism and related
14 diagnoses for the American Psychiatric Association
15 Diagnostic and Statistical Manual of Mental Disorders
16 V, is that correct?

17 A That's right.

18 Q Is that also known as the DSM?

19 A Yes.

20 Q And is that an appointed position?

21 A Yes.

22 Q How many people are working on that planning
23 committee?

24 A On the committee that I am a member of,
25 there's probably 12. I think there are 12 different

DR. LORD, MD - DIRECT

3539

1 people.

2 Q And what does working on that planning
3 committee entail?

4 A Conference calls and meetings. But the goal
5 s to try to create the framework, and then test the
6 framework that will be used for diagnoses of autism
7 spectrum disorders and other developmental disorders
8 in the next round of DSM-V, which is the organization
9 that's used in the U.S. for billing for children,
10 which obviously has a huge effect on health insurance
11 and the ways in which kids are covered.

12 Q Were you also involved in the formulation of
13 the DSM-IV?

14 A Yes.

15 Q In what capacity?

16 A I was a member of that committee. And then
17 our group received funding from NIH and also the
18 American Psychiatric Association to try to test out
19 when we proposed criteria to see whether they would
20 really work, and how well clinicians could use them.

21 Q Do you hold any teaching positions in your
22 specialty? I believe you touched on that earlier.

23 A Yes. I teach at the University of Michigan.

24 Q Are you a full professor?

25 A Yes.

DR. LORD, MD - DIRECT

3540

1 Q And what do you teach?

2 A I teach assessment. I teach, I run training
3 workshops in diagnosis. I teach developmental
4 psychopathology research design.

5 Q And who are you teaching?

6 A I'm teaching mostly graduate students,
7 although I supervise undergraduates in practical
8 placements with regard to autism and research.

9 Q And how long have you been teaching?

10 A My first teaching job was in 1976, so 32
11 years.

12 Q Do you also, do you give lectures to
13 professional groups or organizations specifically
14 about autism and autism spectrum disorders?

15 A Yes, I do.

16 Q To whom do you lecture?

17 A Oh, grand rounds at medical schools,
18 conferences, parents' groups, professional groups that
19 want training in diagnosis or information about
20 longitudinal studies, sort of looking at outcome and
21 how kids change over time.

22 Q And how often do you lecture?

23 A I try to not do it more than once a month,
24 but it probably ends up being more like 20 times a
25 year.

DR. LORD, MD - DIRECT

3541

1 Q Do you lecture internationally, as well as
2 domestically?

3 A Yes.

4 Q And you mentioned that you lecture to family
5 groups. Do you devote time to family-based
6 associations dealing with autism?

7 A Yes. I mean, I feel like for a long time I
8 tried to work with family groups, because ultimately
9 parents are the people who are most responsible for
10 these kids. So in Michigan I work with a number of
11 parent groups. I've also had a longstanding
12 affiliation with a group, several groups in Chicago,
13 but one group in particular that designs wraparound
14 services as services after school for kids with autism
15 and adults.

16 Q I'd like to talk about your clinical
17 experience, your experience as a clinical psychologist
18 for the past 30 years, specifically as it relates to
19 autism spectrum disorders. Do you currently have a
20 clinical practice?

21 A Yes.

22 Q Could you describe your practice?

23 A I usually see one myself, usually working
24 with one other person and a child psychiatrist. I see
25 one new child coming up for a diagnosis a week, which

DR. LORD, MD - DIRECT

3542

1 is about a 10-hour assessment, plus a school visit.

2 And then I also supervise a clinic with
3 another five PhDs and speech pathologists and a social
4 worker, and each of them often sees a couple of other
5 new kids, as well as we follow up the kids that we've
6 seen before.

7 Q And are you affiliated with the hospital?

8 A Yes.

9 Q Which one?

10 A University of Michigan.

11 Q You mentioned that you diagnose and
12 currently treat children with autism?

13 A Yes.

14 Q And you say approximately one per week?

15 A That's right. Like how many we see, I might
16 see five new kids a week, because I see kids that
17 other people are seeing as their primary assessment,
18 too. But I do the primary work for one child.

19 Q If you were to approximate how many children
20 you've diagnosed with autism throughout the course of
21 your career, what would be the number?

22 A I think the number I came up with was about
23 4,000, when you count kids not only that I've seen,
24 done all the work for, but where I've supervised other
25 people in the work and actually met the child.

DR. LORD, MD - DIRECT

3543

1 Q Does that also include part of your
2 research?

3 A Yes.

4 Q You're diagnosing children with autism. Are
5 you currently following adults, as well, who have
6 autism?

7 A Yes.

8 Q When you see a child with autism, do you
9 follow him or her into adolescence?

10 A Yes. Our goal when we do assessments is to
11 be available to follow that child, you know, or adult,
12 as long as we can be helpful. So we have adult
13 services in our clinic, and I still know adults that I
14 met when they were two.

15 Q What are the age ranges of your patients
16 currently?

17 A Right now we have a toddler clinic which
18 goes down to 12 months, although most of the kids
19 aren't really that little; and all the way up through,
20 we have adult social groups and adult treatment
21 programs that go up. We have a 50-year-old and
22 actually a 56-year-old.

23 Q And do you meet with parents also as part of
24 your clinical practice?

25 A Yes. I mean, parents are involved every

DR. LORD, MD - DIRECT

3544

1 step of the way.

2 Q In what capacity?

3 A So we, part of our diagnosis is talking to
4 parents about what their child is like, and also in
5 other circumstances. How their child has changed,
6 what the parents have done, what the parents are
7 worried about, trying to figure out what we can help,
8 and also so that we're not just making recommendations
9 that just tell parents to do things that they've
10 already done.

11 So we do almost everything that we do,
12 unless an adult with autism prefers not to have their
13 parents there, we do it either with parents right in
14 the room with us or parents watching through an
15 observation room.

16 Q Do you also have a research practice?

17 A Yes.

18 Q Could you please describe your practice?

19 Your research practice.

20 A We have a number of major research projects
21 going on at the time. We're involved in two early
22 intervention projects, where the idea is to identify
23 children as young as possible who are at high risk for
24 autism. And one is a very, is a sort of low-intensity
25 intervention, where parents do most of the work, and

DR. LORD, MD - DIRECT

3545

1 we're trying to support parents and teach them things
2 that will be helpful.

3 Another is a much more high-intensity
4 intervention, where we provide people that go into the
5 home and do 20 hours a week of work with these very
6 small children. Both of these are randomized
7 controlled trials, so there's a community alternative.
8 And then we've developed something just so families
9 don't get nothing who are not randomized into the main
10 treatment, which involves parent education and a
11 toddler group.

12 We also have a longitudinal study, where we
13 follow children who are referred at age two for
14 possible autism. There are two groups of kids: a
15 group in North Carolina, which I saw when I was there,
16 and a group in Chicago, which I saw when I was there.
17 We've followed those kids, they are now 16 to 19 years
18 old. And so we are actually just preparing to see
19 them again. We saw them at two, three, five, and
20 nine, and then have had parents on the phone and
21 filling out forms for us every three months in the
22 meantime, while we tried to get money to see the kids.

23 We're involved in the development of an
24 instrument that will measure a spontaneous
25 communication. There are a lot of tests that measure

DR. LORD, MD - DIRECT

3546

1 vocabulary and children's ability to name things, but
2 not, not a lot of good ways to look at how well kids
3 could actually communicate. So we're trying to build
4 on the diagnostic measures that we've created to do
5 that in our moving through the development of an
6 instrument to do that.

7 We have, we are the leaders of a big
8 genetics consortium. Even though I'm not a
9 geneticist, but my job is really to help the
10 geneticist define what is autism; figure out ways that
11 we can quantify different aspects of autism. That is,
12 figure out how severe a social deficit is, how severe
13 a language deficit is, and how that information
14 available to researchers -- I mean, this is a public
15 repository, so researchers will be able to apply to
16 get access to this, to do studies of different genetic
17 hypotheses, but also recruiting families into this
18 program.

19 So that as we find things, not just genetic,
20 we can go back and ask families, you know, do you want
21 to be part of this neuroimaging study, because there
22 is a finding that might be relevant to your child.

23 I think those are the main -- and we've just
24 completed development of a toddler module, where we
25 are trying to figure out if we can diagnose autism in

DR. LORD, MD - DIRECT

3547

1 children as young as 12 to 18 months. How would you
2 do it, you know, how can you convey this and teach
3 other people to do this, given all the limitations and
4 concerns about overdiagnosing little kids.

5 Q How long have you been researching autism?

6 A I started working in an autism research
7 project as an undergraduate, so in 1969. And then, in
8 graduate school, did other things, and then circled
9 back to autism when I was in North Carolina.

10 So it's been, you know, if you count
11 undergraduate, it's almost 40 years.

12 Q As part of your research practice, do you
13 research the phenomenon of regression in autism?

14 A Yes.

15 Q And how long have you been researching
16 regression in autism?

17 A We have been keeping track and trying to, in
18 a very gross way, define regression since we began to
19 develop the standardized diagnostic instruments. So
20 that occurred in the early eighties.

21 And then I think I became more interested
22 with what, what does this mean, and also more
23 concerned that sometimes people were implying that
24 regression didn't exist. And so I began trying to
25 organize various groups that I was involved in to try

DR. LORD, MD - DIRECT

3548

1 to get enough subjects so that we could actually look
2 at whether we can answer, is regression a figment of
3 parents' imagination, which I don't think it is. And
4 then how can we better understand it.

5 So I was involved in a series of relatively
6 large, some small-scale and then larger-scale studies,
7 looking at the prevalence of regression. And then
8 most recently we've been studying these very young
9 children who are either siblings of children with
10 autism, or who somebody has reason to think that they
11 might have high risk for having autism, down to, you
12 know, infants. And one of the reasons we did that is
13 because we were interested in whether, if we saw kids
14 regularly at very young ages, we might actually see
15 the regression occurring, and would have a better
16 sense of what was actually happening.

17 Q And how often are you seeing these children?

18 A Once a month.

19 Q And how long has that research been ongoing?

20 A That study has been going on now I think for
21 about three years.

22 Q You had mentioned that you are one of the
23 authors of the autism diagnostic interview, is that
24 correct?

25 A Yes.

DR. LORD, MD - DIRECT

3549

1 Q Is the acronym ADIR?

2 A Uh-huh.

3 Q What does the R stand for? Revised?

4 A Revised.

5 Q Who are the other authors on that?

6 A Michael Rutter and Ann McCooda.

7 Q And could you describe what that is and how
8 it's used?

9 A The ADIR is a long, semi-structured
10 interview, which means that rather than asking people
11 yes-no questions, you ask the caregivers, usually
12 parents, to describe specific contexts in which they
13 have observed their child.

14 So the idea is that you really use the
15 parents' knowledge as a window into looking for
16 specific behaviors in children. And then the examiner
17 uses that information to try to apply what the parents
18 have said to specific criteria that would say yes,
19 this child, for example, has difficulties in eye
20 contact, or this child has unusual facial expression.

21 So rather than asking a parent does your
22 child have unusual facial expression, the idea is to
23 get the parent to talk about facial expressions, and
24 then to actually code that information.

25 Q And who uses the ADIR?

DR. LORD, MD - DIRECT

3550

1 A The ADIR is used around the world, primarily
2 in research. It's been translated into more than 20
3 different languages, and is used in I think tertiary
4 care clinics, university clinics primarily, as well as
5 in research projects.

6 Q And when was it first published?

7 A Oh, dear. It was first published in, the
8 first one in 1989, I believe. And then we revised it
9 and published the revised version in 1994.

10 Q And you're also one of the authors of the
11 Autism Diagnostic Observation Schedule? Is that also
12 referred to as ADOS?

13 A Yes.

14 Q Is that correct? Who are the other authors
15 of ADOS?

16 A Michael Rutter, Pamela Deal Lavore, who is a
17 special educator from North Carolina, and Susan Ricci,
18 who is another clinical psychologist.

19 Q And what is ADOS?

20 A The ADOS is a companion instrument to the
21 ADIR, but which has actually been used, because it's
22 shorter and fits a particular clinic need, it's now
23 used independently, as well. It's a standardized
24 observation, so the idea is that the clinician works
25 with a child or an adult for about 45 minutes,

DR. LORD, MD - DIRECT

3551

1 carrying out a standard series of activities.
2 Different activities are available for different ages
3 of kids, and also different language levels. So you
4 do different things if the child can talk very well
5 than if the child can't talk at all, or you do things,
6 different things with an adult than a teenager or a
7 child.

8 And the idea is that you create contexts for
9 different kinds of social behavior. That is, by
10 putting the child in a situation where they would
11 likely want to request that you do something again,
12 like blow bubbles. And then you look at how the child
13 responds.

14 And because it's standardized, you can then
15 compare how do typical kids do this, how do children
16 with intellectual disabilities who don't have autism
17 do this, how do children with autism or autism
18 spectrum disorders response in each particular
19 situation.

20 Q And who uses the ADOS?

21 A It's used around the world by actually
22 people from all kinds of disciplines.

23 Q Primarily for research? Or is it also used
24 in the clinic?

25 A It's used a lot clinically, as well as for

DR. LORD, MD - DIRECT

3552

1 research.

2 Q And have you authored any other diagnostic
3 instruments?

4 A I was also involved in creating the
5 screening instrument which is based on the ADIR, which
6 is a series of questions really taken from the ADIR,
7 but modified slightly, with the idea of having, you
8 know, a two-page form that parents could fill out that
9 would allow you to screen for autism.

10 And then I've also worked with a speech
11 pathologist who is a collaborator in our very early
12 intervention studies, looking at ways to define autism
13 from coding videotapes of a general communication
14 screening which he's developed.

15 Q And you've published over 125 articles
16 related to child development and psychology? Does
17 that sound about right?

18 A Yes.

19 Q Are they all peer-reviewed?

20 A I think those are, yes.

21 Q And do the majority of them pertain to
22 autism spectrum disorders?

23 A Yes.

24 Q Have you published specifically on
25 regressive autism?

DR. LORD, MD - DIRECT

3553

1 A Yes.

2 Q In what way?

3 A We've done a number of different papers
4 about regression, looking at the different samples
5 that we were studying, both the longitudinal sample,
6 the kids from North Carolina and Chicago, and then
7 also trying to pull together data from various
8 collaborations to try to look at regression.

9 Q How long have you been looking at
10 regression?

11 A I think that we first started looking at it
12 in the early longitudinal study. So that would have
13 been around 1991, 1992.

14 Q According to your CV, you've published nine
15 books. Is that accurate?

16 A Yes.

17 Q And you've published 61 book chapters in
18 other publications that pertain to child psychology,
19 including autism spectrum disorder, is that correct?

20 A That's right.

21 Q And you currently serve on the editorial
22 board of six child psychology and autism-related
23 journals, is that correct?

24 A Yes.

25 Q And what does it mean to be on the editorial

DR. LORD, MD - DIRECT

3554

1 advisory board?

2 A It means that you agree to review a lot of
3 papers, that you agree to review a paper at lease once
4 a month for a journal. That you're identified as
5 somebody who is a specialist in certain areas. So
6 that if there are general discussions about where the
7 journal is going next, or conflicts, you will help
8 sort them out.

9 Q And the journals on which you serve, are
10 they well known in the field?

11 A Yes.

12 Q Could you name a few?

13 A Journal of Autism and Development Disorders,
14 Journal of Child Psychology and Psychiatry, Child
15 Development, American Journal of Mental Retardation.

16 Q And are you a reviewer for any journals?

17 A Yes.

18 Q A lot?

19 A Lots.

20 Q Have you ever testified before in a court of
21 law?

22 A Yes.

23 Q How many times?

24 A I think three times.

25 Q And could you describe the cases?

DR. LORD, MD - DIRECT

3555

1 A I testified twice in cases involving parents
2 accused, through facilitated communication, of abusing
3 their children. So I testified in order to try to
4 sort out the validity of these accusations, working
5 with families.

6 And then I testified in a case, in a case
7 where a family was suing the state to try to get
8 better services.

9 Q And why did you agree to testify for the
10 U.S. Government here today?

11 A I felt like this is such an important
12 question. And my expertise is limited in the sense
13 that I'm an expert on behavior and development in
14 autism and regression, but that is something that I've
15 been working on for years. So I felt that it was
16 important, since I was asked to come forward and be
17 able to describe this, because so much time and energy
18 and concern has gone into questions of the
19 relationship between vaccines and autism.

20 Q Do parents in your clinic come to you with
21 questions about vaccines and autism?

22 A Almost every day.

23 Q And what do you tell them?

24 A I tell them that at this point there is no
25 evidence that vaccines cause autism. And so they need

DR. LORD, MD - DIRECT

3556

1 to really consider the fact that, although it's very,
2 that everyone wants to find a cause, and that's a very
3 emotional need, that at this point no one has been
4 able to find any clear evidence that vaccines
5 contribute to autism.

6 Q Now, before we get into a discussion of
7 regression, you had mentioned that you conduct
8 longitudinal studies. What is a longitudinal study?

9 A A longitudinal study is a study that follows
10 individuals over time. So, as opposed to comparing a
11 group of two-year-olds and a different group of five-
12 year-olds and a different group of nine-year-olds, a
13 longitudinal study would identify children, or it
14 could be adults, at a particular age, and then follow
15 those same adults over time. So that you can actually
16 look at their development rather than make
17 interpretations about development from polling
18 different people and comparing them because they
19 happen to be different ages.

20 Q And how long does such a study usually last?

21 A Well, it's difficult to do them, because the
22 way that funding works, at least in the federal
23 government, is you tend to get five-year grants. But
24 I think that, you know, there are longitudinal studies
25 in autism, and ours is probably the longest, where we

DR. LORD, MD - DIRECT

3557

1 follow the kids now for 17 years.

2 Our study of the toddlers has gone on for
3 three years, and we hope we'll be able to follow those
4 same kids longer.

5 Q Now, on page 2 of your report you state
6 that, "Changes in behaviors associated with autism
7 over time are predictable according to children's
8 language level, social deficits, and the frequency and
9 severity of their repetitive behaviors, as well as
10 their parents' involvement in behavioral treatment."

11 Could you just further explain what you mean
12 by that statement?

13 A That's a statement based on our longitudinal
14 work. And what we did here was look at what were the
15 characteristics of children at age two and at age
16 three and at age five, and look at things such as how
17 much language did they have at two, how much
18 repetitive behavior did they have. Judge both by our
19 observations using the ADOS, and also by their parent
20 reports on the ADI, and then also under other
21 measures.

22 And then what we tried to do was predict
23 what would the children be like at age nine. And most
24 of the analyses have consisted of saying do the
25 children still have autism, do they have classic

DR. LORD, MD - DIRECT

3558

1 autism, do they fall within the general area of autism
2 spectrum disorders, PDDNOS, or you could say
3 Asperger's Syndrome. And then also how well are they
4 functioning, what's their language like, what's their
5 nonverbal, what are their nonverbal skills like at age
6 nine.

7 And so we were able to say, to find
8 particular factors that, when you looked at those
9 factors, allowed you to make more accurate statements
10 than if you just randomly guessed which children would
11 still have autism, which children would fall within
12 the realm of PDDNOS or have milder characteristics, on
13 the basis of those, those features.

14 Q Dr. Lord, I'd like to now turn to a
15 discussion of regression in autism. Does regression
16 in autism exist?

17 A Absolutely.

18 Q What is regression in autism?

19 A Regression in autism is the phenomenon of
20 children that have some skills that are observable and
21 documentable over a period of time, who then don't
22 produce those skills, either stop producing them or
23 produce them on much less frequency.

24 This is, in autism, because of the way
25 autism has been defined, these regressions have

DR. LORD, MD - DIRECT

3559

1 typically occurred in the second year of life, maybe
2 the end of the first year of life.

3 So in autism, typically we have not
4 addressed later losses, for example, somebody changing
5 during adolescence, but focus on those really early
6 years. But there is quite a lot of research looking
7 at this, these changes in these very early years.

8 Q And is regression confined just to autistic
9 disorder proper? Or is it found within any of the
10 other spectrum disorders?

11 A There are other disorders, and certainly
12 other spectrum disorders. And so in our research we
13 found that regression occurred both in children with
14 classic autism, and also children with PDDNOS or
15 milder phenomenon.

16 Q Is regression a new phenomenon?

17 A No. Regression was first described many
18 years ago, even by Leo Kanner.

19 Q When was it first described in the
20 literature? Back in the forties?

21 A Yes.

22 Q And how was it described back then?

23 A The first ways in which regression was
24 described, people tended to focus on the fact that
25 children were described by their parents as having

DR. LORD, MD - DIRECT

3560

1 normal development, and then losing skills. So I
2 think those initial descriptions focused on that
3 normal development, which I think now we don't think
4 is the case, and probably isn't the essence of
5 regression.

6 But I think that partly came from the fact
7 that this was a new idea, and people were just
8 noticing that there was an unusual pattern here.

9 Q How is regression assessed by a clinician?
10 Or a researcher?

11 A The most typical way is by very careful
12 interview of parents. So I think that their, because
13 their regression involves two things -- it involves
14 having skills, and then losing them -- you have to
15 have very specific information about the skills that
16 the child has in order to document what they've lost.

17 And because there's huge variability even in
18 that, you know, narrow time period, say, between 12
19 and 18 or 12 and 24 months, as to how many skills with
20 autism spectrum disorders have, you need to very
21 carefully determine what they could do, when they
22 could do it, how specific those skills were, and then
23 figure out what they can't do any more.

24 And then, because many children start
25 getting back some of those skills, you have to figure

DR. LORD, MD - DIRECT

3561

1 out where you are in that continuum. You know, are
2 you at a point where the child is losing skills, is
3 relatively stable, or gaining skills? That also
4 differs across the skills.

5 So I think the primary method is the very
6 detailed parent interview.

7 Q And there are certain particular questions
8 that must be asked of the parents?

9 A Right. If you don't ask parents specific
10 information, you often won't get it. Because parents
11 are filled with information, but often don't know
12 what's relevant, or don't know what you're thinking
13 about.

14 Q Does it also depend on how the question is
15 asked, how it's phrased to the parent?

16 A Absolutely.

17 Q What skills are typically lost in
18 regression?

19 A We used to think that the primary way that
20 we should define regression was loss of words. But
21 it's become apparent, through the research that we've
22 done and a number of other people have done, that
23 what's most common are the loss of social skills.

24 And in fact, in our study of toddlers right
25 now, we've found that the majority of children who

DR. LORD, MD - DIRECT

3562

1 develop autism actually lose social skills. So in
2 fact, if you define regression by loss of social
3 skills, almost all children with autism show a pretty
4 marked documentable loss of certain social skills,
5 such as eye contact, attending to people, engaging in
6 social interaction in the course of that second year
7 of life, from 12 months to 24 months.

8 Q What are the skills that are typically first
9 recognized as parents as a sign of regression?

10 A Kids who stop talking. Kids who may have
11 had social routines, like peek-a-boo or waving or
12 going "so big," who stop doing that. Kids seeking
13 their parents out, wanting to find people to play with
14 or to be engaged in. Smiling, sort of general
15 positive affect. Understanding sort of little jokes.
16 I mean, not being able to catch a child's eye and make
17 a face at them, and have them respond.

18 Q Now, in your report you say regressions in
19 autism follow a predictable pattern. Could you
20 explain what you mean by that?

21 A The point there is not that all children are
22 the same, but there does seem to be a pattern in which
23 children, children are acquiring skills, and then this
24 acquisition slows down. So that the sort of
25 prototypical example would be a child who at 12 months

DR. LORD, MD - DIRECT

3563

1 says mama, dada, baby, maybe the name of their sister.

2 And if you go through a list with the parent
3 retroactively of here's 25 things that most 12-month-
4 olds can do, that child may not do all 25 things. I
5 mean, actually probably nobody does all 25 things.
6 But they might do 18 of those things.

7 And then what happens is that the child
8 doesn't progress. So they may have those few words,
9 but for months they don't acquire new words. And
10 perhaps those words begin to appear less frequently.

11 Then there comes a time when the child stops
12 talking completely, or will only say mama, but doesn't
13 say those other words. And at the same time has
14 become socially less engaged, so may spend more time
15 by themselves. They develop odd behaviors, may become
16 attached to a banana peel or suddenly want to do
17 sticks, or become fascinated with buttons on the
18 television.

19 So you have this combination of having
20 skills, you know, and being on a trajectory of
21 developing things; slowing down for a while, not
22 seeming to acquire many more skills; and then some of
23 those skills just sort of fading out.

24 The trouble is that also at the same time,
25 the child may be developing some other good skills.

DR. LORD, MD - DIRECT

3564

1 So in our study where we're watching kids every month,
2 we need to be able to see that at the same time some
3 things are getting worse, often other things are
4 getting better.

5 And you know, the children are not on
6 timers. So it's not like everyone does something at
7 12 months, 13 months, 14 months. You may have some
8 children who slow down at 13 months, and then start
9 developing, you know, good skills at 15 months; and
10 other kids who are still slowing down at 14 months.

11 So the trajectories are similar. That is,
12 you can literally draw lines that look quite similar,
13 but they're spaced out, and the timing is shifts. You
14 know, not in a huge amount, but definitely over a six-
15 to eight-month period.

16 Q Are all regressions the same?

17 A No. Because partly you're talking about in
18 order to define a regression, you can only lose what
19 you've already got.

20 So a lot of this depends on what was the
21 child able to do before this process started, where
22 they slow down and begin to lose skills. And there's
23 huge variability.

24 There are some kids with autism who never
25 wave goodbye, you know, or don't wave goodbye in the

DR. LORD, MD - DIRECT

3565

1 first two years of life, just don't figure out how to
2 do that. So they can't lose it. Other kids who may
3 learn how to do this, and lose it. Other kids who may
4 learn how to wave, and keep waving, but may stop
5 talking.

6 So it's almost like you have this
7 constellation of skills -- again, that list of, you
8 know, 25 things -- and there are similar patterns, but
9 nobody is exactly the same. The timing is different,
10 and the specific skills vary considerably in terms of
11 which of those are lost, in part because they vary
12 which of them are gained.

13 Q Do autistic children who have regression
14 typically lose motor skills, as well?

15 A No.

16 Q What about autistic children in general? Do
17 they lose motor skills?

18 A Not very often.

19 Q What has research shown to be the main
20 component of regression in autism?

21 A The main component of regression is loss of
22 social communication. So I think that we had
23 initially focused on word loss, because it's much more
24 reliably reported. As if you ask parents years later
25 what happened in your child's early development, you

DR. LORD, MD - DIRECT

3566

1 know, mothers and fathers agree with each other more
2 about loss of words than they do about social skills.

3 But I think that when we've had detailed
4 studies that have asked more carefully about social
5 communication skills, it's apparent that there are
6 more kids who lose social skills than there are who
7 lose words. And that that loss of social skills is
8 probably, in the long run, more characteristic to
9 autism than just word loss.

10 Q And is regression a gradual process, or a
11 precipitative process? Is it an either/or?

12 A Yes, it's not an either/or. Because I
13 think, as I said, we're talking about a moving target.
14 I mean, loss of skills, loss of social skills is more
15 the norm in autism than the exception.

16 So if we describe kids as having a
17 regression who stop, who go from looking at people to
18 some degree when they're nine months old, to looking
19 at people less often by the time they're 15 months
20 old, then probably almost all children with autism
21 would have a regression.

22 If we set our threshold higher and say you
23 can't have a regression unless you've had 20 of those
24 social skills and lost 15 of them, then we get a much
25 smaller number.

DR. LORD, MD - DIRECT

3567

1 Q Has your research found that regression is
2 always characterized by a very clear decline or loss
3 of skills?

4 A No.

5 Q Do children who lose words as part of their
6 autistic regression ever regain language?

7 A Yes, most of them do.

8 Q What language level do they typically reach?

9 A Well, our research suggests that the
10 language levels that the kids who have regression
11 reach are very similar to kids who haven't had
12 regression. There seems like, in our study, there is
13 a slight downward skewing; that is, the kids who have
14 had regression come out with about a 10-point lower
15 score in verbal IQ when you look at them years later
16 than kids who didn't have a regression.

17 One other study found the same thing we did,
18 and several other studies have found no difference.

19 Q Is there a typical duration of time between
20 word loss and regaining language skills?

21 A No. There's a huge, there's a huge
22 variability. And that's another important aspect in
23 the definition of regression, is how long do you have
24 to have lost skills before you officially have a
25 regression.

DR. LORD, MD - DIRECT

3568

1 When we interviewed parents of two-year-
2 olds, we found kids who had lost skills for a month,
3 and then started regaining them, as well as kids who
4 stopped talking and actually never talked again, or
5 started talking months later or years later. So there
6 is a huge range. And that probably also confounds
7 trying to figure out what regression is, because
8 parents have different memories about a child who
9 didn't talk for a month than a child who had five
10 words, and then never spoke again.

11 Q Do children with autism in general improve?

12 A Absolutely.

13 Q What percentage, do you know?

14 A I mean, I think all children with autism
15 improve in some ways, and how much is highly variable.

16 Q Would that include children who have a
17 regression in autism? Do they improve, as well?

18 A Yes.

19 Q Do we know why?

20 A No. I mean, some of the improvement seems
21 to be getting back on developmental course. I mean,
22 it's like asking why do normal kids learn to do the
23 things that they do. We can describe how they learn
24 things, but that process of, you know, how do kids
25 learn to walk or talk when no one is really teaching

DR. LORD, MD - DIRECT

3569

1 them, we don't know. And that's the same for autism.

2 We know that, you know, behavioral
3 treatments make some difference. But it's a
4 relatively small amount of difference compared to just
5 that force of development.

6 Q You talked about the majority of children
7 who have suffered a loss of words, regain some level
8 of language. Do they also improve in their social
9 skills?

10 A Yes. I mean, with language you have some
11 children who regain language and are as fluent as any
12 of the rest of us. Not a huge number, but that
13 definitely happens.

14 In social development it would be very rare
15 for a child to not have some kind of residual social
16 deficit, but that also happens with kids who have
17 regression or kids who didn't, in a very small portion
18 of kids with autism.

19 Q Is autism in general associated with any
20 particular ethnic group?

21 A No.

22 Q What about regressive autism? Any
23 particular ethnic group association?

24 A No.

25 Q Is regressive autism associated with any

DR. LORD, MD - DIRECT

3570

1 particular social class?

2 A No.

3 Q Any particular gender?

4 A No.

5 Q Any particular birth order?

6 A No.

7 Q If an autistic child has regression and lost
8 skills, does that mean that the child was developing
9 entirely typically before the regression?

10 A No. I mean, I think that's one of the most
11 important things that research has figured out. That
12 just because you have a loss doesn't mean that things
13 were normal to begin with. They are actually
14 different factors.

15 They are not independent, because obviously
16 you can't have a loss if you didn't have some skills.
17 So a child who was developing very, very slowly and
18 had very limited skills would be less likely to have a
19 loss because they don't have as many skills to lose.

20 But given that most children had some
21 skills, the presence of a loss does not mean that
22 things were normal to begin with. And it's very
23 clear, from many research studies in the last 10
24 years, that most children who have losses showed
25 deficits prior to that loss. So the loss does not, is

DR. LORD, MD - DIRECT

3571

1 not an indication of normality or abnormality; it's a
2 separate question.

3 Q Have you ever heard of the term "clearly
4 regressive autism?"

5 A No.

6 Q Is that term discussed in the published
7 literature anywhere?

8 A Not that I know of.

9 Q Doctor, is there a distinct phenotype among
10 people with autism who had completely normal
11 development during the first year of life, and then
12 suffer a regression in the second year of life?

13 A I don't think so.

14 Q Is a review of pediatric records during the
15 first year of life a reliable way to assess whether or
16 not that child was developing entirely typically
17 during that time period?

18 A No. I mean, if you had a pediatric record
19 that indicated concerns, you would certainly take that
20 seriously. But to have a pediatric record that
21 doesn't mention anything, you have no idea if the
22 pediatrician didn't ask, if the parents said something
23 and the pediatrician didn't happen to record it, or if
24 the parent raised a concern and the pediatrician
25 ignored it.

DR. LORD, MD - DIRECT

3572

1 So the absence of information, the absence
2 of abnormality in a pediatric record, without very
3 systematic questioning, means nothing.

4 Q Are pediatricians usually attuned to subtle
5 abnormalities that later manifest as autism?

6 A They are getting better, but in the past
7 that has been a major complaint of parents, is that
8 pediatricians don't necessarily see or take seriously
9 the kinds of difficulties that their children have.

10 Q Are parental accounts of typical development
11 during the first year of life an accurate measure of a
12 child's development during that time?

13 A I think parents' accounts are the best
14 source of information we have. I mean, with the
15 advent of videos, we also have videos, which made a
16 huge difference, as well. But people don't
17 necessarily video their children in all sorts of
18 situations, and they don't do it systematically. They
19 don't say I'm going to always video my child, you
20 know, every Monday taking a bath, and every Tuesday
21 eating a meal.

22 So I think parents are our primary source of
23 information. The problem is that what you get depends
24 on what you ask. And it also, parent reports are
25 affected by memory. So you will get quite different

DR. LORD, MD - DIRECT

3573

1 reports sometimes if you ask parents of six-year-olds
2 versus asking parents of two-year-olds what they are,
3 so that they're not, they are flawed, but I think they
4 are our best source of information.

5 Q Doctor, I'd like to turn our attention to
6 the Richler study, which is filed as Respondent's
7 Master List 397. Are you familiar with this study?

8 A Yes.

9 Q Were you one of the authors of this study?

10 A Yes.

11 Q What was your responsibility with regard to
12 this study?

13 A I was the PI for carrying out this study,
14 and I supervised --

15 Q What's a PI?

16 A Sorry. Principal investigator. So I was
17 responsible for this study. And the person who was
18 first author, who did the initial draft, was a
19 graduate student of mine, and I worked with her to
20 gather the data, analyze the data, and write up the
21 interpretation.

22 Q And what did this study investigate?

23 A This study looked at whether we could find a
24 clear regressive unit type of autism. That is, we
25 were trying to take descriptions that had come out of

DR. LORD, MD - DIRECT

3574

1 previous research, and see if there was some validity
2 to them, and whether this phenotype was related to the
3 MMR vaccination.

4 Q And how long did this study take to compile?

5 A The study used existing data, so that we
6 took data from a number of sites around the country
7 that were all involved in different research projects,
8 but we all decided to use the same methods to diagnose
9 autism and to describe the children with autism. So
10 those studies had been going on for about five years.

11 And then we took existing data, cleaned it
12 up, which took about a year, and then did followup
13 interviews and organized the other sites to do
14 followup interviews of children identified in that
15 dataset. That probably took another two years. And
16 then analyzed the data and wrote it up.

17 Q And how did you investigate whether
18 regression is the distinct phenotype within autism?

19 A What we did was try to take the major
20 principles that people have used to define, to suggest
21 that there are, that there is a special group of
22 children with autism who have regression; and that
23 those children are different from other children with
24 autism.

25 And at the time we really started with the

DR. LORD, MD - DIRECT

3575

1 hypothesis that they were different, and that we
2 wanted to see how they were different. And so what we
3 did was define regression. So in that study we
4 defined regression by having a loss of words. But
5 then we also had very systematic questions about loss
6 of social development.

7 And it turned out, over the course of this
8 study, that children who lost social skills were not
9 different from children who lost words and social
10 skills; and that almost all the children who lost
11 words also lost social skills.

12 We then looked at various aspects of those
13 children's development in terms of their acquisition
14 of the social skills before the loss, and compared
15 them to typically developing children. And then we
16 looked at different characteristics, such as the
17 existence of GI symptoms and things like gender,
18 ethnicity, birth order, to see if there was something
19 special about those kids who had had these losses.

20 Q And did you find any differences?

21 A We did not find much. We found minor
22 differences in the outcome, in terms of verbal IQ.
23 That is, the children who had a regression were
24 slightly lower, about 10 points, which is a real
25 difference, but not huge, at later ages. And we found

DR. LORD, MD - DIRECT

3576

1 a slightly higher frequency of parents' reports of
2 diarrhea and constipation in the children who had had
3 regression.

4 Q You said that you started with the
5 hypothesis that there was a difference between
6 regression and nonregression. Why did you start with
7 that hypothesis?

8 A Well, I think we had heard about regression
9 for years from parents that we worked with. We had
10 seen children, especially siblings of children, so we
11 would know a child with autism, and then meet a
12 sibling who people thought was typical, and then
13 watched that child become autistic. So I think we
14 were starting from the point of view that we wanted to
15 be sure that people didn't dismiss regression as if it
16 didn't exist.

17 And then, I mean, regression is a very
18 striking phenomenon. To watch a child gradually
19 become autistic is a heartbreaking situation, and
20 something that's very hard to forget. So we were
21 interested in what does this mean. And also a
22 question of it this, are the children who experience
23 this different in some way from children who don't.

24 What we found out is that there isn't a cut-
25 and-dried regression/nonregression. There are these

DR. LORD, MD - DIRECT

3577

1 continuae of changes, some of which seem to happen for
2 almost all children with autism, and some of which
3 don't. And the more we looked, the less we found that
4 was very clear.

5 Q What did you find with regard to the
6 regressive group's development before they had a loss
7 of skills?

8 A We found that most of the children who were
9 identified as having regression, when you went through
10 parents and asked them could your children do this,
11 this, this, this prior to age two, were actually
12 behind before their regression had occurred.

13 Q Were there children who appeared to have
14 near-typical development prior to the loss of skills?

15 A There were children whose parents reported
16 that they had more skills. So that if you just added
17 up the number of these different social skills, there
18 were children who had regressions, who had the same
19 number of social skills as a typical child.

20 Q Did those children fit the lower IQ, the
21 diarrhea profile that you found, with the other
22 children who had a loss?

23 A No, they didn't. So we didn't find any
24 clustering of the characteristics that people had
25 suggested might define this regressive subtype. As we

DR. LORD, MD - DIRECT

3578

1 found, we did find minor differences in GI. We did
2 find that there were kids who lost, who had more
3 skills, but we didn't find that they went together.

4 Q Now, you mentioned that this study also
5 considered whether autistic regression was associated
6 with the MMR vaccine?

7 A That's right.

8 Q And what did you conclude?

9 A We could not find any relationship between
10 the regressive, between regression -- or when we
11 defined this group and said well, if there is a
12 regressive phenotype, this is who other researchers
13 would have said would be in it. We couldn't find any
14 relationship between that and having an MMR vaccine.

15 Q Doctor, does the Richler study support the
16 position that there is a distinct phenotype in autism
17 known as regressive autism?

18 A No.

19 Q Had you ever heard the term "regressive
20 autism" back when you were first looking at the
21 phenomenon?

22 A I think my first exposure to the term
23 "regressive autism" was as it was applied to the work
24 of Andrew Wakefield and the MMR vaccine.

25 Q Before that work, how was it described or

DR. LORD, MD - DIRECT

3579

1 considered by the autistic community?

2 A Before that, I think that most people, most
3 researchers felt like regression is one variable in
4 looking at early development.

5 Q Does any of your research or research of
6 others support a distinct subtype of regressive
7 autism?

8 A No. I mean, I think especially as we've
9 looked at the toddlers, it becomes, you know, as we
10 look at the toddlers it's clear that even these very
11 large studies, where we felt like we were asking
12 parents many, many questions in great detail, probably
13 do not get at the essence of what happens in those
14 early months. Because the changes are more subtle,
15 and our ability to observe them is so much dependent
16 on the context. It's dependent on when do you see a
17 child and what are you looking for.

18 So I think that that has moved us, and I
19 think much of the field, toward a sense that there
20 isn't a regression or not a regression; the question
21 is the degree and type of worsening that occurs, how
22 long it lasts, and how much, how many skills a child
23 has before that occurs.

24 Q Now, in terms of the clinical outcome of a
25 five- or six-year-old with autism, is there any much

DR. LORD, MD - DIRECT

3580

1 difference in the clinical outcome of a child who had
2 what I'll term early onset autism, versus a child who
3 did indeed have regression?

4 A Most studies have found no difference at
5 all. The studies that have found differences have
6 found these relatively small differences in verbal
7 skills.

8 Q You touched on earlier, Doctor, that you are
9 continuing to research the phenomenon of regression?
10 Is that correct?

11 A That's right.

12 Q And you're conducting a longitudinal study,
13 is that correct?

14 A That's right.

15 Q And what information is emerging from that
16 study with regard to regression?

17 A With that study what we've been doing is
18 seeing children who are at risk for having autism
19 either because they have a sibling with autism, so
20 they may not have any behaviors associated with
21 autism, but they have a sibling, and their parents are
22 eager to have somebody follow them -- or something has
23 occurred, or something has been seen, often identified
24 by parents, but sometimes by physicians,
25 pediatricians. For example, the child has had

DR. LORD, MD - DIRECT

3581

1 seizures in the first year of life, and so someone is
2 concerned that this child might develop autism.

3 And we see the children once a month, have
4 parents fill out the same forms each month. And then
5 we do a standardized assessment, a toddler version of
6 the ADOS. So we do a standardized observation of the
7 child's social behavior with us and with the parents
8 every month.

9 What has come out of this is that the
10 trajectories are much less clear than we would have
11 thought from retrospective descriptions years later of
12 what the children are like. And when we have tried to
13 sort that out, I think that there are a number of
14 implications.

15 One is that different skills are changing at
16 different rates and at different times. So that you
17 have, for example eye contact is typically getting
18 worse for almost all of the children from 12 months to
19 24 months. So that, and social engagement,
20 responsiveness to somebody trying to get the child to
21 interact with them, both us and the parents, typically
22 is getting worse in children who have autism diagnoses
23 say by the time they're two and a half.

24 So those things are changing, but they
25 actually cycle back around. So they get worse for a

DR. LORD, MD - DIRECT

3582

1 while, and then for some children they start getting
2 better again.

3 We also have other skills. For example, a
4 response to attention or response to somebody
5 pointing, or trying to get the child to look at
6 something. And that, for a number of kids, gradually
7 gets better, even at the same time that some of these
8 social skills are getting worse.

9 So I think what we've realized is that this
10 is, it's just much more complicated changes in
11 development than we thought. And that these things
12 that we used to think only happened in kids who had
13 regression are actually happening in almost everybody
14 who has autism.

15 Because there are some children who look
16 very different from typical children at 12 months.
17 But those are few and far between. And in fact, in
18 our followup study, that isn't necessary predicted.
19 The kids who are not making eye contact at 12 months
20 are not the most autistic kids at age three.

21 So many things change during that toddler
22 period. And I think that our conceptualizations of
23 what regression is are partly based on retroactive
24 trying to figure out what happened and didn't happen,
25 which is quite different than when we can see it

DR. LORD, MD - DIRECT

3583

1 happening right before our very eyes.

2 Q Doctor, are you aware of any evidence
3 showing that the etiology of regression in autism is
4 different than that from nonregression, for lack of a
5 better word?

6 A No. And I think again that the idea that
7 there aren't these clear patterns makes it much harder
8 to draw conclusions about etiology. Because
9 basically, you could arbitrarily divide these kids up
10 in millions of different ways.

11 So far, people have tried to divide them up,
12 and haven't found any differences in etiology. But
13 it's not even clear that we know how to divide them
14 up, or they can be divided up.

15 Q Doctor, before this litigation, had you ever
16 read any published literature that thimerosal-
17 containing vaccines caused regressive autism only?

18 A I had not.

19 Q Are you aware of any study that has ever
20 suggested that hypothesis?

21 A No.

22 Q Doctor, did you review the report submitted
23 by Dr. Marcel Kinsbourne in this litigation?

24 A Yes.

25 Q On page 14 of his report, he states that,

DR. LORD, MD - DIRECT

3584

1 "The late onset of the regressive subtype and the
2 subsequent remission or relapses become more
3 understandable if autism is due to disease than if it
4 is the aftermath of congenital maldevelopment."

5 Do you agree with that statement?

6 A No.

7 Q Why not?

8 A There are many different disorders where
9 onset occurs later on. I mean, we have Huntington's
10 disease and schizophrenia and sickle-cell anemia, and
11 all kinds of disorders that children, where in some
12 cases we know are genetic, but which occur later on.
13 So I think we can't make a simple inference that
14 because something emerges later, that means that
15 somehow someone has caught a disease or had some kind
16 of particular environmental event that caused it.

17 Q And Dr. Kinsbourne also draws a distinction
18 between what he terms as classical or congenital
19 autism, and regressive autism. Is this a proper
20 distinction?

21 A I think the term "congenital autism" means
22 nothing. Because, I mean, as I said, it's a
23 developmental process. We can't diagnose autism in a
24 brand-new baby.

25 And so in all cases, something is developing

DR. LORD, MD - CROSS

3585

1 that would lead us into autism. So to make this
2 distinction between congenital and regressive is a
3 false dichotomy.

4 Q And Dr. Kinsbourne has also described what
5 he terms his overarousal model as an explanation for
6 autistic behavior. Does his overarousal model
7 accurately describe what is known about autistic
8 behavior?

9 A I don't believe so. I mean, the overarousal
10 model has been around for 40 or 50 years, and used to
11 described many different disorders.

12 I think one of the hard things is that it
13 becomes very circular. I mean, children with autism
14 do respond to being overstimulated, as do many other
15 kids. And children with autism may respond in more
16 conspicuous ways, and may have a lower threshold.

17 But the problem is that often the behaviors
18 that are used to say that a child is responding by
19 overarousal -- for example, you know, flapping or
20 getting very physically excited or distracted -- are
21 the same behaviors that occur when the child is
22 underaroused.

23 You know, we can get children who have a lot
24 of self-stimulatory behaviors to do these behaviors by
25 putting them in a situation where there's nothing to

DR. LORD, MD - CROSS

3586

1 do. We also see children do those behaviors when
2 they're very happy, or when they're not so happy.

3 So the behaviors that are used to define
4 overarousal are behaviors that occur in many different
5 contexts.

6 MS. RICCIARDELLA: Thank you. That's all I
7 have.

8 SPECIAL MASTER VOWELL: Are you prepared to
9 proceed?

10 MR. POWERS: Yes, I am. Good morning, Dr.
11 Lord. Go ahead and refill the water there.

12 CROSS-EXAMINATION

13 BY MR. POWERS:

14 Q My name is Tom Powers, along with Mr.
15 Williams at the table with me. We represent the two
16 families here, as well as the Petitioners' Steering
17 Committee.

18 I do have some questions to ask you, as you
19 might imagine, based on the report that you filed and
20 the testimony you gave today.

21 Your testimony, as I understand it, and your
22 opinion is that there is no phenotype for regressive
23 autism. Or perhaps a more specific way to put that is
24 that regression in autism is not a distinct phenotype
25 within autism spectrum disorder, is that correct?

DR. LORD, MD - CROSS

3587

1 A Yes.

2 Q You've also described regression in autistic
3 children as a striking phenomenon. Do you remember
4 that testimony?

5 A Yes.

6 Q What is the difference between a phenotype
7 and a striking phenomenon? How would you describe the
8 difference between phenotype and striking phenomenon?

9 A My point about the striking phenomenon is
10 that it is, it is a remarkable experience to watch a
11 child who has been able to do things, not be able to
12 do those things. Or to watch a child who has been
13 relatively socially engaged become less engaged, and
14 be more and more difficult to engage or attract.

15 But I think that is different than a
16 phenotype. Because a phenotype implies that there are
17 a cluster of behaviors that are associated with each
18 other. And there is something unique about that
19 cluster of behaviors.

20 I think regression is a real phenomenon in
21 autism, but there is a continuum of regression. It's
22 not -- and we can create a phenotype. I can say well,
23 I'm only putting kids who lost words into this group,
24 and I'm going to call it the Lord phenotype. But
25 there has been no, nobody has been able to show that

DR. LORD, MD - CROSS

3588

1 that phenotype is associated with anything other than
2 the characteristics which I used to define the
3 phenotype.

4 Q And that would be because, as I understand
5 it, autism diagnostically is entirely a symptomatic
6 diagnosis; that is, there's not a biomarker, there's
7 no underlying pathology that one would use typically,
8 is that correct?

9 A It's not, the problems with defining the
10 phenotype aren't because autism is defined purely by
11 behavior. It's because we haven't been able to find
12 an association between any of these particular
13 phenotypes that people have pulled out, and the ways
14 in which people have pulled out the phenotype.

15 Q Now, the autism diagnosis typically covers
16 three domains. There's the communication skills,
17 social reciprocity, and play and behavioral skills, is
18 that correct?

19 A That's right.

20 Q I heard a significant amount of your
21 testimony on direct focused on the social reciprocity
22 and the communication domains. I didn't hear a lot of
23 discussion about the play.

24 In your work on regression, do you have an
25 idea of what percentage of children who had

DR. LORD, MD - CROSS

3589

1 regression, regressed in the area of play and
2 appropriate play?

3 A That's a good question. There's probably
4 less loss of play, because many children, at the time
5 the losses occur, are not playing very much. I mean,
6 it probably depends on how you define play.

7 If you define play in terms of social play,
8 then in fact you do have regression. And that would
9 fall under what I was talking about before, like peek-
10 a-boo and pattycake. I mean, those aspects of play.

11 If you're talking about play as using toys
12 or using materials, that, when you're looking at a 15-
13 month-old with autism, many children are not using
14 materials in a terribly useful way. So there's less
15 loss than you would see in the other areas.

16 Q And that actually is the type of play that I
17 was, that my question was designed to get to. Not
18 sort of the social reciprocity play, but using toys
19 appropriately. So if you have tools, you actually use
20 them as tools; or if you have trains, you actually use
21 them as trains.

22 In thinking of that kind of play, are you
23 aware of any studies that demonstrate children who
24 reached a point where they were playing with toys in a
25 functionally appropriate way, who then lost those

DR. LORD, MD - CROSS

3590

1 skills, and played with those same toys in nontypical
2 ways?

3 A I'm trying to remember. In our studies of
4 the toddlers, we do document changes in play. What we
5 do see is an increasing amount over this period of
6 time of nonfunctional play.

7 So I think one of the things we really don't
8 known is the degree to which is the child, a child who
9 might be losing sort of imaginative play, versus
10 gaining repetitive behaviors that are more attractive
11 to them.

12 So if you think about a child who has got a
13 car and they are pushing it back and forth, a parent
14 may think, and we would probably think the same thing,
15 that they're doing something imaginative if you start
16 with that. What is more typical of the changes over
17 time is that a child may move from moving that car a
18 little bit, to then wanting to line up a number of
19 different cars. And that is typically actually of
20 children that we've seen, both who have had losses and
21 who have not had losses.

22 Q Do you have a sense of sort of the larger
23 picture of things, what percentage of children in this
24 area normal development preceding loss, I think is the
25 descriptive phrase you used. If you look at the

DR. LORD, MD - CROSS

3591

1 number of children who do have regression, what
2 percentage of those children do you believe actually
3 were normal, neurotypical, in the period of time
4 preceding the loss?

5 A I don't think we can make a distinction. I
6 mean, I don't think we can divide things up as to
7 normal and abnormal.

8 I think what we have to do is think about
9 how many skills they had before the autism became
10 apparent. And I think there are some kids who have
11 quite a few social communication skills before autism
12 became apparent, and other kids who had fewer.

13 But I don't think that it's probably of much
14 value to try to say who is normal and who is not
15 normal. Because we are making all these inferences
16 retroactively. And some of it is going to depend on
17 parents reporting how much parents knew, and the way
18 in which the questions are asked.

19 Q And did you hear the testimony of Dr. Rust
20 when he appeared?

21 A No.

22 Q Well, Dr. Rust described that within the
23 children that he sees, the ones that are reported to
24 be regressive, he actively does this retrospective
25 analysis and attempts to identify, earlier in time,

DR. LORD, MD - CROSS

3592

1 earlier symptoms that might have been missed.

2 And he testified that in about 20 percent of
3 his described regressive autistic patients, he cannot
4 find anything abnormal in their early development. So
5 that he described basically the answer as 20 percent.

6 Is that answer consistent with your
7 experience, that perhaps 20 percent of children who
8 have regressed, even retrospectively show no abnormal
9 signs of early development?

10 A I don't know.

11 Q What are the issues in this litigation --
12 and as you're probably aware, it's discussing the
13 causes of autism now -- you would agree that genetics
14 are a significant contributing factor to the
15 development of autism?

16 A Yes.

17 Q And that heritability is something that is
18 distinctive when one is evaluating the etiology of
19 autism spectrum disorders.

20 A I think that we have to make a distinction
21 between heritability and genetics. So it seems very
22 likely that there are genetic components to autism;
23 that is, genetics contributes to your risk of having
24 autism.

25 Whether the degree to which that's

DR. LORD, MD - CROSS

3593

1 inherited, that is, that you actually, it's passed
2 from family member to family member versus it's
3 something that happens in very early points of
4 conception which changes your genes, I think we don't
5 know.

6 Q Well, in a lot of the testimony we've heard,
7 one of the big issues is this focus on genetic
8 contributors and looking at concordance rates,
9 particularly in twin studies. Are you familiar with
10 the concordance studies involving both monozygotic and
11 dizygotic twins?

12 A Yes.

13 Q And you would agree that the high
14 concordance rates reported in those studies is
15 evidence that there's a strong genetic component in
16 autism, correct?

17 A Yes.

18 Q Now, in your report on page 3, you describe
19 that regressions are not concordant within families,
20 correct?

21 A That's right.

22 Q So if regression cases of autism are
23 nonconcordant within families, that would suggest
24 something other than a heritability factor involved in
25 the etiology of those cases, correct?

DR. LORD, MD - CROSS

3594

1 A I should be clear, that the paper that I was
2 citing is a paper that was presented for a PhD
3 dissertation, which has lately become quite
4 controversial. So I'm not sure now what that means.

5 Q All I'm saying is you cited it in your
6 report for the proposition that regressions are not
7 concordant within families. That's what you cite it
8 for.

9 A Right.

10 Q So are you saying now that you've changed
11 your opinion on this issue since writing your report?

12 A Yes. I'm saying that I don't know; that I
13 would not say that over again.

14 Q Is there anything else in your report that
15 you would reconsider in light of recent evidence?

16 A I don't think so.

17 Q But if it's true that autism is not
18 concordant among regressive cases, that would strongly
19 suggest that there are other nongenetic factors
20 involved, correct?

21 A Not necessarily. I think the point there
22 was that regression isn't a yes-or-no phenomenon. I
23 mean, in fact, while autism spectrum disorders are
24 concordant within twins -- that is, if you have one
25 twin, the chances of an identical twin having

DR. LORD, MD - CROSS

3595

1 something within the range of autism -- the narrow
2 definition of autism is not concordant. So you can
3 have twins, identical twins, where one child is very
4 severely autistic and intellectually disabled and
5 nonverbal, and another child who has very mild, subtle
6 difficulties.

7 So whatever is concordant isn't this kind of
8 autism or that kind of autism. So it wouldn't be
9 surprising if developmental pattern is not concordant,
10 as well, since we know that things like IQ are not
11 necessarily concordant within twins.

12 So it doesn't mean that it's not genetic.
13 It just means that whatever is genetic about autism is
14 a risk factor for the very broad kind of problem.

15 Q And it's a risk factor that makes one at
16 risk for a whole host of nonheritable, nongenetic
17 factors, correct?

18 A We don't know.

19 Q Well, if it's not heritable and genetic, it
20 would have to be something else, correct? I'm not
21 asking you to name what it is, but it simply would
22 have to be something else, correct?

23 A But I guess I'm not saying I don't know if
24 all autism is heritable. I think the question is, I
25 mean it could be that it's not inherited by, it's not

DR. LORD, MD - CROSS

3596

1 through a particular gene, but it's a combination of
2 other genes that actually don't have anything to do
3 with autism, except they affect the way that the child
4 learns.

5 Q And they would affect, those various genetic
6 permutations within an individual would affect the way
7 that they respond to environmental stimuli, whether
8 it's a learning experience or environmental exposures
9 to substances, correct?

10 A We don't know.

11 Q I understand that we don't know, but that is
12 one of the etiologies that one would have to look at
13 in attempting to describe what caused a particular
14 case of autism, correct?

15 A Yes.

16 Q Now, in your role sitting on this NIH
17 strategic planning committee, did you participate in
18 the 2007 IOM Environmental Factors in Autism Workshop?

19 A No. This committee didn't exist then.

20 Q So this committee was formed after that?

21 A Yes.

22 Q Is the committee that you're sitting on
23 currently evaluating any of the research suggestions
24 or research proposals that were generated in that 2007
25 IOM meeting?

DR. LORD, MD - CROSS

3597

1 A The committee that I'm sitting on doesn't
2 evaluate proposals. The committee that I'm sitting on
3 just tries to look at what directions federal funding
4 should take in the future.

5 Q Is one of the directions your committee is
6 considering spending federal research dollars to look
7 at potential environmental factors that influence the
8 development of autism?

9 A Yes.

10 Q Are you involved with the NIEHS expert panel
11 that was convened in 2006?

12 A No.

13 Q Are you, in the work that you're doing now,
14 are you considering the NIEHS expert panel
15 recommendations on additional research that could be
16 done, particularly within the vaccine safety data
17 link, to start explicating the various causes of
18 autism? Are you involved in any of that work?

19 A The committee that I'm on is looking --
20 again, it's much broader. So it's not at a level at
21 all of looking at specific proposals.

22 Q If not looking at specific proposals, are
23 you looking at general proposals coming out of that
24 NIEHS workshop to look at environmental contributions
25 to autism?

DR. LORD, MD - CROSS

3598

1 A We're not even looking at general proposals.

2 Q In describing the role of vaccines in
3 autism, you describe the Richler study in some detail.
4 That was a study that focused on the MMR, is that
5 correct?

6 A That study was -- yes. I mean, yes.

7 Q Are there any other studies that are
8 published right now that look, as far as you know, at
9 an association between thimerosal-containing vaccines
10 and the regressive features of autism? Specifically
11 looking at regression.

12 A Not that I know of.

13 Q Are you aware of any that are ongoing, let
14 alone published?

15 A There are, I am aware that there are studies
16 on thimerosal. But that's the level of my
17 familiarity.

18 Q The longitudinal study that you were working
19 on, that you had some it sounded like anecdotal
20 interim data, is that correct?

21 A That's right.

22 Q So the findings of the longitudinal study
23 have not yet been peer-reviewed?

24 A That's right.

25 Q Are they in the form of a manuscript that is

DR. LORD, MD - CROSS

3599

1 about to be peer-reviewed or submitted for
2 publication?

3 A Yes.

4 Q When do you anticipate that that's going to
5 be submitted for peer review?

6 A Some time in the next couple months.

7 Q And upon submission, it would then be peer-
8 reviewed; but up until now, this is sort of an
9 anecdotal report on preliminary findings, correct?

10 A That's right.

11 Q Is this study NIH-funded?

12 A Parts of it, yes.

13 Q You have mentioned that in a large number of
14 cases using this retrospective search, so to speak,
15 for preregression normalcy, you said that the more you
16 look, the more signs that one tends to see, is that
17 correct?

18 A The more signs of --

19 Q Of nonnormal --

20 A Yes.

21 Q -- preregressive development. But you
22 certainly don't see the lack of normalcy or latent
23 abnormalcy in all preregressive cases, correct?

24 A No.

25 MR. POWERS: I have no further questions.

DR. LORD, MD - REDIRECT

3600

1 SPECIAL MASTER VOWELL: Any redirect?

2 MS. RICCIARDELLA: A few.

3 REDIRECT EXAMINATION

4 BY MR. POWERS:

5 Q Dr. Lord, Mr. Powers asked you, spent a lot
6 of time discussing genetics and autism. Are you a
7 geneticist?

8 A No.

9 Q Do you claim to be?

10 A No.

11 Q He also asked you about the NIH committee
12 that you sit on looking at environmental factors in
13 autism?

14 A Yes. I mean, the NIH committee that I'm
15 sitting on is looking at trying to set priorities for
16 federal funding related to autism across practice,
17 across -- well, across research that affects
18 everything, from practice to looking for etiology.

19 Q He also asked you a bunch of questions about
20 the type of play that is indicative of a loss. And
21 you distinguished between playing with toys, as
22 opposed to social play.

23 A Uh-huh.

24 Q Is this a way to define a phenotype?

25 A Many people describe play in autism as part

DR. LORD, MD - REDIRECT

3601

1 of assessments. It turns out that using it as a way
2 of defining a phenotype has not been very helpful,
3 because there is such variability both between, or
4 among kids with autism, but also typical kids.

5 So the reality is that most typical kids can
6 use an object to pretend that it's something else by
7 the time they are 18 months old. But whether they'll
8 do that in any 45-minute interval, or the amount of
9 time that they spend doing that, is hugely variable
10 from kids who don't have a lot of imaginative play and
11 spend much more time running around, or in social play
12 in kids who are, you know, making toothbrushes into
13 dolls from very early ages.

14 So it turns out that it's a very interesting
15 phenomenon, but it hasn't been very useful in terms of
16 defining phenotypes.

17 Q And is the change in the way one plays with
18 toys a characteristic, the most characteristic loss or
19 type of skill loss in regression?

20 A No.

21 Q You were asked a couple questions about the
22 Richler study, and whether it focused on MMR. Was
23 that the only point of the study?

24 A No. I mean, the point of the study that's
25 written up in the Richler paper, which is also written

DR. LORD, MD - REDIRECT

3602

1 up in several other papers, was to see if we could get
2 consistent descriptions of regression across these,
3 you know, 10 different sites around the country.

4 So it was really to say, you know, can we
5 verify that regressions occurred, using standardized
6 measures that where everyone is asking the families
7 for these different research projects the same
8 questions.

9 Q And Mr. Powers also referred to your ongoing
10 longitudinal study. And he termed your findings
11 anecdotal.

12 Doctor, are you describing your findings in
13 that study, in your opinions here today, are you
14 basing those on anecdotal evidence, or on your
15 experience?

16 A Well, it's not anecdotal evidence, in the
17 sense that we have 50 children who have autism
18 spectrum disorders who we have followed in a very
19 systematic way over the last three years. So I'm not
20 just describing one child that I've seen; it's data
21 that's been analyzed by a team of people. But what we
22 have not done yet is finalize a manuscript that's been
23 sent off for peer review.

24 MS. RICCIARDELLA: Thank you.

25 SPECIAL MASTER VOWELL: Recross?

DR. LORD, MD - RECROSS

3603

1 MR. POWERS: A couple of just very quick
2 questions.

3 RECROSS-EXAMINATION

4 BY MR. POWERS:

5 Q Doctor, in getting back to this issue that
6 Ms. Ricciardella was talking about, the repetitive,
7 the play areas and the different domains. Do you have
8 a sense, what percentage of regressive cases
9 demonstrate a loss of skills across all three
10 developmental domains? Do you have an idea?

11 A Well, from the, let's see, from the toddler
12 study, the study where we are following kids, there
13 are different patterns across those areas of skill.
14 And there are actually, even within an area there are
15 different patterns.

16 So there are, so that certain losses of
17 skill are very common, and others are much less
18 common. Again partly because you can't lose a skill
19 until you have it.

20 I don't have a sense of -- well, I also
21 think that in play, the issue often isn't just loss of
22 skill; it's the beginning of repetitive behavior. And
23 so it's very hard to sort out what's lost and what's
24 something else is being required that supersedes the
25 thing that's there.

DR. LORD, MD - FURTHER REDIRECT

3604

1 Q So would a fair answer to that question,
2 then, that you just aren't able to put a percentage on
3 the number of cases of regression in which lost,
4 acquired skills are lost in all three domains?

5 A That is something I could probably look at
6 the data that we have and figure out, but I can't do
7 it in my head.

8 Q And it's not anything that you've analyzed
9 for publication, and there's not any data that we'd be
10 able to look at right now to be able to make that
11 percentage.

12 A Not right at this minute.

13 Q Okay. And finally, did you review the
14 medical records of either of the individual child's?

15 A No.

16 Q Were you asked to do that by anybody?

17 A No.

18 MR. POWERS: No further questions.

19 MS. RICCIARDELLA: I have one followup for
20 that.

21 FURTHER REDIRECT EXAMINATION

22 BY MS. RICCIARDELLA:

23 Q Mr. Powers again asked you about the current
24 study, and whether or not you were able to come up
25 with percentages based on data collected over the past

DR. LORD, MD - FURTHER REDIRECT

3605

1 few years.

2 Doctor, is your opinion in this case based
3 on data that you've collected over the past four
4 years, or your experiences over the past 35 years?

5 A Yes. I mean, the toddler study which I'm
6 alluding to is just a small part of what I'm talking
7 about. So mostly what I've been talking about has
8 been the research that's been conducted prior to that
9 study.

10 MS. RICCIARDELLA: Thank you.

11 SPECIAL MASTER VOWELL: Any questions from
12 my colleagues? Dr. Lord, I have no questions for you.
13 Mr. Powers, did you have any followup to that last
14 question?

15 MR. POWERS: No.

16 SPECIAL MASTER VOWELL: I wanted to get our
17 questions in before we asked you.

18 MR. POWERS: Yes. Well, the last time that
19 happened I was jumping up too early. But no, I have
20 no further questions, thank you.

21 SPECIAL MASTER VOWELL: Then, Dr. Lord, you
22 are excused.

23 (Witness excused.)

24 SPECIAL MASTER VOWELL: I take it we're
25 going -- Dr. Fombonne is present. Do you need a brief

1 --

2 MS. RICCIARDELLA: Can we have about a 15-
3 minute break?

4 SPECIAL MASTER VOWELL: It's a good time to
5 take our morning recess. My watch says it's 25 after
6 10:00, so how about we reconvene at 20 to 11:00.

7 (Whereupon, a short recess was taken.)

8 SPECIAL MASTER VOWELL: Please be seated.
9 All right, we're back on the record in the case. And
10 Dr. Fombonne is taking the stand. It looks as though,
11 before we swear him, we have what appears to be
12 Respondent's Trial Exhibit 12.

13 (The document referred to was
14 marked for identification as
15 Respondent's Exhibit 12.)

16 SPECIAL MASTER VOWELL: We're trying to get
17 enough copies for everyone up here.

18 (Pause.)

19 SPECIAL MASTER VOWELL: Dr. Fombonne, if you
20 would raise your right hand.

21 Whereupon,

22 ERIC FOMBONNE, MD

23 having been duly sworn, was called as a
24 witness and was examined and testified as follows:

25 SPECIAL MASTER VOWELL: Thank you.

DR. FOMBONNE, MD - DIRECT

3607

1 Respondent, you may proceed.

2 DIRECT EXAMINATION

3 BY MR. POWERS:

4 Q Good morning, Dr. Fombonne.

5 A Good morning.

6 Q Could you please state your name for the
7 record?

8 A Eric Fombonne.

9 Q And would you please state your current
10 academic position?

11 A I am the professor of psychiatry at McGill
12 University in Montreal, Canada.

13 Q Now, you received a baccalaureate in science
14 with distinction from the University of Paris, is that
15 correct?

16 A That's correct.

17 Q And that was followed by medical school at
18 the University of Paris, is that correct?

19 A Yes.

20 Q Do you have a medical degree?

21 A Yes, I have.

22 Q And you have a master's certificate in
23 biostatistic methods and human physiology, is that
24 correct?

25 A That's correct.

DR. FOMBONNE, MD - DIRECT

3608

1 Q Now, I know that we qualified you, we went
2 through your background in the Cedillo case, but this
3 is a new record. So we do have to do this again. And
4 following medical school, where did you do your
5 residency?

6 A In Paris.

7 Q In what field did you do your residency?

8 A In general psychiatry, and then child and
9 adolescent psychiatry.

10 Q And when did you start specializing in child
11 psychiatry?

12 A I did my training between 1977 and 1981, and
13 then finished in 1982.

14 Q And do you hold any certifications in your
15 field?

16 (Away from microphone.)

17 A Yes. The equivalent of it.

18 SPECIAL MASTER VOWELL: What did you say?

19 THE WITNESS: The equivalent of the bonne
20 certification in France, which is the completion of a
21 kind of a thesis, which gives you, grants you the
22 title of specialist in child and adolescent
23 psychiatry.

24 BY MS. RICCIARDELLA:

25 Q Is that the highest certification in your

DR. FOMBONNE, MD - DIRECT

3609

1 field?

2 A Yes.

3 Q And how long have you been working in the
4 area of autism spectrum disorder, specifically?

5 A Since about 1986.

6 Q And what training have you had in
7 epidemiology?

8 A I worked during my medical years, as a
9 medical student I worked in various research projects
10 as a part-time research assistant, where I learned
11 many research skills in terms of conducting
12 epidemiological studies, and also conducting
13 randomized clinical trials.

14 I did my medical thesis, my psychiatry
15 thesis, my medical thesis on the particular
16 statistical analysis of data in psychiatry from a
17 clinical trial. I followed different courses in
18 epidemiological methods. I went to a summer institute
19 in New England in 1986, where I followed the three-
20 week course, intensive course, which was given by Ken
21 Rothman, who is the author of the book Modern
22 Epidemiology.

23 I followed various courses on genetic
24 epidemiology analysis of longitudinal difference
25 (phonetic), and other kinds of things.

DR. FOMBONNE, MD - DIRECT

3610

1 Q Now, according to your CV, in 1989 you were
2 recruited as a tenured research scientist at INSERM?
3 What is INSERM?

4 A INSERM, it stands for the National Institute
5 for Health and Medical Research. It's a state-funded
6 research institute in France which, like the MRC in
7 England, carries out most of the biomedical research
8 in various fields of medical research in France.

9 Q And what were you researching while at
10 INSERM?

11 A Mostly epidemiology in psychiatry. That's
12 how I started my research career, by conducting the
13 first epidemiological survey of child psychiatry
14 disorders in France, in a population-based sample. It
15 was the first time that it had been, it was done.
16 That's how I do a lot of my research career.

17 And then I did a lot of other projects in
18 the field of epidemiology of autism, and then other
19 things.

20 Q And how long did you hold the position at
21 INSERM?

22 A I actually still hold it. I'm just on
23 leave, permanent leave.

24 Q Your CV states that in 1993, you were
25 offered a position at the Maudsley Hospital, an

DR. FOMBONNE, MD - DIRECT

3611

1 institute of psychiatry in London, is that correct?

2 A That is correct.

3 Q And what is the Maudsley Hospital Institute
4 of Psychiatry?

5 A The Maudsley Hospital is one of the most
6 ancient psychiatric hospitals in England. It has an
7 excellent tradition for psychiatric care, both for
8 adults and children. And the Institute of Psychiatry
9 is the research institute or the academy component
10 which is linked to the Maudsley Hospital, where a lot
11 of research findings have been actually established
12 over the last 30, 40 years. Both in the fields of
13 social psychiatry, genetic psychiatry, and clinical
14 trials. It's a very esteemed place in the world where
15 many scholars have been spending time or sabbaticals.
16 It's one of the, it's a mecca of psychiatric research,
17 I would say, still now.

18 Q And did you work with Professor Sir Michael
19 Rutter?

20 A Yes.

21 Q And what position did you hold there?

22 A I was initially appointed as a senior
23 lecturer.

24 Q What is that?

25 A It's an academic position where basically

DR. FOMBONNE, MD - DIRECT

3612

1 you have a clinical appointment at the Maudsley, which
2 is you're working in the National Health Service. And
3 my clinical appointment at the time was actually to
4 run the autism program that Dr. Rutter had been
5 running for years, and take over his role in that
6 clinic, alongside with some other colleagues.

7 I also established a clinic in the field of
8 depression, in child and adolescent depression. So
9 that was my clinical, my clinical part; that's the
10 honorary appointment that academics have at the
11 Maudsley.

12 And then my research piece was attached to
13 the Medical Research Council Child Psychiatry Unit
14 that Dr. Rutter was directing at the time. And I was
15 head of the section on affective disorder research.
16 And I was also quite heavily involved in the autism
17 section of the same child psychiatric research team.

18 Q Now, your CV also states that you are a
19 reader in epidemiological child psychiatry at the
20 University of London, is that correct?

21 A Yes.

22 Q And when approximately did you hold that
23 position?

24 A I think it was about 1997.

25 Q Could you explain to the Court what a reader

DR. FOMBONNE, MD - DIRECT

3613

1 position is?

2 A Yes. It's a British position. It's unique
3 to the British system. So it's really where usually
4 you are promoted from senior lecturer to professor,
5 but there's a contingent of tenured positions. So
6 they often create readership positions in recognition
7 of the particular contributions of someone. And they
8 usually, they create the position and give you the
9 specific title, which recognized the particular area
10 of expertise of the person.

11 So in my case, Kings College London, which
12 is the university which organized all that, created
13 this readership position. And they entitled it in
14 epidemiological child psychiatry in recognition of my
15 work in epidemiology and child psychiatry in general.

16 Q Now you're currently at McGill University,
17 is that correct?

18 A Yes.

19 Q Could you describe your position at McGill?

20 A I have been at McGill since 2001. I am
21 there the head of the Division of Child Psychiatry for
22 the whole McGill University system, which involves
23 three hospitals which are providing child psychiatric
24 services.

25 I am also the head of the Department of

Heritage Reporting Corporation
(202) 628-4888

DR. FOMBONNE, MD - DIRECT

3614

1 Psychiatry at the Montreal Children's Hospital, which
2 is the pediatric hospital of McGill University. And I
3 am the Director of the Autism Clinic within the
4 Montreal Children's Hospital. And I hold as well a,
5 what is called a Canada Research Chair, which is a
6 federal appointment, if you wish, which you promote
7 with the university.

8 Q And are you currently a full professor of
9 medicine at McGill?

10 A Yes. I have a status of a tenured, full
11 professorship at McGill.

12 Q And who do you teach currently?

13 A I teach to McGill University medical
14 students in the domain of autism. I teach residents
15 in psychiatry, when they want to become child
16 psychiatrists, but I teach a range of topics about --
17 assessments. I teach in the field of depression
18 treatments and depression, and of course everything
19 which has to do with autism.

20 I also teach quite a lot with, to
21 pediatricians in our hospital. There are different
22 research groups or clinical groups which want to learn
23 more about autism. I teach to community organizations
24 of pediatricians, of family doctors. Also, I teach in
25 the community-at-large to groups of professors or,

DR. FOMBONNE, MD - DIRECT

3615

1 yes, or community clinics.

2 Q And how long have you been teaching?

3 A Since I think 1983.

4 Q Are you affiliated with any hospital? You
5 mentioned the Montreal Children's Hospital, is that
6 correct?

7 A Yes.

8 Q Do you also give lectures outside of the
9 formal teaching arena to professional groups or
10 organizations?

11 A Yes, I do. I do give, I do go on rounds in
12 several departments of psychiatry or medicine in
13 Canada and the U.S., and sometimes abroad. I do
14 participate in conferences in my domain of expertise
15 and particular associations to which I belong. I do
16 also lecture in various conferences which are
17 organized by family associations, which I have been
18 doing for years.

19 Q Did you participate in a meeting last summer
20 called Autism Europe?

21 A Yes.

22 Q What was that?

23 A That's one of the organizations which is a
24 kind of federation of family associations. Both have
25 a chapter in each of the European countries that they

DR. FOMBONNE, MD - DIRECT

3616

1 get together in this organization called Autism
2 Europe. And they have a conference every three or
3 four years. And they regularly invite scholars to
4 talk about topics. I was invited last year to give a
5 lecture on the topic of epidemiology in vaccines.

6 I was also in terms of being a member of the
7 organizing scientific committee in France. So I do
8 that quite regularly.

9 Q Now, you mentioned that you also lecture or
10 devote time to family-based organizations, is that
11 correct?

12 A To community-based organizations?

13 Q To community- or family-based organizations.

14 A Yes, yes.

15 Q Could you describe briefly what you do with
16 those organizations?

17 A Well, what I have been trying recently is to
18 teach general practitioners, family doctors and
19 pediatricians about the signs of autism, and how to
20 detect them early, and give them simple tools to, when
21 they first encounter them and they interview parents,
22 to identify the red flags of autism, and try to point
23 to -- their care. That's one emphasis.

24 The other domain in which I've been teaching
25 as well quite a lot is about the psychopharmacological

DR. FOMBONNE, MD - DIRECT

3617

1 management of children with autism. I have a specific
2 expertise in a particular psychopharmacology clinic
3 and my hospital with a pediatrician for this
4 particular position.

5 Q Would you please name a few of the
6 professional organizations that you are involved with,
7 or a member?

8 A Yes. I am part of the Association of Chairs
9 of the Academy of Chairs of Child Psychiatry in
10 Canada. I was the President of the organization for
11 three years eight years ago. And I am a member of the
12 Canada Academy of Child Psychiatry, of the American
13 Academy of Child Psychiatry. I think others.

14 Q Were you involved in developing the
15 diagnostic criteria for ICD-10 and DSM-IV?

16 A Yes.

17 Q Can you describe your involvement?

18 A I was involved in two ways. There was the
19 development of the DSM-IV criteria for autism. It
20 really followed a large empirical study, where data
21 were collected in different centers worldwide. I
22 think there were 16 centers, maybe even more. Where
23 we had actually already ICD-10 criteria and DSM-IV
24 criteria were being developed.

25 And we were comparing in the same children

DR. FOMBONNE, MD - DIRECT

3618

1 the ICD-10 criteria which we had proposed, the old
2 DSM-III criteria or DSM-III-R criteria, and the
3 proposed scheme for DSM-IV. So we were collecting
4 data for the assessment in our regular clinics using
5 these different schemes.

6 And these were then sent centrally, and then
7 analyzed to look at what kind of category will be the
8 best, and how we could make ICD-10 and DSM-IV closer
9 in terms of the phrasing of the diagnostic criteria
10 and the development of the best possible involvement
11 (phonetic). So that was an empirically driven study,
12 to really study data as the foundation to develop the
13 criteria.

14 My other involvement in the DSM-IV was that
15 I was with Dr. Arthur, I was involved for one year in
16 negotiations, is a way to put it, on behalf of ICD-10
17 and WHO. We were working with, the working party of
18 the American Psychiatric Association, where there were
19 about 10 or 12 American child psychiatrists who were
20 preparing DSM-IV. And it had nothing to do with,
21 autism was included, but all the other psychiatric
22 disorders were examined. And we had several meetings
23 about crosswalks, and how the two schemes were
24 developing. And we tried to make them as comparable
25 as possible, and that involved in particular a very

DR. FOMBONNE, MD - DIRECT

3619

1 long meeting in New York at one point between the U.S.
2 conference, other issues, actually three persons.

3 Q Do you currently have a clinical practice?

4 A I do.

5 Q As part of your clinical practice, do you
6 diagnose and treat children with autism?

7 A Yes.

8 Q Approximately how many per year?

9 A It fluctuates, but I think my last year has
10 been quite heavy. So I probably have seen 250 or 300
11 new kids in the last year. It was a bit exceptional.
12 But that's what I usually -- so these are new cases.
13 And I also have a caseload of children whom I follow,
14 who are just regular followups, which sometimes extend
15 to adolescence and early adult life.

16 And I also have this particular
17 psychopharmacology clinic, which is more for school-
18 age children or adolescents and younger adults who are
19 already diagnosed, but have, present with severe
20 behavioral problems which have usually failed to
21 respond to proper behavior interventions, and for
22 which we consider the appropriateness of the use of
23 medication to help reduce the nervous disorder
24 behaviors. That's a specific, highly specific level
25 of pain (phonetic).

DR. FOMBONNE, MD - DIRECT

3620

1 Q Do you meet with parents as part of your
2 clinical practice?

3 A All the time.

4 Q In what capacity?

5 A I meet them during the, in the assessments
6 that I do. Currently I tend to see myself more
7 complex cases now, or the cases involving our research
8 programs, which I do the full assessment which
9 involves from A to Z, that last, you know, it's
10 usually several appointments with my team. And I do
11 usually spend three to five hours for any child,
12 including a long feedback session with the parents,
13 which is sometimes followed by a followup meeting with
14 them to deal with all the issues which arise.

15 So I do see a lot of families, young
16 families who have children with autism. And I do meet
17 them, with them, in that kind of context, of course.

18 Q And you've been directly involved in
19 epidemiologic studies of autism, is that correct?

20 A Yes. Yes.

21 Q Approximately how many, can you recall?

22 A I don't know.

23 Q Does 10 sound about right?

24 A Probably, yes. There were two in France, I
25 think two or three in the UK, one or two in Canada.

DR. FOMBONNE, MD - DIRECT

3621

1 And I'm involved in one which is conducted with other
2 colleagues in South Korea, and in the planning stage
3 of one in Mexico and one in Washington.

4 Q And according to your CV, you've published
5 over 160 articles related to childhood developmental
6 disorders and behavioral disorders in general, is that
7 correct?

8 A Yes.

9 Q Are those all peer-reviewed?

10 A Yes.

11 Q And you've published 34 book chapters
12 pertaining to childhood psychiatric and developmental
13 disorders, including the epidemiology of autism, is
14 that correct?

15 A Yes. Many of these chapters relate to that
16 topic.

17 Q And do you currently serve on the editorial
18 board of any journals?

19 A Yes. I'm on the editorial board of I think
20 four journals: The Journal of Child and Adolescent
21 Psychopharmacology, European Journal of Child and
22 Adolescent Psychiatry, a newly formed journal, which
23 is called Autism Research, which is the new journal
24 started by INSAR, and The Journal of Child Psychology
25 and Psychiatry.

DR. FOMBONNE, MD - DIRECT

3622

1 Q Your CV states from 1994 to 2003, you were
2 the Associate Editor of the Journal of Autism and
3 Developmental Disorders, also called JAG. What is
4 JAG?

5 A Well, it has been the leading journal in the
6 field since 1971, when it was called the Journal of
7 Autism and Childhood Schizophrenia at the time, when
8 there used to be confusion. But it is, it was really
9 the leading journal for both researchers, and at the
10 time also practitioners. It has really a very wide
11 readership, and has still a very wide readership, and
12 covers a range of different topics, from treatment
13 interventions and chronic conditions (phonetic) and
14 basic sciences.

15 And now there are new journals which are
16 emerging, which have more scientific biology focus
17 than JAG, which really didn't have much.

18 Q Are you currently a reviewer for any
19 journal?

20 A Oh, yes. I review for JAG still, and of
21 course the journals for which I am on the advisory
22 board, and many, many, many other journals.

23 Q Now, your CV states that you were appointed
24 by the National Institutes of Health as a permanent
25 reviewer, is that correct?

DR. FOMBONNE, MD - DIRECT

3623

1 A Yes. That was between 2002 and 2006. I was
2 a member, a permanent member of one of the -- they
3 changed the name, so one of the scientific review
4 committee, one of the committees which are formed in
5 NIH to review grant applications, and classify them,
6 and ultimately facilitate the funding of research. So
7 I was on one of this committee.

8 I've been also appointed by the NIH as, in a
9 special advisory board that they set up when they did,
10 when they formed the CPA network and the start
11 centers. A lot of the funding came in between 1996 up
12 to currently, a lot of money has been going to fund
13 and develop new research across different domains of
14 research.

15 And NIH has set up a little advisory
16 committee which has met with all the team leaders
17 usually once a year, to look at the progress of the
18 science over these centers.

19 Q Did you have any responsibility for part of
20 the textbook published by the American Psychiatric
21 Association?

22 A There is one coming up textbook on autism
23 that the American Psychiatric Association is
24 preparing, in which I've been asked to write the
25 chapter on epidemiology of autism.

DR. FOMBONNE, MD - DIRECT

3624

1 Q Are you a member of INSAR?

2 A Yes, I am.

3 Q Is that formerly known as IMFAR?

4 A Yes. INSAR is International Society for
5 Autism Research. And the meeting which is organized
6 by INSAR, called INFAR. And I have been at INFAR
7 involved initially in the publication committee, which
8 led to the development of this new autism journal.
9 And I was also part of the membership committee in
10 INSAR.

11 Q Did you just attend the last meeting of
12 INFAR in London a couple weeks ago?

13 A Yes, I did.

14 Q You testified during the Cedillo trial,
15 isn't that correct, Dr. Fombonne?

16 A Yes.

17 Q Other than that case, have you ever
18 testified in court before?

19 A Once, in the case of, should I say the name?

20 Q Was it a Daubert hearing?

21 A Yes. Can I say that?

22 Q The Easter case?

23 A Yes, yes. It was a case in Texas about the
24 receivership (phonetic).

25 Q Doctor, I'd like to turn our attention to

DR. FOMBONNE, MD - DIRECT

3625

1 epidemiology in autism. First I'd like to just lay
2 some foundations of what the different types of
3 epidemiologic study designs. What are the different
4 types of study designs?

5 A Well, epidemiology first is really the
6 scientific discipline which examines the distribution
7 of disease in human populations, and tries to identify
8 factors which modify the distribution that we call the
9 waste factor. And different designs of different
10 strength.

11 One of the strongest designs, what we call
12 the Cohort study, whereby you, basically you try to,
13 you use observational data. I think a key aspect of
14 the epidemiology that I do, that most people do, if we
15 exclude from epidemiology the part of epidemiology
16 which is experimental epidemiology, like masked
17 clinical trial, where we can manipulate who is exposed
18 to what. Most of the other designs rely on data which
19 are occurring naturally, or are just observed by
20 researchers in a way which we try to make meaningful
21 to test. I taught this is about mechanisms and
22 underlying disease in humans.

23 And one way to do it is to have a hypothesis
24 about a particular risk factor, an exposure to some
25 kind of event, if it's a psychosocial event or

DR. FOMBONNE, MD - DIRECT

3626

1 advantage -- contesting, and to look at this exposure
2 in particular individuals lead to an increased risk of
3 the incidence of the disorder when you follow these
4 individuals over time.

5 So the design of these studies is really to
6 have a group of subjects which is exposed, for
7 whatever reason, to this particular risk factor of
8 interest, and have a control group which is unexposed,
9 not exposed to this particular risk factor. And then
10 you follow them over time, and look at how many new
11 cases of disease occur in each of these two groups.

12 And then you compare the incidence in these
13 two groups, in the exposed compared to the unexposed.
14 And then you obtain some kind of measure of disease
15 occurrence, which is called a risk ratio, and which
16 is, if it is one, it means the incidence is not
17 affected by the exposure. And if the exposure has led
18 to an increase in the risk of the outcome, you would
19 have a risk ratio which departs from one, and gets
20 higher -- often the kind of risk ratio that we like to
21 have, at least. So that's one of the designs.

22 Q The next kind, case control study. What is
23 that?

24 A Yes. That study is not really very
25 practical if you have a very rare condition, because

DR. FOMBONNE, MD - DIRECT

3627

1 you need to study many, many, many subjects to have
2 enough studies to compare.

3 So when you deal with rare conditions, or
4 somewhat less frequent conditions, and also because
5 it's sometimes more convenient to do, we can ask the
6 question in sort of a retrospective way.

7 So here we start from finding a group of
8 people who have the disease that is of interest, and
9 we find controls which are not suffering from the
10 disease. And we ask retrospectively if they have been
11 exposed to particular risk factors in -- cases, the
12 cases even more often than the controls exposed to
13 this risk factor in their past. So that's a way to
14 analyze the same question, but the design is
15 retrospective.

16 And the key thing in case control studies is
17 really sampling, in terms of you want to have a
18 representative series of cases, and particularly you
19 want to have a control series, which is representative
20 from the underlying population which has given rise to
21 the art of the case control study often in the choice
22 of the controls.

23 Q So would it be fair to say that a cohort
24 study is based on exposure outcome, whereas a case
25 control study is based on -- I'm sorry. A cohort

DR. FOMBONNE, MD - DIRECT

3628

1 study is based on exposure, whereas a case control is
2 based on outcome. Is that a definition?

3 A Yes. You design your study based on
4 unexposed or exposed in the cohort study, and then you
5 follow it for the outcome. And in the case control
6 study, your starting point is the disease status, and
7 then you look backward at what happened in the past in
8 terms of risk exposure.

9 Q The next type of study is an ecological
10 study? Or we'll go to prevalence study. What is a
11 prevalence study?

12 A Prevalence study is a little like a case
13 control study, which is normal, standard level of the
14 population. But in essence, it's a photograph of a
15 population at a given point in time.

16 And the question which is asked here
17 initially is to ask how many people in this population
18 have the disease which I am interested in studying.
19 So it's a very simple question. There is no passage
20 of time, and you go in the particular population with
21 techniques to sample people, assess their disease
22 status. And then you end up with the prevalent
23 proportion or prevalence weight, which gives you the
24 extent of the magnitude of the problem as it is within
25 that population.

DR. FOMBONNE, MD - DIRECT

3629

1 And then you can look at, under certain
2 circumstances you can start also to look at risk
3 factors which are associated with that disease, when
4 you're using that design.

5 Q The final design, the ecological study.
6 What is an ecological study?

7 A Ecological studies are usually considered to
8 be of a lower level, in terms of the ability that
9 researchers have to draw causal inferences between
10 disease and risk factors.

11 The issue here in an ecological study is
12 that usually you don't have, you contrast rates, rates
13 of the disease and rates of the exposure. So you use
14 aggregate data. So you look at trends in aggregate
15 data, rather than studying individuals in terms of
16 their exposure and their disease status.

17 So for instance, you could look at trends
18 over time in a particular condition. It could be
19 autism, it could be cardiovascular disease. And you
20 could look at trends in diet, for instance, and look
21 at the two trends that seem to correlate together. So
22 you can sometimes find correlations which might be
23 meaningful, but there is a lot of problems with these
24 ecological studies. In some instances they are not
25 done right.

DR. FOMBONNE, MD - DIRECT

3630

1 Q Is an ecological study the same thing as a
2 time-trend analysis? I see some studies describe
3 themselves as a time-trend analysis. Is that the same
4 thing?

5 A Yes. Time-trend or cross-national
6 comparisons would be the same.

7 Q And I know you've prepared a couple slides
8 to articulate some examples of ecological studies.
9 We're now on slide 3.

10 A Yes. On slide 3, that's, for instance,
11 studies on suicide have been using that particular
12 design. So here you see, for instance, if you are
13 interested in some science you can see suicide rates
14 going up over a period of time.

15 And then what usually people will do, they
16 have an hypothesis about what a psychosocial situation
17 might be, which might be explanatory of the trend in
18 suicide rates. Here in this particular case, you see
19 that if you look at the rates of unemployment, it is
20 going up, like the suicide rate is going up. And if
21 you calculate a correlation, you can have a positive
22 correlation.

23 And then the issue is how to interpret this
24 correlation. So there is a phenomenon which is called
25 the ecological fantasy, whereby you can interpret this

DR. FOMBONNE, MD - DIRECT

3631

1 correlation as being, as meaning that it's the rise in
2 unemployment which is leading to a rise in suicide.

3 In fact, you cannot reach that conclusion,
4 because you don't know if those people who actually
5 commit suicide in these populations over time are
6 those who are unemployed. So maybe they are actually
7 applying completely different at the individual level
8 than at the population level.

9 So that's what has been the problem and the
10 difficulty with ecological studies, when you have
11 trends which go in the same direction. Because when
12 suicide rates increase over time, you can take
13 anything which increased over time, and you will have
14 positive correlation.

15 So if you look at another indicator, for
16 instance, now when you look at an increase in agility,
17 it's increasing as well. So that you would have a
18 positive correlation, which might mislead you to
19 interpret that as being causal, because you have a
20 correlation.

21 Or you can have something else even. If you
22 look at that, you have a decrease of gold value during
23 the same period, then you have a negative correlation,
24 which seems to indicate that the lower the gold value,
25 the more people are at risk of suicide.

DR. FOMBONNE, MD - DIRECT

3632

1 So these are a lot of issues which have been
2 well described in the literature of ecological
3 studies. That's when you have this kind of situation
4 when you have something which is increasing, it will
5 correlate with everything which increased in the same
6 period, or everything which increased in the same
7 period. So there is a problem with interpretation in
8 that case.

9 This problem is alleviated in a situation
10 when you have natural experiments. So if you look at
11 the other slides. So if you are to go back to the
12 example of suicide and unemployment, for instance,
13 here we have a different situation, because
14 unemployment is not rising in a sort of lineal fashion
15 over the same period of time.

16 So if there was a relationship between
17 unemployment and suicide, then we should see the
18 suicide rates going up, and then plateauing, and then
19 going down. So that is a situation where we can test
20 more carefully if there is a causal connection between
21 the two.

22 Q And just for the record, Dr. Fombonne is
23 referring to slide no. 4.

24 A Yes. Then even that would be the next
25 slide, which will be kind of a natural, an experiment

DR. FOMBONNE, MD - DIRECT

3633

1 of nature. Where here you have a risk factor, which
2 is unemployment, which fluctuates. And you can look
3 at if these fluctuations lead to corresponding
4 fluctuations in suicide rates.

5 And then you have, for some reason, a
6 complete discontinuation of the exposure. So the
7 unemployment disappears. And you can see the suicide
8 rates are keeping increasing. You can then thoroughly
9 clearly say that there is no relationship between
10 unemployment and suicide, because otherwise you would
11 predict that suicide rates would at least fall to some
12 extent when the, you have the disappearance of the
13 exposure in this population.

14 So when you have a situation of that kind,
15 which is quite rare, a natural experiment that we want
16 to capitalize upon, we can actually draw inferences in
17 a much more solid way. I'm explaining that because
18 it's all about, it's part of the existing literature
19 on systemic autism (phonetic).

20 Q Doctor, what is meant by the term
21 "prevalence rate?" We see that a lot in your studies.

22 A Prevalence rates are just proportions of,
23 these are in studies where, at a given point in time
24 you conduct a survey on a circumspect population, and
25 try to estimate in that population. So you have a

DR. FOMBONNE, MD - DIRECT

3634

1 denominator. You try to estimate how many individuals
2 in this population have the disease of interest.

3 So it's the number of individuals affected
4 by the disease in a population which forms the
5 denominator population which is at risk for the
6 disease.

7 Q Is that different from the incidence rate?

8 A Yes. The prevalence rate is a proportion.
9 It goes from one to zero. Incidence is, in prevalence
10 there is no passage of time. So it's just a
11 photograph instantaneously.

12 Incidence means that you have observation
13 which evolves over time. So you can, you start with
14 people who are at risk, and then you follow them over
15 time, and you calculate the new onset of disease in
16 that population at a given, at five-year followup or
17 10-year followup you calculate the proportion of
18 people who have relapsed, for instance, or have died.
19 These are incidence data.

20 There are different forms of incidence
21 rates, but I don't want to get into that now. The
22 idea of incidence that you have, you observe people
23 over time.

24 Q Turning to the area of autism diagnoses in
25 the United States, has the number of diagnoses

DR. FOMBONNE, MD - DIRECT

3635

1 increased in the United States over the years?

2 A They have.

3 Q And we're looking at slide 6?

4 A I'm now on slide 6, which is the, represents
5 the results published in early 2007, one of the two
6 major surveys conducted by the CDC. These particular
7 slides give the results on eight-year-olds which were
8 surveyed in 2002, and therefore they were born in
9 1994. That represents incidentally the population
10 size of children who have been surveyed is about
11 410,000 children eight years old.

12 It's a large study which is conducted in 14
13 states. And the prevalence here has indicated in the
14 little orange squares. And the average population
15 here, and here we're not talking about not autism
16 narrowly defined, but we are talking about autism
17 spectrum disorders. And there was no differentiation
18 in that study between narrowly defined autistic
19 disorder and PDD. They are all grouped in the same
20 case definition.

21 And the average rate in that particular
22 study is 6.6 per 1,000. Or another way to express
23 that is 66 per 10,000. And just to give some
24 equivalences, because sometimes people don't know, but
25 66 per 10,000 is 0.6 percent. It's also one child is

DR. FOMBONNE, MD - DIRECT

3636

1 150. These are all equivalent ways to express the
2 same findings.

3 Q Slide no. 7. Slide no. 7 is 66 out of
4 10,000. Is that the current prevalence rate of ASDs
5 in the United States?

6 A Yes, that's the best estimate that we have
7 today. And this estimate is highly consistent with
8 studies which have been performed in the UK in recent
9 years, in many, many areas in the world, including
10 Denmark, including the Faroe Islands, including
11 Canada. They have all come up with research more or
12 less in the 60- to 70-per-10,000 range, with some
13 exceptions. Some studies are showing higher rates,
14 some studies are showing slightly lower rates.

15 But if we can go back to slide 6, I think
16 what one issue, one interesting observation on this
17 slide is that the average of 66 per 10,000 is an
18 average. So it's an average for the years in these 14
19 states.

20 But if you look at the state's specific
21 prevalence estimate, it's actually quite variable.
22 You have an extreme on the right-hand side of New
23 Jersey, where their rate is actually 1.06 percent.
24 That is the highest rate in the U.S. in this
25 particular CDC survey. So that's high.

DR. FOMBONNE, MD - DIRECT

3637

1 And then you have, on the third column from
2 the left, the state of Alabama, the rate is 33 per
3 10,000. So it means that in the same study, you have
4 in a state a rate which is as low as 33, and in
5 another state you have a threefold increase in the
6 rate.

7 So even at the same point in time in the
8 same country, you can have threefold variations in the
9 rate, probably and that's how the CDC explained,
10 because the ascertainment of cases in Alabama was
11 four, and much better in New Jersey. So it's
12 important to recognize that, because differences in
13 prevalence rates do not mean that there is an epidemic
14 of autism in New Jersey, or that living in Alabama
15 protects you against autism.

16 Q Now, Doctor, I'd like to talk about the
17 studies that have been done that looked at a possible
18 causal association between thimerosal-containing
19 vaccines and autism. And on Friday we just put
20 together the nine studies that you discussed in your
21 report, is that correct?

22 A Yes.

23 Q I'd like to first turn to the Hviid study,
24 the 2003 study that appeared in JAMA. You filed this,
25 well, it's been filed as Petitioner's Master List 238.

DR. FOMBONNE, MD - DIRECT

3638

1 Doctor, when was this study published?

2 A It's published in the prestigious journal
3 which is called the Journal of the American Medical
4 Association.

5 Q Is that a peer-reviewed journal?

6 A Yes.

7 SPECIAL MASTER VOWELL: One moment, please.
8 We're moving from slide 8 to slide 9 now.

9 MS. RICCIARDELLA: Yes. Thank you, ma'am.
10 We are now on slide 9.

11 BY MS. RICCIARDELLA:

12 Q Is that considered a well-respected journal?

13 A Yes. It's one of the journals, medical
14 journals which has a very high-impact factor.

15 Q And what type of study was this?

16 A So this is a cohort study. It's based on
17 the National Register which existed in Denmark, where
18 they collected everybody that has a unique dense --
19 and they have large -- pool where they have, they
20 follow people in terms of their medical diagnoses of
21 different kinds, coded in ICD-9 and 10 and different,
22 it was 8. And there are also different registers,
23 like they have a register on immunization, for
24 instance, so they could really merge these two
25 registers and look at -- and they could recreate

DR. FOMBONNE, MD - DIRECT

3639

1 retrospectively a cross-study by looking at children
2 who were born between 1990 and 1996.

3 And then because in Denmark there was a
4 discontinuation of thimerosal in 1992, you have, in
5 that sample you have children who had been exposed to
6 thimerosal-containing vaccines. And they knew exactly
7 which vaccines, what was the amount, and other
8 children who had been unexposed to these vaccines. So
9 you can then follow these two groups, exposed and
10 unexposed, and see if the incidence of autism when you
11 follow them up to the year 2000, or to damages
12 occurring. See if the incidence in those who have
13 been exposed to thimerosal is higher or equal to those
14 who have been only vaccinated with thimerosal-free
15 vaccines.

16 So that's the design of the study. It's
17 quite powerful, because that's the kind of strong
18 study we want to have. And just to give precision,
19 that study has in its sample size almost half a
20 million; 417,000 children. So it's really, in terms
21 of sample size, extremely precise.

22 Q And what were the results of the study?

23 A The results of the study was that they
24 looked at the association in different ways. They
25 first compared children who had received all

DR. FOMBONNE, MD - DIRECT

3640

1 thimerosal-free vaccines, compared to children who
2 received at least one thimerosal-containing vaccine.
3 And they found that the incidence in the group was no
4 different.

5 And the other way that they looked at it was
6 they looked at dose response. They looked at how much
7 thimerosal-containing vaccines, children who had been
8 exposed to these vaccines received, to see if the risk
9 of autism was increasing as a function of the dose
10 received of thimerosal. And again, they looked at
11 that, they couldn't find any evidence of a dose
12 response of a threshold at which the risk would
13 certainly increase.

14 Q Dr. Greenland criticized this study in his
15 report as being really not informative to the issue at
16 hand today, because the dose of thimerosal received by
17 children in Denmark differed in the United States. Do
18 you agree that this study is irrelevant to the
19 question before the Court?

20 A You know, it is absolutely relevant, in
21 terms of it examines a range of exposure, which is
22 from zero micrograms to a maximum of 125 micrograms.
23 So in that sense, it doesn't go beyond that limit,
24 that level of exposure, and doesn't really test for
25 risk as you do with higher level of exposure.

DR. FOMBONNE, MD - DIRECT

3641

1 However, in Denmark, if you look at the
2 schedule of vaccinations, Danish children at the time
3 of thimerosal-containing vaccines, when they were at
4 three months old, were exposed at that age to what
5 American children were exposed to. In that sense, the
6 exposure up to age three months is comparable in that
7 study to what happened in the U.S. It's not, it
8 cannot be dismissed in terms of being informative.

9 And again, at the very least it tests for a
10 range of exposure, which is from up to 125 micrograms.

11 Q I noticed that in slide 9 you have a section
12 called "limitations," and you note what the maximum
13 exposure was.

14 A Yes.

15 Q Does this affect the validity of the study?

16 A Not validity. It depends on what you call
17 validity. It affects what we call external validity,
18 so it does not, the findings cannot be generalized to
19 populations where the exposure has been higher than
20 that. That's all we could say.

21 There are many strengths in that study,
22 including the fact that because the children were
23 unexposed to thimerosal-containing vaccines, they were
24 not unexposed because of medical contraindications.
25 They just were unexposed because of a change in the

DR. FOMBONNE, MD - DIRECT

3642

1 fabrication process of vaccines in Denmark. So they
2 were, in terms of indications, the same type of groups
3 as those who were exposed. That's a very important
4 aspect of that study, because it means that the
5 unexposed controls were very likely to be completely
6 similar to the exposed children.

7 Q The next study I'd like to look at is the
8 Verstraeten study. We are now on slide 10. And this
9 has been filed as Petitioner's Master List 247. When
10 was this study published?

11 A In 2003.

12 Q In what journal?

13 A In the Journal of Pediatrics, which is a
14 highly reputable journal in --

15 Q Is it a peer-reviewed journal?

16 A Oh, yes.

17 Q And what type of study was the Verstraeten
18 study?

19 A Again, it's a cohort study where they used
20 the VSD to recreate retrospectively cohorts of
21 children, and look at their exposure to thimerosal,
22 and look at the incidence of autism as they follow
23 them up. So it's a cohort study.

24 The fact that the design was interesting in
25 the sense that they started with two HMOs, and they

DR. FOMBONNE, MD - DIRECT

3643

1 wanted to look at a range of outcomes -- autism was
2 one of them, but they looked at also other
3 developmental outcomes. And these outcomes were
4 selected based on existing published findings from the
5 Faroe Islands. They really looked at what was
6 concerning people at the time.

7 So they selected their outcomes very well.
8 And they decided to look at two HMOs first, and then
9 they decided we're going to look at HMOs, and look
10 only at those conditions which occur in a sufficient
11 number of children. And they set up a criteria of
12 there must be at least 50 children presenting an
13 outcome so we can look at the association, which is
14 reasonable to do.

15 And they said if we find something, some
16 kind of association in one of these two HMOs in a
17 number of children, then we will look in the third HMO
18 to replicate our findings. It was a nice design in
19 the sense of they wanted to generate findings
20 initially, and then replicate them in a separate
21 sample, which is a very nice design when it works
22 well.

23 Q What were the results of this study?

24 A They looked at it in different ways. The
25 exposure to thimerosal, they looked both at the

DR. FOMBONNE, MD - DIRECT

3644

1 quantity of thimerosal received over the, from birth
2 to age seven months. But they looked also at levels,
3 different levels of thimerosal exposure. And both
4 ways using exposure as a continuous variable, or as a
5 category in a variable. I hope I'm not too technical.

6 So anyway, they couldn't find any
7 association with autism. So there was one HMO, which
8 is HMO B, where there were 202 children with autism
9 identified, where they could conduct the analysis.
10 And the analyses were negative looking both ways.

11 So I think the strength of the bar -- that
12 the HMO B had a large population, 210,000. It's VSD
13 has been used to examine to do possible studies to
14 look at vaccines and their effects. And in that
15 particular study, one of the advantages that they
16 could test up to levels of exposure which were
17 meaningful for the U.S. concerns, because the exposure
18 levels were up to the value of one of 87.5 micrograms.
19 And they also did a dynasty confirmation on children
20 with autism in HMO A and B, and found that there was
21 no reasonable, that the electronic codes were
22 confirmed by medical record review.

23 Q Do you consider the Verstraeten study to be
24 a valid study?

25 A I do. I of course am aware of the

DR. FOMBONNE, MD - DIRECT

3645

1 controversy which surrounded that, I think from an
2 external perspective what they are doing is extremely
3 -- beneficial. For me it's a perfectly acceptable
4 study.

5 Q I'd like to turn now to the Stehr-Green
6 study. And we're on slide 11. That has been filed as
7 Petitioner's Master List 230. When was this study
8 published?

9 A In 2003, in the American Journal of
10 Preventive Medicine.

11 Q Is that a well-respected journal?

12 A Yes.

13 Q Is it a peer-reviewed journal?

14 A Yes, it is.

15 Q And what were the results -- first of all,
16 what type of study is this?

17 A This is an ecological study. And you see
18 here one of the findings, and the starting point of
19 this analysis was to look back at what was presented
20 at the Institute of Medicine Committee in 2001, when
21 someone drew a correlation between increasing levels
22 of thimerosal in California and increasing numbers of
23 children diagnosed, pretty much the two lines I showed
24 at the beginning, and showed there is a correlation.
25 And therefore, thimerosal is the causal factor of the

DR. FOMBONNE, MD - DIRECT

3646

1 increased numbers of autism.

2 So they say well, let's look at that.

3 That's what we see in California, but let's look at
4 what happens in two Scandinavian countries where, in
5 fact, we have a different situation again, and explain
6 it to the nature where in Denmark, in 1992, I think it
7 was in March or April, they discontinued the use of
8 thimerosal in the production of vaccines. So there
9 was a way to test if this discontinuation was followed
10 by a fall in the rates of autism.

11 In Sweden it was the same scenario. They
12 discontinued thimerosal in 1993 altogether. And you
13 could see here on this particular graph, it applies to
14 the inpatient population of Sweden. I think these are
15 children which are age two to 10. And you can see
16 that the bars indicate the level of thimerosal, and
17 then it decreases progressively from 1993 onwards,
18 when there is no longer any thimerosal in the
19 vaccines.

20 The same graph can be found from Denmark in
21 the same paper. And what is remarkable in these
22 particular comparisons, Denmark, Sweden, and
23 California, is that first, the rates of ASDs started
24 to increase before there was any change in the levels
25 of thimerosal, both in Denmark and in Sweden. So

DR. FOMBONNE, MD - DIRECT

3647

1 irrespective of if there was no change in thimerosal
2 level, and the rates started to increase. And they
3 started to increase at about the same time in Denmark,
4 Sweden, and California.

5 But then what happened is the rates of
6 increase continued throughout the period of
7 observation, even though, in Denmark and in Sweden at
8 different times there was a total discontinuation of
9 thimerosal. So that really showed you that when you
10 have variation in the exposure level, you have a much
11 more powerful test to look at these correlations than
12 you do in ecological studies. And when you have this
13 opportunity, the findings of California did not hold
14 true.

15 Q I'd like to turn now to the Madsen study,
16 which is Petitioner's Master List 239. We're on slide
17 12. When was this study published?

18 A In 2003.

19 Q In what journal?

20 A In Pediatrics again, the very well-known
21 journal.

22 Q And what type of study is it?

23 A This is again an ecological study. And that
24 study looked at the rates of -- it's again relying on
25 data collected in national registers. They are coded

DR. FOMBONNE, MD - DIRECT

3648

1 in various schemes, ICD-8 first, and then ICD-10 I
2 think in 1993 or 1994.

3 And they look at rates of autism in
4 different age groups, two to four, five to six, seven
5 to nine. I think there are two interesting findings,
6 one which is not fully appreciated maybe in the paper,
7 which is that before 1970 in Denmark, the schedule of
8 vaccinations implied that children who were exposed to
9 levels of thimerosal which were of ethyl mercury,
10 should I say, of 200 micrograms. So the level of
11 exposure in children in Denmark in the sixties, up to
12 1970, was very high, actually comparable to what
13 happened in the U.S. in the late nineties.

14 And you can see here at the beginning of the
15 period of observation, 1970 up until 1976, it's
16 lagged. So basically you can see that those children,
17 some children in these age groups were exposed to high
18 levels of ethyl mercury, and there was absolutely no
19 evidence at the time of epidemic or high rates. So
20 this is one story.

21 And then in 1992, this is where the vertical
22 line, actually the line here should have moved. But
23 in 1992, in March or April, there should have been --
24 they discontinued the use of thimerosal in vaccines.
25 And if you look before 1992, you can see the beginning

DR. FOMBONNE, MD - DIRECT

3649

1 of the increase in the rates of ASDs in two of the age
2 groups. And so it starts before there is any change.

3 And then, when thimerosal is discontinued,
4 you can see that the rates of increase are the same.
5 There is no downward trend that you would predict if
6 there was a strong association between thimerosal
7 exposure and the risk of autism. Again, it's looking
8 at a natural experiment with the total disappearance
9 of an exposure; and therefore, if there was an
10 association, you should see some kind of effect.

11 Q What conclusions did the authors of the
12 Madsen study draw with respect to thimerosal-
13 containing vaccines in relationship to autism?

14 A Well, they concluded that there was not much
15 evidence of an association between the two.

16 Q Doctor, are you familiar with the 2004 IOM
17 report that's been filed as Respondent's Master List
18 255?

19 A Yes.

20 Q And does that report contain a discussion of
21 the Hviid, the Verstraeten, the Madsen, and the Stehr-
22 Green studies that you discussed today?

23 A Yes.

24 Q And what conclusions did the 2004 IOM
25 committee draw with respect to those studies?

DR. FOMBONNE, MD - DIRECT

3650

1 A Well, at that time they received findings
2 from these epidemiological studies. And they said
3 that these epidemiological studies were informative
4 for the debate about causation, a situation which was
5 new compared to 2001, when there were actually no
6 epidemiological studies available in humans about the
7 effects of thimerosal-containing vaccines. And that,
8 alongside other kinds of data, led the committee to
9 reject the hypothesis.

10 Q I'd like to look at some studies that came
11 out after the 2004 IOM rendered its report. I'd first
12 like to look at the Andrews study that's in
13 Petitioner's Master List 4. We're now in slide 13.
14 When did this study come out?

15 A In 2004, in I think September, in
16 Pediatrics.

17 Q In the Journal of Pediatrics?

18 A Yes.

19 Q Okay. And what type of study was this?

20 A Again, it was a cohort study. It's again a
21 study where you can follow up over time children where
22 you know how much immunizations they had received, and
23 look at how many developed autism, and if there is a
24 relationship between the amount of thimerosal exposure
25 and the risk of autism.

DR. FOMBONNE, MD - DIRECT

3651

1 So it's a cohort study. It's population-
2 based, because the study sample is from a large
3 electronic database, which is called a GPRD, which
4 contains -- about four million people. So it's really
5 a large electronic database, which has been shown to
6 be varied in many ways.

7 And the results for autism are shown here.
8 They looked at in terms of how many children received
9 their dose by three months of age, or by four months
10 of age. And they looked at the relationship between
11 number of doses received and the risk of autism, and
12 found that there was no relationship.

13 And again, the Hviid ratio were below one,
14 and the confidence parallels (phonetic) were actually
15 quite narrow, because the sample size is large. And
16 when the last column on the right is looking again at
17 the same exposure, but in a more continuous fashion,
18 and taking into account the age at which the child
19 received the vaccination. So that if a child received
20 the full vaccination complement at an early age, in
21 fact his dose of thimerosal considering his age and
22 weight is somewhat higher. And this is a factor in
23 the analyses, and it shows again no effect.

24 There also in that study, I should say which
25 is an advantage, looked separately at a sample that I

DR. FOMBONNE, MD - DIRECT

3652

1 think had about 2,500 preterm infants.

2 Q Preterm?

3 A Preterm infants. And they couldn't find in
4 this group, as well, any association between -- and
5 the importance of the preterm group is that because
6 they are usually of low birth weight, the relative
7 dose they receive relative to their weight is higher.
8 So their exposure is, in effect, relatively higher
9 than normal-term babies.

10 Q The next study I want to look at is Jick and
11 Kaye, which has been filed as Petitioner's Master List
12 92. And we're on slide 14. When did this, when was
13 this study published?

14 A In 2004. I think it was later in the New
15 England Journal of Medicine, but yes, I think that's
16 what it was.

17 Q What type of study was this?

18 A So that's another design. It's a case
19 control study. And they again used the same UK GPRD
20 database. And that case they looked at in this
21 database, in particular years, children who had a
22 diagnosis of autism, and they matched controls. And
23 the five controls for one case to increase their
24 statistical power. And they were well-matched. And
25 they looked at, you can see here under the main

DR. FOMBONNE, MD - DIRECT

3653

1 results is that if you have got cases of autism, 96
2 percent of these children had been exposed to
3 thimerosal-containing vaccines under exactly three
4 doses of DPT vaccinations. And it was the same
5 proportion of controls who had been exposed to the
6 three DPT vaccinations.

7 There is no difference in terms of exposure
8 to the DPT vaccinations between children which is in
9 the matched controls.

10 This study is interesting, because it's a
11 case control study mastered in a population-based
12 cohort, so there is a good representativeness of the
13 sample, although the sample is small. Which is a
14 limitation of that.

15 Q The next study I'd like you to look at is
16 the Heron study. We're on slide 15. The Heron study
17 has been filed as Petitioner's Master List 14. When
18 was this study published?

19 A 2004.

20 Q In which journal?

21 A In Pediatrics. And this is now slide 15.

22 Q And what type of study is this?

23 A This is called the ASPAC study. It's done
24 in Avon, in the southwest of England. And it's a
25 population-based prospective cohort where women have

DR. FOMBONNE, MD - DIRECT

3654

1 been, 13,000 I think women have been recruited during
2 pregnancy, and their children followed up in multiple
3 ways. And this is an ongoing prospective study.

4 So the importance of that is that it
5 allowed, that population allowed researchers here to
6 look at the effect of multiple confirmed variables,
7 which are often not a variable in the analysis of --
8 autism -- a more limited set of variables could be
9 assessed before their confirmed role.

10 In that study there is a range of outcomes
11 which have been looked at. And most of the outcomes
12 are actually negative, with the exception of one out
13 of 69.

14 Autism was not assessed directly in this, in
15 this paper, because they have worked in the UK. And I
16 know that children with autism are usually, have a
17 statement of their needs with the local educational
18 authorities. So the line which is here, which says
19 NEA, is a group of children which would typically
20 contain a high proportion of autistic children. We
21 don't know how high it is, but that's where they are.

22 And in a way, although it's a proxy measure
23 for autism, one can see here that irrespective of the
24 way you look at the association, there is no
25 association between this category of special needs and

DR. FOMBONNE, MD - DIRECT

3655

1 exposure.

2 Q The next study I'd like you to look at is
3 one I'm sure you're very familiar with, because you
4 did it.

5 A Yes.

6 Q I'm going to slide 16. It's the Fombonne,
7 et al. 2006 study, filed at Petitioner's Master List
8 40. What journal was this published in?

9 A In Pediatrics.

10 Q And what type of a study was this?

11 A So this is again an ecology cohort study,
12 where we identified in a school board in west
13 Montreal, all children with a PDD diagnosis. And we
14 are interested in prevalence, initially. Found a
15 prevalence of 65 per 10,000 in that particular
16 population.

17 And then we looked at, we again capitalized
18 on an experiment of the nature in which in Quebec
19 during that period of time, there were changes in the
20 immunization schedule. And the content of thimerosal
21 of the vaccine used in Quebec.

22 So at the beginning of the period, from 1987
23 to 1991, there were medium levels of exposure to
24 thimerosal, around 100 or 125 micrograms. And then
25 because of the addition of new vaccines, there were

DR. FOMBONNE, MD - DIRECT

3656

1 three or four birth cohorts exposed to levels of 200
2 micrograms, comparable to what happened to the U.S. in
3 the late nineties.

4 And then, because they changed the
5 vaccination system of production, then the last birth
6 cohorts were actually exposed to thimerosal-free
7 vaccine. So we had a nice way, in this ecological
8 study, to test whether the trend in the risk of autism
9 in that particular population was affected in any way
10 by variations in the levels of exposure, and by
11 discontinuation of thimerosal altogether. And we
12 found absolutely no relationship between the two.

13 And moreover, in those children in the last
14 birth cohort, and therefore vaccinated with
15 thimerosal-free vaccines, the average prevalence in
16 that particular group of cohorts was about 80.6
17 percent -- per thousand, significantly higher than the
18 prevalence for all previous thimerosal-exposed
19 cohorts.

20 Q The next study I'd like to look at is
21 Schechter and Grether. We're on slide 17. That's
22 been filed as Respondent's Master List 439. Are you
23 familiar with this study, Doctor?

24 A Yes, I am.

25 Q What type of study is it?

DR. FOMBONNE, MD - DIRECT

3657

1 A It is an ecological study.

2 Q And when was it published?

3 A In the prestigious journal called Archives
4 of General Psychiatry. It's one of the, in the field
5 of psychiatry one of the most reputable.

6 Q And when was this study published?

7 A In 2008, I believe.

8 Q And what were the results of this study?

9 A So they, the idea again was to look at what
10 would happen in California. California has a unique
11 deficit, which is a developmental, the DDS database, I
12 don't know what --

13 Q The Department of Developmental Service?

14 A Yes. They have a database which has it's
15 own limitations, which at least allows to enter with
16 some trends. And as everybody knows, following the
17 recommendation of 1999, there was a progressive
18 discontinuation of the use of thimerosal in the
19 vaccines which you have used in the U.S. Although the
20 exact timing of the total discontinuation of the
21 vaccine is difficult to ascertain, and there are no
22 good data for California exposure to thimerosal for
23 the cohorts in 2000, 2001, and 2003.

24 People were expecting that if there was an
25 effect of thimerosal in the risk of autism, we should

DR. FOMBONNE, MD - DIRECT

3658

1 see a drop in the number of children referred to this
2 public service; and that this drop should be seen
3 starting in 2004 or 2005, where children that were
4 thought to be diagnosed with that had been mostly
5 unexposed to thimerosal-containing vaccine.

6 And that's what they have done here. If one
7 looks at the lower line, the lower line is the number
8 of children with autism, or a disease, for each
9 quarter. They use each quarter, the data are produced
10 for each quarter, so it's a number of new cases.

11 Here it looks only at children who are aged
12 three to five. So by the end of 2003, we would have
13 expected a decline if there was an association. And
14 thimerosal becomes phased out. And you can see that
15 between 2004 up to 2007, there is absolutely no
16 evidence of a drop in the numbers. And in fact, the
17 rates and the slope of the entries in the numbers of
18 children referred to this service is the same as
19 before.

20 I think what another message of that study
21 is, is that the upper line is actually looking at
22 children who have developmental disabilities that not
23 only includes autism, but other kinds of condition, as
24 well. And you can see actually this group increases
25 over time in the three- to five-year-old as well,

DR. FOMBONNE, MD - DIRECT

3659

1 which seems to come out of different studies which
2 have looked at these trends over time in various
3 years.

4 Q So what are the conclusions of the Schechter
5 and Grether study?

6 A That their study really does not support any
7 connection between thimerosal-containing vaccines and
8 the risk of ASD.

9 Q Now, you've included another study in your
10 report that didn't look specifically at autism. I'm
11 referring to the Thompson study that's been filed as
12 Petitioner's Master List 192. And we're now on slide
13 18. Why did you include the Thompson study in your
14 report?

15 A It had relevance in terms of various
16 neurodevelopmental outcomes which have been postulated
17 to be increased following thimerosal-containing
18 vaccines. So there are some data which are
19 conflicting between the Seychelles and the Faroe
20 Islands study in terms of method, okay. We didn't
21 have, up to that study, a good study looking at the
22 range of neurodevelopmental outcomes following
23 thimerosal-containing vaccines.

24 So this study is unique and new in that
25 respect. It's done by the CDC. It's looking over

DR. FOMBONNE, MD - DIRECT

3660

1 1,000 children. This is a cohort study of children
2 who were all born between 1993 up to 1997, so that
3 guarantees that there is a range of exposure in this
4 particular cohort.

5 And they looked at the full group up to I
6 think age seven, or maybe 10. And they invited the
7 children and their families to have direct
8 assessments. So these children are assessed directly
9 by psychologists who are all blind to the amounts of
10 vaccines of thimerosal received by the children.

11 And they used actually 42 developmental
12 outcomes. And they physically looked at all the
13 possible associations, by gender and weight/age
14 (phonetic) combined, and concluded that there was no
15 evidence for an association between thimerosal and
16 neurodevelopmental outcomes.

17 Autism was not part of this study. It's
18 just like other kinds of outcomes in terms of speech
19 delay, language delay, IQ, other kinds of outcomes.

20 Q In what journal --

21 A But there were a few significant findings
22 which were representing statistical random facts.

23 Q In what journal did this study appear?

24 A It's the New England Journal of Medicine. a
25 strong study. They have a somewhat low rate of

DR. FOMBONNE, MD - DIRECT

3661

1 participation, which I calculated to be 54 percent.
2 But there is no reason to believe that there would be
3 a strong selection bias associated with this
4 relatively low participation rate, particularly
5 because they could show that nonparticipants in this
6 study compared to participants had the same type of
7 exposure distribution as baseline.

8 Q Doctor, we have been looking at these
9 studies individually. But do you have an opinion as
10 to what the studies say collectively as to the issue
11 before the Court here?

12 A Well, I think what has been discussed
13 before, each study has its own limitations in terms
14 of, you know, how much control of contents you can
15 have, and the range of exposure which is tested. But
16 what is quite striking is that first, no study has
17 shown that there will be a risk ratio which would
18 depart from one, suggesting that there would be even a
19 trend towards an increase in the risk. All studies
20 show a risk ratio of close to one. Often, actually,
21 on the left-hand side. So there is no evidence
22 whatsoever there is a trend that could be detected.

23 I think that secondly, that the findings for
24 me, although each study could be criticized, is that
25 there is consistency across different populations with

DR. FOMBONNE, MD - DIRECT

3662

1 different study designs of the findings. And this is
2 what I think makes the state of epidemiological
3 findings in the study of these hypotheses is quite
4 robust, allowing us to further reject the hypothesis.

5 Q Okay. Now, other than the epidemiologic
6 studies that you discussed today and in your report,
7 are there other studies that you think are relevant to
8 the question of whether thimerosal-containing vaccines
9 cause autism? Now we're on slide 19.

10 A Yes. I think the number of facts that
11 should be brought in mind, the first thing is that
12 when we look at the Faroe Islands, for instance, or
13 other studies which have looked at methyl mercury
14 exposure, there has been no evidence ever reported
15 that autism affinity was an outcome of methyl mercury
16 exposure or intoxication. So that's something to bear
17 in mind.

18 The second thing is that when one looks at
19 the prevalence of PDDs in different populations, there
20 seems to be no relationship between the levels of PDDs
21 or rates of PDDs, and how much thimerosal the vaccines
22 contain. So just to give an example here, there is a
23 study now published on the Faroe Island population
24 which shows a rate of 56 per 10,000 in this
25 population, whereas we know they are exposed to high

DR. FOMBONNE, MD - DIRECT

3663

1 levels of methyl mercury.

2 And I could give more examples of that.

3 There are some studies, for instance, like recent data
4 from Denmark where, if you look at children born after
5 1992, their rates are now in the range of 62 per
6 10,000; so again, consistent with other rates. And in
7 the thimerosal-free population zero micro -- programs,
8 the rate is 62. In the UK, there are multiple studies
9 where the level of exposure is 75 micrograms, multiple
10 studies showing rates of 60 or 70 or even higher than
11 that.

12 And the rates in the U.S. based on the CDC
13 studies are not higher, despite the higher exposure to
14 thimerosal. So there seems to be no consistency in
15 the relationship, at least on the ecological level,
16 between what's happening in terms of thimerosal
17 exposure and the rates, apparent rates, of OTB.

18 Q Okay. Doctor, are you aware of the
19 existence of epidemiological studies that purport to
20 show an association between thimerosal-containing
21 vaccines and autistic disorder?

22 A Yes.

23 Q Are those the studies done by Mark Geier?

24 A Yes. I mean, the only exception to the
25 consistency which I mentioned is the Goldberg studies,

DR. FOMBONNE, MD - DIRECT

3664

1 published by Geier and Geier, and including the most
2 recent one by Young, Geier, and Geier. And if one
3 looks at their earlier studies, I mean, they have been
4 reputable for having metallurgical flow, which are so
5 major that their contribution to the debate has been
6 actually rejected by the IOM community, in saying that
7 their studies were actually not contributing to the
8 scientific information.

9 Q Were those studies conducted using accepted
10 epidemiological methods?

11 A No.

12 Q Do you agree with the criticisms that the
13 IOM committee put in their 2004 report pertaining to
14 the studies done by the Geiers?

15 A I do.

16 Q Is it accepted practice in the epidemiologic
17 community to rely on study results that are considered
18 uninterpretable?

19 A No.

20 Q Have you reviewed the recently published
21 study by Young, Geier, and Geier that's been filed in
22 this litigation as Petitioner's Master List 665?

23 A Yes, I have.

24 Q And do you consider this to be a valid
25 study?

DR. FOMBONNE, MD - DIRECT

3665

1 A No, it is a flawed study.

2 Q Now we're on slide 20. Could you explain
3 why you don't consider this to be a valid study?

4 A Well, there are many flaws in the study.
5 Again, I think it's using the VSD database, which is
6 actually a nice database to do cohort studies, and
7 they did not use it to do a cohort study or to do a
8 case control study, which is a mistake. And instead
9 of that, they constructed an ecological cohort study
10 based on this dataset, which is bad.

11 There are multiple issues in that study in
12 terms of statistical analysis, but I just wanted to
13 draw the attention of, on this graph, which is what
14 they showed is this black line is what they estimate
15 to be the level of thimerosal exposure in different
16 birth cohorts in that particular database.

17 The database has about over 200,000
18 subjects. And they construed their exposure in a way
19 which is very hard to follow, and they actually do not
20 provide the detailed calculations. And again, as in
21 many of their papers, you cannot actually verify what
22 has been done.

23 But if one looks at this, they did a partial
24 -- regression, which is a complex study. But it boils
25 down to being a regression. So if you look at the

DR. FOMBONNE, MD - DIRECT

3666

1 bars of the rates, what the estimate as being the
2 prevalence rates in each birth cohort in that
3 database, from between 1990 to 1996 -- so these are
4 the bars. And then the black line is the level of
5 thimerosal exposure. And they report a strong
6 correlation.

7 And if one looks at this correlation, if one
8 looks at the three left-handed bars, you can see that
9 there seems to be a strong correlation, because you
10 have a steep increase in thimerosal exposure, and the
11 prevalence is increasing during that three years.

12 Now, if you look carefully at the paper, in
13 each birth cohort they had about 40,000, 50,000 -- I
14 should check the numbers -- but in '91, '92, and '93,
15 they have 15 percent of their sample is between '91 to
16 '96.

17 The bar in 1990 contains only 0.6 percent of
18 their sample. So it's based on 2,000 children at
19 most, as opposed to 40,000 in all of the other bars.

20 So we are now, they are doing like a
21 correlation where in fact the first data point which
22 sells the coalition extremely well is actually based
23 on a very limited sample size.

24 When we do correlation in general in
25 psychological sciences, when we have outliers, we try

DR. FOMBONNE, MD - DIRECT

3667

1 to see if an outlier is actually driving the
2 correlation in one direction. We call that plots of
3 influencing. And if this data point influences the
4 correlation, we remove it.

5 In that particular study, they didn't
6 recheck that. And I suspect they didn't check,
7 because if you check it and if you remove that at that
8 point, what you would see is the correlation actually
9 disappears in the first -- quadrant. There is no, you
10 have a flat line, okay? That's one point. I really
11 find that it is data manipulation.

12 And if you look on the other part, on the
13 three, the two bars on 1995 and 1996, if you read
14 carefully the paper, in fact, these bars are false.
15 They just are based on so-called adjustments that they
16 have made because they think that there is a truncated
17 -- which is probably correct, but that added numbers
18 of children. So these bars are actually not observed
19 numbers of children. They added 45 cases in 1995, and
20 80 invented cases in 1996.

21 So the actual observed numbers are more like
22 what the white sections of the bar are showing. And
23 they added the red sections to make up for some kind
24 of unobserved subjects.

25 It can be sometimes useful to do some form

DR. FOMBONNE, MD - DIRECT

3668

1 of in tradition (phonetic) techniques to address
2 missing data, or censoring, as we call it. But this
3 is just data manipulation, again. And in fact, they
4 just added numbers which do not exist. And if you
5 read carefully their paper, that's what they are
6 doing. And if you remove these adjustments, you have
7 no correlation at the end between the thimerosal
8 increase and the actual observed.

9 So between data manipulation and the data
10 relocation, I think this study is not acceptable at
11 all.

12 Q Doctor, I'd like to turn briefly to the
13 issue of regressive autism. Is it restricted to
14 autistic disorder only? Regression?

15 A No. No, I think that it varies. It varies
16 across studies. But in most studies which I have
17 seen, including the Dr. Lord studies and recent
18 studies by Hansen, for instance, shows that the rate
19 of regression, however you define it, seems to apply
20 across two -- generations.

21 Q And is it a new phenomenon?

22 A No, it is absolutely not new. This is just
23 an example of the British disaster -- in 1964. And
24 you can just show the case one by --

25 Q We're on slide 21.

DR. FOMBONNE, MD - DIRECT

3669

1 A On slide 21. And you can see descriptions.
2 This slide was chosen in particular because at that
3 time there was no measles vaccines at all in use. But
4 anyway, it's an historical slide which shows that
5 regression has been described clinically for decades,
6 and including at the beginning by Leo Kanner.

7 So it's not a new phenomenon, and it was
8 important to recognize it because of the fact that I
9 recall during my training, psychiatrists were
10 interrogating mothers who were reporting this
11 phenomenon, were actually dismissing that, and were
12 saying that the mother was fabricating this
13 experience. So some people were trained with a
14 psychoanalytical mind.

15 So it's an important phenomenon to
16 recognize, because it's actually part of the
17 experience of parents, and has been felt for decades.

18 Q What is the current rate of regression?

19 A It depends how you define it. I think I
20 completely agree with Dr. Lord. It will depend how
21 much, how stringent are the criteria that she used to
22 define regression.

23 If you want to be sure that in order for the
24 skill to be lost, you want the skill to have been
25 shown consistently, as we sometimes do in questions

DR. FOMBONNE, MD - DIRECT

3670

1 which are embedded in the ADI; if you have such a
2 strict definition, we'd have a lower rate. If you
3 broaden your definition, you'd have a higher rate.

4 So the rates are anywhere in between 15, 13
5 percent, 35, even more in more recent studies. I
6 think we have paid attention more to this phenomenon.
7 In the ADI, for instance, there has been improvement
8 in the questions which are looking at regression as a
9 result. The new studies are documenting in a better
10 way more subtle types of regression, and therefore the
11 rates are likely to be more around 30 percent, 40
12 percent.

13 Q Now, on slide 22, you've prepared a brief
14 chart on a study published by Hansen called the CHARGE
15 study. Why did you include this study in your
16 presentation today?

17 A Because it's very recent, and also because
18 it's based on a population base sample from
19 California. So it's just very informative again for
20 debate.

21 Q What does the study tell us?

22 A And it has a large sample, so it's a large
23 sample of 333 children. And they used standardized
24 measures, like the ADI.

25 And the study shows very interestingly that

DR. FOMBONNE, MD - DIRECT

3671

1 again, depending on how you define regression, you
2 have different rates. So if you look at children who
3 lose both language and social skills, the regression
4 rates are 15 percent in that study. But if you look
5 at, if you add to this 15 percent those who just lose
6 either language skills or social skills, it's adding
7 26 percent. So the combined rate of losing either
8 skills or both skills in that study in particular is
9 41 percent.

10 But I think the other interests of including
11 this study is -- and there are many more -- is that
12 they again looked at whether or not this regressive
13 form of autism has distinctive characteristics as a
14 phenotype which might merit that it would be treated
15 differently.

16 The way we validate syndromes again or
17 phenotype syndromes in psychiatry in particular is
18 that we define clusters of behaviors. But in order
19 for these clusters of behaviors to be meaningfully
20 different, we need to look at evidence of correlates
21 which are different. So they should be correlated to
22 different family history, correlated to different
23 validation marker. They should have a different
24 treatment response.

25 So we look at these indices to see whether

DR. FOMBONNE, MD - DIRECT

3672

1 or not these are two different phenotypes, or whether
2 or not they are just variations of the same
3 phenotypes. And that study, alongside many other
4 studies, has again failed to document that the
5 phenotype of regressive autism is different than the
6 normal regressive phenotype.

7 So they looked at the -- seizure history,
8 sleep problems. And most of the clinical
9 characteristics of ADP behaviors, language levels,
10 there were just a few borderline significant findings
11 in terms of, as found, by the way, by Dr. Lord, that
12 their communication skills were slightly lower than
13 the normal regressive type. But otherwise, they
14 looked pretty much the same.

15 And the difference in terms of expressive
16 language levels of communicative behaviors were
17 significant, but not clinically very meaningful. Like
18 two or three points in the environment, something we
19 should not regard as -- and that's the way they
20 compute it.

21 Q Let's turn to slide 23. It discusses a
22 study that you did in 2001, and published in the
23 Journal of Pediatrics. It has to do with regression.
24 What was the goal of your study?

25 A The goal of the study was to look at the

DR. FOMBONNE, MD - DIRECT

3673

1 MMR-induced putative phenotype. But the point of
2 showing this slide today and the next three slides is
3 to look at studies where we can assess trends over
4 time in the proportion of regressive autism. So I'm
5 not interested at all here in the actual level of
6 regressive autism, because it will vary from study to
7 study based on the definition and the tools which are
8 used.

9 But within each study, the definition has
10 been maintained properly (phonetic). That's what
11 helps us to assess whether or not it has increased or
12 not.

13 Q And what did your study conclude?

14 A In that study, even though you could see in
15 that study there was no difference over a period of
16 about 20 years in the proportion of regressive autism,
17 in children who were assessed at the Maudsley Hospital
18 using a common instrument, which was the ADI.

19 Q And slide 24 refers to a study done by
20 Honda. That also looks at whether or not rates of
21 regression have increased over time.

22 And what were the results of that study?

23 A Again, you can see the proportion in the
24 gray shaded area, which is the lower range, are the
25 proportion of regressive autism. And they fluctuate

DR. FOMBONNE, MD - DIRECT

3674

1 in line with the overall numbers of the cases of
2 autism. And therefore, there is no evidence that over
3 that period of time, which is eight years, there is a
4 change in the proportion of regressive autism in that
5 particular study.

6 Q Slide 25 refers to a study done by Taylor in
7 2002. What did that study find with regard to rates
8 of regression?

9 A There was a study based on, I recall, 450
10 children with autism assessed in the northern part of
11 London in the UK. And the average rate of regression
12 was 25 percent, based on, I think, on a program
13 review. But the trend over time is not significant
14 again. So there are fluctuations from year to year,
15 but there was no evidence for an increase.

16 Q Slide 26 refers to another study that you
17 did.

18 A Yes.

19 Q Looking at rates of regression. And what
20 did you find in your study?

21 A That was the variation study that we
22 published based on the GPRD case control study of
23 autism and MMR. So we have looked at records on I
24 think it's what, 300 or more -- no, 178. And we rated
25 the regression in that study. And the only line which

DR. FOMBONNE, MD - DIRECT

3675

1 is important is that which starts with regression.
2 And by different periods, you can see that in that
3 record review, the rates of regression fluctuate
4 between 7.6 percent to 31.7 percent, and the trend is
5 not significant.

6 Q And finally, you include on slide 27 a CDC
7 survey in 2002 speaking to the rates of regression.
8 What did that survey find?

9 A So that's going back to the slide I
10 presented before of the CDC, with the little orange
11 squares. So the orange squares here document the
12 proportion of regressive autism in each of the sites
13 of the CDC studies.

14 So for instance, in Utah you have 31.6
15 percent of the autism sample in Utah who had a
16 regressive course. So that's the regression state by
17 state, as reported in the CDC study, in the official
18 report -- then I was interested to look at what do we
19 know about immunization rates in the U.S., to see if
20 there is a relationship between regression and
21 immunization coverage, that we should probably detect
22 it with that particular study, which has a huge sample
23 size, and over 2,000 children with autism.

24 So as you can see, the rates of regression
25 fluctuate. And I looked, these children were born in

DR. FOMBONNE, MD - DIRECT

3676

1 1994. And the CDC performs regular surveys of
2 children aged 19 months to 35 months, where they
3 looked at how many children, state by state, are
4 covered by which kind of set of immunizations.

5 And here I just took one finding, which is
6 complete vaccine coverage in children aged 19 to 35
7 months. So already 1996, because that's the year
8 which covers the children born in 1994. And these are
9 the rates for those children who have a full
10 complement of immunization; therefore, between '94 and
11 '96, so high doses of thimerosal. And so they have
12 four DPT dose, three polio, one measles-containing
13 vaccines, three Hib, and three Hep-B. So they had the
14 full complement.

15 And if you look at the relationship between
16 immunization coverage with this complete set of
17 immunizations and the reported rate of regression,
18 this is an ecological comparison. So we should be
19 looking at its limitation as it is. But there is
20 clearly no relationship between the two.

21 So if you look at the Utah, for instance,
22 which is the state which has the highest rate of
23 regression, it has also the lowest, one of the lowest
24 rates of complete immunization coverage.

25 The next state, which is West Virginia, has

DR. FOMBONNE, MD - DIRECT

3677

1 a low immunization coverage, and a lower rate of
2 regression. If you look at states which have high
3 coverage, like South Carolina, the rate of regression
4 is actually under 20 percent. So as you can see
5 visually there is no relationship between the two, and
6 if you actually did studies correlating to -- the
7 analysis -- which is simple, looking at the study --
8 correlation between these two rates. And there is no
9 significant relationship, of course. But you can
10 visually assess and appreciate it.

11 Q Doctor, I'd like to turn briefly to the
12 testimony in the report presented by Dr. Sander
13 Greenland. Were you present for his testimony back at
14 the start of this litigation?

15 A I was.

16 Q You heard him testify?

17 A Yes, I did.

18 Q And have you read his report that he filed
19 in this case?

20 A Yes.

21 Q What did you understand to be his principal
22 argument in this litigation?

23 A Well, there are several aspects to his
24 argument. Let's deal with the simple aspect.

25 The argument is a statistical one. So he's

DR. FOMBONNE, MD - DIRECT

3678

1 saying that you have done studies, they are all
2 negative. But you cannot hold out that there might
3 be, may be a subgroup, it might be very, very tiny,
4 which has a unique association with the risk exposure
5 that these studies have examined.

6 And I have no problem with the calculations,
7 the rate on his calculation. Change them, and that's
8 fine. It's the kind of argument you can have for all
9 situations in medicine, where for instance if you have
10 a substance which has been used in random masked
11 clinical trials, in four trials which are all
12 negative; show no superiority of a placebo; you can
13 always have someone who comes back and says, but have
14 you tested the substance in the subgroup which is
15 characterized by such height, or such particular
16 profile. And no, we didn't do it. So you cannot hold
17 out that there is an effect of this medication in that
18 particular. Yes, you can't always say that, but you
19 have a range of negative studies.

20 So the point is that we agree with that, we
21 can all agree with that. But if we are doing that in
22 medicine, we would be always doing studies searching
23 for putative, very rare phenotypes, and we just cannot
24 do that. Unless we have some preliminary evidence
25 that there might be such a sample.

DR. FOMBONNE, MD - DIRECT

3679

1 Q On page 8 of his report, Dr. Greenland
2 states that it's been argued that MCV, which he refers
3 to as mercury-containing vaccines, may trigger
4 regressive autism in a susceptible subgroup of
5 children. And he cites the Blaxill 2004 article that
6 appeared in the Journal of Medical Hypotheses as a
7 source of his information. Do you have an opinion as
8 to the source of this information?

9 A Yes. So if he was coming with a reasonable
10 argument, saying that there is some preliminary
11 evidence that this subgroup has a unique specific
12 association with thimerosal which is not found in
13 other children with autism, then that would be
14 interesting.

15 The fact that he has not been studying, he's
16 just reflecting the fact that these hypotheses have
17 been put forward like six months ago. So there is no
18 reason why the investigator would have studied before,
19 because there was actually no idea, even at the
20 beginning of data, to suggest that it should be
21 studied.

22 So I think you cannot blame the research
23 committee for having not done that, because there was
24 no hypothesis. And when he put forward his
25 hypothesis, which is a theoretical one, in his report,

DR. FOMBONNE, MD - DIRECT

3680

1 the only reference he makes to the published data is
2 an article by Blaxill, et al, in Medical Hypotheses.
3 Which is for him, I think, a bit risky, because we
4 know the quality, or lack of quality, of this journal.

5 And in fact, I read his article for the
6 second time, and you find nowhere in this particular
7 article the idea that there is a clearly regressive
8 autism phenotype which is uniquely associated with
9 thimerosal-containing vaccine. All the article is
10 about the huge epidemic. It's an argument which is
11 about thimerosal vaccines increasing the rates of
12 autism across the board, and there is absolutely no
13 demonstration that this subphenotype or his phenotype
14 is actually even argued for in -- that case.

15 Dr. Greenland, when he was asked during his
16 testimony to refer to medical evidence or biological
17 evidence, or any evidence, he said I don't know. He
18 had no studies to offer, no other references to offer.
19 So it's a note out there (phonetic). It has never
20 been put forward before six months before.

21 And he says -- and that, I think, is an
22 important aspect of his statement -- that he keeps
23 saying it's a prespecified hypothesis, a prespecified
24 idea.

25 Q Does prespecified have a particular meaning

DR. FOMBONNE, MD - DIRECT

3681

1 in epidemiology?

2 A Yes.

3 Q What does that mean in epidemiology?

4 A Exactly what I was trying to say. When we
5 do studies like, for instance, randomized clinical
6 trials, because we know the difficulties when we do a
7 study, the more we analyze the data, the more likely
8 we are to find spurious results. This is the
9 astrology example of Richard Peto, which is a
10 beautiful example.

11 So when you do a study and you have no,
12 let's say you have no results, no execution, no effect
13 of medication, you can then look at various subtypes
14 or subgroups. So these are called post hoc subgroup
15 analyses. You go in your data. You first assess your
16 primary outcome that you have defined before the
17 declaration. And then if you find nothing, you do
18 something to see if there was a subgroup.

19 But we know the dangers of doing that,
20 because the more you do that, the more you are likely
21 to report a positive finding which would be spurious.
22 Well known in statistics, well known in clinical
23 epidemiology, well known in observational epidemiology
24 as well.

25 There is one circumstance where these

DR. FOMBONNE, MD - DIRECT

3682

1 subgroup analyses are actually more authoritative,
2 more accepted, is that if you have primary evidence
3 that a response to a treatment, for instance, might be
4 mitigated by a particular baseline characteristic of
5 the subjects. So you can say I'll do a study of this
6 drug against placebo; I'm going to look at these
7 outcomes. But then I will do a subgroup analysis that
8 I planned to do in advance.

9 It's a prespecified subgroup analysis.
10 Because I know from existing data, published
11 knowledge, something which is already there
12 substantial, that maybe this subject will have these
13 characteristics might be actually different in terms
14 of the response.

15 So if you have a preliminary body of
16 knowledge which allows you to look at the subgroups
17 separately, then you have a prespecified subgroup
18 analysis. That's why you use that terminology as if
19 there was this body of knowledge or variable to
20 actually substantiate that this subgroup analysis, and
21 criticize the fact that it has not been done.

22 Q Did Dr. Greenland have this body of evidence
23 available to him when he used the term "prespecified"
24 to define what he calls clearly regressive autism?

25 A He clearly said he had no idea. He referred

DR. FOMBONNE, MD - DIRECT

3683

1 to the other experts, and the other references cited
2 in his report, his medical hypothesis. Where there
3 was actually no reference to that particular
4 phenotype.

5 Q Speaking of the term "clearly regressive
6 autism," had you heard that term before this
7 litigation?

8 A No.

9 Q Does it appear anywhere in the literature
10 that you're familiar with?

11 A No.

12 Q In fact, Dr. Greenland said in his testimony
13 that he's relying on you for his definition of clearly
14 regressive autism. Do you agree that there is such a
15 thing as a distinct phenotype known as clearly
16 regressive autism?

17 A No. I'm fully in agreement with what Dr.
18 Lord said before: the more we study regression, the
19 less clear it becomes. it can occur after normal
20 development. So I do not agree on this terminology.

21 And also, if he was, in all epidemiological
22 studies you are serious about a subgroup before you
23 actually define your subgroup, you must have a way to
24 define it, measure it. And he gave no indication of
25 how he could actually measure a clearly regressive

DR. FOMBONNE, MD - DIRECT

3684

1 phenotype. And everybody in the field who knows what
2 we do will find it extremely difficult to measure it.

3 So if it's not measurable, it's not
4 investigatable.

5 Q Dr. Greenland also referred to the Werner
6 and Dawson article from 2005 as support for his term
7 "clearly regressive autism." Did he accurately
8 interpret that paper, Dr. Fombonne?

9 A No, I don't think so.

10 Q What does that paper say about a proposed
11 clearly regressive autism?

12 A The paper documents that it's using video
13 analysis at 12 months of age and 24 months of age, of
14 groups of children with early onset autism, a group
15 who had regressed during the second year, and typical
16 children.

17 And the findings are that indeed, at 12
18 months of age the children who were regressive looked
19 more like the typical children on a range of
20 developmental indicators. And that in a way gives
21 some validity to this distinction.

22 On the other hand, although there are close
23 controls that are nowhere typical, they are also
24 different. So one of the findings of the cite, which
25 is the fact that in terms of other nonspecific

DR. FOMBONNE, MD - DIRECT

3685

1 behaviors called regulatory behaviors, there were
2 significant differences, even at 12 months, between
3 the regressive autistic children and the typical
4 control. So this is not, he didn't pay attention to
5 this fact.

6 And then the conclusion that he drew, that
7 50 percent of children with autism might have this
8 regression or would have this clear regressive
9 phenotype is not supported by the discussion that the
10 authors offer, when they say it is possible that the
11 infants with regression did have other types. And on
12 this interview, parents of children with regression
13 noted that their child had regulatory difficulties
14 before the onset of -- symptoms.

15 There is something else. They say later
16 that they cannot pull out the fact that the children
17 who regressed, let's say, at 18 months, in fact became
18 abnormal between 12 and 18 months of age. So I think
19 he overestimates or he misuses the findings.

20 Q So the authors of the Werner and Dawson
21 article even question whether or not there is indeed a
22 phenotype, or any kids who are typically developing.

23 A They conclude that there are some children
24 that regress in the second year of life, that we know,
25 which seemed like the children, normal children are

DR. FOMBONNE, MD - DIRECT

3686

1 different from the early onset at 12 months of age.

2 But then they say we cannot know, because of
3 our methodology, what is the developmental trajectory
4 before they regress. They cannot affirm that at the
5 time where they regressed, they were entirely normal
6 still.

7 SPECIAL MASTER HASTINGS: Ms. Ricciardella,
8 can you identify for the record the reference list and
9 the page he was reading from?

10 MS. RICCIARDELLA: Certainly. We were
11 referring to page, the Werner and Dawson article,
12 which I don't have. Do we know what the reference is?

13 SPECIAL MASTER VOWELL: It's down at the
14 bottom of the page, Petitioner's Master Reference
15 List.

16 MS. RICCIARDELLA: Okay, thank you. And
17 we're looking at page --

18 SPECIAL MASTER VOWELL: 67.

19 MS. RICCIARDELLA: Yes. And on the article
20 itself, it's pages 894 and 895. Thank you.

21 BY MS. RICCIARDELLA:

22 Q Now, Doctor, Dr. Greenland, during his oral
23 testimony in this case, he made comments about your
24 citation of the Webb study in your report. And the
25 Webb study has been filed as Respondent's Master List

DR. FOMBONNE, MD - DIRECT

3687

1 506. Do you agree with Dr. Greenland's comments about
2 the Webb study?

3 A Yes and no. He mentioned that the sample
4 was small, with which I agree. This is not the issue.

5 The issue is that in that particular sample
6 of 28 boys, there were 11 who had the regressive
7 pattern, which -- is 39 percent, in line with what we
8 just discussed. But the critical information here,
9 even though it's a small sample, is that in the
10 regressive subgroup compared to the early onset
11 subgroup, they found that the proportion of children
12 who had macrocephaly by the end of the first year was
13 similar.

14 So you know, it's a very small study, I'm
15 not questioning that. But the point is that it's
16 another indication, which is consistent across
17 different studies, that if you look at the cause of
18 regressive autism, that you don't find differences in
19 terms of family history of the border autism
20 phenotype, in terms of macrocephaly occurring before
21 the first birthday. And then it's another argument to
22 not look at this phenotype as being distinct in terms
23 of its biological mechanisms and the rest.

24 And when he said that, I mean, I agree again
25 with the sample is small, this is what we have, so we

DR. FOMBONNE, MD - DIRECT

3688

1 use what we have. But then he argued during his
2 testimony that even if there is macrocephaly doesn't
3 mean that thimerosal-containing vaccines do not
4 actually act as a double hit on these children, and
5 then precipitate autism.

6 So suddenly in his testimony, he was like
7 reintroducing the fact that it's not the purely
8 regressive phenotype, but that it's thimerosal in
9 general that might actually precipitate it. So his
10 theory changed in his argument in a way which I think
11 is not acceptable.

12 Q Dr. Greenland also made comments about your
13 citation to the Richler study, the study that we heard
14 about from Dr. Lord this morning. Is he accurately
15 interpreting the Richler study, Doctor?

16 A No. I think what he said, and these words
17 may be not exact, but he said in the Richler study
18 there were 72 percent of children with regressive
19 autism who had previous abnormalities. And then he
20 concluded that shows that there are 28 percent who
21 were normal before.

22 This is a leap. He cannot conclude that.
23 What it shows is that in 28 percent of children who
24 have regression, we could not document in that
25 particular study with the two that we have that their

DR. FOMBONNE, MD - DIRECT

3689

1 development was clearly abnormal before the
2 regression.

3 And as you heard from Dr. Lord, it was more,
4 better instrumentation, better retrospective
5 assessment, or even prospective assessment of
6 children, the proportion is likely to go up from 72
7 percent to close to 100 percent, according to Dr.
8 Lord.

9 So I think in no way this study shows that
10 there is 28 percent who really are clearly regressive.
11 It's just that we are limited in the sensitivity of
12 techniques to assess previous abnormal development.

13 Q Dr. Greenland criticized your discussion of
14 the Lainhart study, which is Petitioner's Master List
15 91. Do you have any comments with regard to his
16 criticisms of your discussion of the Lainhart study?

17 A Yes. The Lainhart study is again another
18 way to look at whether or not there is a distinction
19 that could be drawn based on family history between
20 regressive autism and nonregressive autism. So that
21 if, again, the idea is if there is less genetic
22 determination or more environmental mediation in the
23 regressive phenotype, we should find lower rates of
24 familial loading of autism phenotype in the
25 regressive. So there was something that they did.

DR. FOMBONNE, MD - DIRECT

3690

1 The proportion that they report in their
2 study is 23 percent of -- no, sorry. The rate of the
3 proportion (phonetic) is 33 percent in early onset
4 autism, and 28 percent in regressive autism.

5 I referred to this finding as showing that
6 it is comparable. And he said well, I find that
7 actually lower in -- and I find his conclusion to be
8 really a far stretch, because if you actually perform
9 a statistical test between these two proportions, they
10 are actually not significantly different. Actually,
11 the PIU on the Fisher exact test is .78. So it's not
12 even .10 or .07.

13 So the fact that he said well, I see a
14 trend, I think goes against all his reasoning about
15 the confidence intervals. It's true, the sample size
16 is not great. But in that study, again, it shows that
17 a similarity of proportions in the two groups, in
18 which he certainly would not suggest that there is a
19 major difference which has been there.

20 Q Now has Dr. Greenland ever addressed the
21 criticisms that you raised in your report about his
22 argument?

23 A No. In my report I criticized his analogy
24 with, when he says cancer is a broad category of
25 disease, and in which we have types, like skin cancer

DR. FOMBONNE, MD - DIRECT

3691

1 and lung cancer.

2 And I said no, the analogy between skin and
3 lung cancer, and regressive and nonregressive autism,
4 doesn't hold true. Because again, skin cancer and
5 lung cancer, they are cancers, but they are completely
6 different in terms of the symptomatology, the age of
7 onset, the epidemiology, the risk factors, the
8 treatment, the outcomes. You can take any kind of
9 indicator; these are different diseases.

10 Whereas we don't have this evidence in
11 regressive versus nonregressive autism. And in fact,
12 we don't even know how to really secure of the
13 phenotype. And when we look at the differences, we
14 don't find any differences.

15 And what I suggested is that in fact these
16 are two different developmental trajectories,
17 different modes of onset of the same condition.
18 That's how most experts in the field would
19 characterize or would look at regression today. It's
20 just the onset is different. And the onset is
21 different in lung cancer. I took this analogy in my
22 report, where you can suddenly have lung cancer
23 because you have suddenly an enlargement. And then
24 you bleed. And you were fine before, but then you
25 discovered a cancer. That's rapid onset regression,

DR. FOMBONNE, MD - DIRECT

3692

1 if you wish. As opposed to the progressive
2 deterioration -- fatigue, loss of weight -- which
3 would be more like the early onset.

4 So these two different onsets exist in most
5 medical disease. But we do not see these different
6 types of onset or features of onset as characteristics
7 of the disease which allow us to treat them as
8 separate disease categories. This is the fellowship
9 argument.

10 Q Now Doctor, I'd like to talk now about your
11 review of the records pertaining to the two children
12 involved in this litigation. I'd first like to talk
13 about Jordan King.

14 A Yes.

15 Q Did you review the medical records of Jordan
16 King that have been filed in this case?

17 A Yes.

18 Q Did you review the videotape of Jordan King
19 that was filed in this litigation?

20 A Yes.

21 Q Did you listen to the testimony of Mylinda
22 King, Jordan's mother, in this litigation?

23 A Yes, I did.

24 Q In your opinion, Doctor, did Jordan's
25 receipt of thimerosal-containing vaccine cause or

DR. FOMBONNE, MD - DIRECT

3693

1 contribute to his autism?

2 A No.

3 Q Do you agree with the diagnosis of autism in
4 this case?

5 A Yes.

6 Q Is there anything different or unique about
7 Jordan's autism than you encounter with children in
8 your own clinical practice?

9 A No.

10 Q From your review of the evidence, would you
11 characterize Jordan as having what Dr. Greenland terms
12 "clearly regressive autism?"

13 A No. I think when I reviewed his medical
14 record, and when I heard the testimony of his mother
15 the other day, I think I would not disagree with the
16 fact that this child has probably experienced a loss
17 of skills, as we often see.

18 How we date that loss of skill is very
19 difficult. As you know, there are some
20 inconsistencies in the report which I had actually
21 indicated. But if we take the mother indicated the
22 other day that he was using a few words by age 12
23 months, I think she gave example of "shoe," "juice," a
24 few words. He didn't really have more than these few
25 words.

DR. FOMBONNE, MD - DIRECT

3694

1 And then he lost these words at around 18
2 months of age, if I recall correctly. That's when she
3 dates the regression or the loss of skills. And it's
4 both a loss of skills evidence of he didn't use these
5 words any more, but also new symptoms occurred in the
6 social domain. And also I think he was -- so we can
7 agree that there is a kind of change and loss of
8 skills at around that age. And I would not argue
9 really what is the exact date, because it's actually
10 very hard.

11 But if that child was actually using five
12 words or more at age 12 months, there has been clearly
13 no progression. The mother was not seeing, nor in the
14 record does it appear that this child had initially
15 spoken a few words, and progressed -- that's the kind
16 of thing that I think we, Dr. Lord explained very
17 well, that we see sometimes skills which emerge, and
18 then there is a plateauing of these skills which then
19 can be followed by the loss of skills. And it's very
20 clear to me that -- clear, I mean as far as the
21 recorded evidence can suggest. That the language did
22 not progress most likely normally between 12 months
23 and 18 months of age, which is the date of loss of
24 skill that we can record.

25 So I think it's likely that the development

DR. FOMBONNE, MD - DIRECT

3695

1 was not entirely normal before that loss of skills.
2 But it's hard to be, it's hard to be definite about
3 these issues, because it's all based on retrospective
4 assessment. And when you look at the records, just
5 the records which are positive recording, or even a
6 time to reflect -- they do show a high number of
7 inconsistencies in terms of the dates. And that's
8 something that we know well.

9 Q And speaking of the records, are the
10 pediatric records an accurate and reliable measure of
11 normal development the first 12 to 15 months of life?
12 Not just in Jordan, but in all children who are later
13 diagnosed with autism.

14 A No, it's not a tool that you would use to
15 detect. It depends, I think we should characterize
16 what is empirical, what we all mostly find theoretical
17 about the entity, up to a point where it seems to seem
18 very significant.

19 So if they miss a lot of the early symptoms
20 in their examinations, and they are not documented in
21 the record. However, when there is a documentation of
22 symptoms in the record, then usually it's a valid
23 observation. It's not sensitive. Specific, but not
24 sensitive.

25 Q Are pediatricians adept at recognizing

DR. FOMBONNE, MD - DIRECT

3696

1 subtle signs of autism during the first 12 months of
2 life?

3 A No, I think they are not. I mean, the first
4 12 months of life, it's actually very difficult for
5 everyone. There are new guidelines which have been
6 offered by the American Academy of Pediatrics last
7 fall to really promote systematic detection of
8 autistic symptoms in young children by pediatricians.
9 So I think it's coming.

10 But at this point in time, in most areas
11 which I know, there is still a lack of expertise by
12 general practitioners, many doctors and pediatricians,
13 to detect autism. And that's why we have this
14 unfortunate lag in most studies between parents
15 becoming aware of the symptoms or that something is
16 not right in that child, usually at 18 months of age
17 or around that age. And then there is a delay before
18 the child is referred and then diagnosed, which is too
19 long. And then we are aiming at reducing by our
20 education.

21 Q Doctor, in your report you state that it's
22 impossible to draw any conclusions about the efficacy
23 of the various supplements and treatments that Jordan,
24 that comprised Jordan's treatment program. Can you
25 please explain what you mean by that?

DR. FOMBONNE, MD - DIRECT

3697

1 A Well, when the diagnosis was made,
2 understandably -- and that's what I see in my practice
3 all the time -- parents are looking for interventions.
4 And they usually do engage simultaneously in different
5 types of interventions.

6 So in the case of Jordan King, I don't find
7 in front of me the exact -- I think he started to do
8 speech therapy, and there was a form of applied
9 behavioral analysis, which has a behavior intervention
10 which was put in place. And at the same time, some
11 more biomedical treatment of the diet or other kinds
12 of supplementations were implemented.

13 So it's a situation where you have multiple
14 treatments which are initiated by different people,
15 who often do not talk to each other, often. And when
16 there is a change in the child, it's absolutely
17 impossible to ascribe the change in that child to any
18 particular treatment intervention, because you cannot
19 disentangle the effect of one, as opposed to the
20 effect of the other, and you cannot disentangle the
21 effects of intervention from the effect of natural
22 history. Because some of these children do progress
23 naturally, even in the absence of intervention.

24 So I think we cannot really, based on this
25 treatment record, draw any causative inferences about

DR. FOMBONNE, MD - DIRECT

3698

1 which did what to cause that.

2 Q Now I'd like to turn to the case of William
3 Mead.

4 SPECIAL MASTER HASTINGS: Ms. Ricciardella,
5 before we leave the Jordan King case, let me just ask
6 one question about the last answer of Dr. Fombonne.

7 You described there generally, Doctor, how,
8 when there's a lot of different treatments going on at
9 the same time, one can't draw any causal inferences
10 from any improvement or a lack thereof.

11 Now, is that true of Jordan's specific case,
12 that he had a lot of --

13 THE WITNESS: Yes.

14 SPECIAL MASTER HASTINGS: Are you saying
15 that's applied to Jordan's individual case? He had a
16 number of --

17 THE WITNESS: Yes, yes. I'm saying that
18 about him as a specific child.

19 SPECIAL MASTER HASTINGS: Okay. Thank you,
20 Ms. Ricciardella.

21 MS. RICCIARDELLA: Certainly. I'd like to
22 turn to William Mead.

23 BY MS. RICCIARDELLA:

24 Q Same questions. Did you review the medical
25 records of William Mead that have been filed in this

DR. FOMBONNE, MD - DIRECT

3699

1 case?

2 A Yes.

3 Q Did you review the videotape of William Mead
4 that was filed?

5 A Yes.

6 Q Did you listen to the testimony of George
7 Mead, William's father, in this litigation?

8 A Yes, I did.

9 Q In your opinion, did William's receipt of
10 thimerosal-containing vaccines cause or contribute to
11 his autism?

12 A No.

13 Q Do you agree with the diagnosis of autism in
14 this case?

15 A Yes, yes.

16 Q Is there anything unique or different about
17 William's autism than what you encounter in your
18 clinical practice?

19 A No. He's one of the child that I see often
20 in my practice. And I was pleased to hear from his
21 father that there were progresses made by William.
22 And although his language is still not functional, as
23 the father put it, it's still progressing very well.
24 So it was nice to hear.

25 Q And from your review of the record and the

DR. FOMBONNE, MD - DIRECT

3700

1 other evidence in this case, could you characterize
2 that William has clearly regressive autism? Again, as
3 defined by Petitioner's expert.

4 A No, I cannot say that. Again, pretty much
5 like the other child, I would agree that there is a
6 pattern of loss of skills, which is credible in this
7 case, particularly in terms of his language. But I
8 found it very difficult to document exactly the timing
9 of regression, and to assess what happened before the
10 regression occurred. I think I -- okay, yes.

11 Q Go ahead.

12 A No, I was thinking back to Jordan. I'll
13 come back to it later.

14 Q Now, Dr. Mumper testified that when William
15 was treated for a chronic condition caused by mercury
16 by way of chelation, he improved. And therefore, she
17 concludes that thimerosal-containing vaccines are a
18 possible environmental factor that must be included on
19 William's differential diagnosis. Do you agree with
20 that line of thinking?

21 A You're now multiplying, asking more
22 questions along the line of thinking, do I agree with
23 it. I think again it's a situation where when you
24 even listen to the testimony of Mr. George Mead last
25 time, it was clear that when he was diagnosed, the

DR. FOMBONNE, MD - DIRECT

3701

1 parents, as usual, looked for immediate treatments and
2 intervention.

3 They embarked in the gluten-free diet,
4 while at the same time there was also -- intervention
5 which was started, and different supplements and
6 different interventions were provided to William in
7 sequences which again do not allow us to draw
8 meaningful causal inferences about what changed the
9 boy, and what does what.

10 And in particular, I would say that if you
11 look at the treatment by Dr. Green, there are notes
12 about William where he says progress, progress,
13 progress, progress, progress. And then at the end
14 there is no progress.

15 So you really wonder how the treaters do
16 really assess change. So it's a question which I ask
17 myself in my practice. But we have tools that we can
18 sometimes use to evaluate the improvement as a
19 function of our intuition, but none of that was really
20 used in this particular case. So it's very hard to
21 make sense of the behavioral improvements, and where
22 they come from, and what was driving the change of the
23 treatment from session to session. I think it's a
24 mixture of different interventions which are striking
25 for the fact that most of them lack evidence for their

DR. FOMBONNE, MD - DIRECT

3702

1 efficacy.

2 Q The treatment of chelation, Dr. Mumper says
3 that she believes that it, William improved by virtue
4 of chelation; therefore, thimerosal in vaccines must
5 be included as a potential environmental factor on his
6 differential diagnosis. Do you have any opinions with
7 regard to the efficacy of chelation?

8 A No, there is no evidence for the efficacy of
9 chelation therapy at all which is published. There is
10 no reason why you're actually even anecdotally
11 embarking on chelation therapy as a professional.
12 It's not part of any guidelines to treat autistic
13 children by professional --

14 Q Dr. Mumper also testified that William
15 benefitted from secretin as part of his treatment for,
16 specifically for pancreatic enzymes. And she
17 testified that secretin has been shown to restore
18 neurodevelopment. Do you agree?

19 A No, I do not agree on that. And secretin
20 has been shown to actually have no efficacy on autism,
21 despite a huge enthusiasm for the compound in the mid-
22 nineties when this compound was put to a critical test
23 using the method that we use in medicine to look at
24 efficacy of intervention, which is the organized
25 clinical trials.

DR. FOMBONNE, MD - DIRECT

3703

1 For instance, one of those clinical trials
2 showed all that secretin did not differ from placebo
3 in terms of efficacy. So I think we have actually
4 evidence for secretin that we don't have for chelation
5 therapy, but evidence that it doesn't work.

6 So the anecdote that Dr. Buie giving a
7 secretin injection was followed by an improvement in
8 William, it's an anecdote. I am not disputing that
9 observation; I'm simply observing that if, as Mr. Mead
10 said, it was actually one of the times that William
11 was actually more, I don't recall the adjective that
12 he used, but he said more present or something like
13 that. If that was the case, why it was not pursued as
14 a treatment.

15 So I think these are part of the difficult
16 aspects of the parents who have children and they try
17 to do medical things, and we understand why. When you
18 do things, you often observe things which follows as
19 you make correlations or connections that will not be
20 sustained or observed if you have a rigorous
21 experiment.

22 Q And is your opinion with regard to the
23 various treatments that comprised William's program
24 the same as it was for Jordan King, about having a
25 hard time picking out one as being efficacious?

DR. FOMBONNE, MD - DIRECT

3704

1 A Yes.

2 Q Does it apply to William, as well?

3 A Yes.

4 MS. RICCIARDELLA: I have no further
5 questions. Thank you.

6 SPECIAL MASTER VOWELL: Well, given the
7 timeframe, it would be an appropriate time to take a
8 lunch recess. So why don't we reconvene at five to?

9 (Whereupon, at 12:55 p.m., the hearing in
10 the above-entitled matter was recessed, to reconvene
11 at 1:55 p.m. this same day, Wednesday, May 28, 2008.)

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

1 A F T E R N O O N S E S S I O N

2 (1:55 p.m.)

3 SPECIAL MASTER VOWELL: We're back on the
4 record. Dr. Fombonne is on the witness stand. And
5 you may begin your cross, Mr. Williams.

6 MR. WILLIAMS: Thank you, Special Master.

7 Whereupon,

8 ERIC FOMBONNE, MD

9 having been previously duly sworn, was
10 recalled as a witness herein and was examined and
11 testified further as follows:

12 CROSS-EXAMINATION

13 BY MR. WILLIAMS:

14 Q Good afternoon, Dr. Fombonne.

15 A Good afternoon.

16 Q I am Michael Williams, representing the
17 Petitioners' Steering Committee. I am going to cross-
18 examine you about the general causation issues, and
19 then my partner, Tom Powers, is going to cross-examine
20 you about those individual case issues.

21 Where I'd like to start is to try to get
22 your best estimate of the current true prevalence of
23 autism. And we can start by looking at paragraph 64
24 of your report. Do you have your report handy?

25 A Yes.

DR. FOMBONNE, MD - CROSS

3706

1 Q We'll put it up on the screen. It's page
2 25.

3 A Yes.

4 Q And if you blow up the first half of the
5 paragraph, Scott, or highlight it, it would be good.
6 Actually what I want you to highlight is the
7 conservative estimates sentence.

8 Now, Dr. Fombonne, in this paragraph you
9 provide a breakdown of the prevalence rates for four
10 different subtypes of pervasive development disorder,
11 or what we've been calling ASD in this trial, correct?

12 A Yes.

13 Q And you estimate that for autistic disorder
14 itself, it's 13 per 10,000; for PDDNOS, and that's
15 pervasive developmental disorder not otherwise, what's
16 the S stand for?

17 A Otherwise specified.

18 Q Not otherwise specified. That's 20.8 per
19 10,000. For Asperger it is 2.6 per 10,000, and for
20 childhood disintegrative disorder, 0.2 per 10,000.

21 Now, those add up, you say, to a
22 conservative estimate of 36.6 per 10,000. But then
23 you go on to update that with more recent studies, and
24 what I want to ask -- and that's where you come up
25 with your, on slide 7, your 66 per 10,000. That's a

DR. FOMBONNE, MD - CROSS

3707

1 fair summary of what your views are?

2 A What's the question exactly?

3 Q The question is --

4 A Oh, on this slide, yes.

5 Q -- when you say six recent epidemiological
6 surveys yielded higher rates, in the 60- to 70-per-
7 10,000 range, you provided a slide that said it was
8 66.

9 A Yes.

10 Q That's your current best estimate of the
11 current prevalence, right?

12 A Yes, 66, 70, 65. I used in that slide the
13 estimate from the CDC because it's relevant to the
14 U.S. and it's actually consistent with most recent
15 surveys. Or so I think it's a reasonable figure. I
16 don't think it has to be taken as an absolute truth.

17 Q Right. When you give decimal-point
18 precisions of 20.8 per 10,000, are you confident about
19 those decimal points?

20 A No.

21 Q No?

22 A I mean, you have to understand the method by
23 which I arrived at these estimates. These first very
24 conservative estimates are based on a review of all
25 published studies, of which I've looked at the most

DR. FOMBONNE, MD - CROSS

3708

1 recently published surveys over the last 15 years.
2 And I go over them to get average estimate of the
3 prevalence of each subtype of PDD. So it's a method
4 which is, you could criticize, and I'm not looking at
5 it as absolutely perfect. It was a starting point.

6 And this is really averaging studies, which
7 are very different in designs and methods, so I know
8 it's a kind of mixing with apples and oranges. So
9 that was what we had up to the late nineties. We had
10 studies which were very different.

11 Then the next statement is looking at
12 studies which have been published since about 2000,
13 where new methods were developed, and more precise
14 case finding methods were used for different
15 populations, more precise case definitions were used,
16 tools to match the case definitions were modern this
17 time. So there was a new generation of study, if you
18 want, which started in England, and also in the U.S.
19 And then now most studies which have used similar
20 kinds of methods are giving a range of estimates, but
21 the range which is the most attractive, if you wish,
22 is between 60 to 70 today.

23 I'm sorry, and the two CDC surveys, the
24 survey done on the children born in the U.S. in 1992
25 and the other survey on children born in 1994 were all

DR. FOMBONNE, MD - CROSS

3709

1 surveyed at age eight, provided within the U.S. two
2 highly consistent estimates of 66 and 67, I think, in
3 10,000. And then the calculations are using the CDC
4 estimate, because it's natural to do that.

5 Q Let me suggest that we -- I'm going to try
6 to ask questions that don't require really long
7 answers.

8 A I'm sorry.

9 Q And you know, if you need to explain
10 something, you will get a chance on redirect to do
11 that. But let me show you a slide I prepared, because
12 I want to now unpack this just a little bit with you.

13 Now, this is a slide that we prepared. And
14 this has your totals that we've already gone through
15 from paragraph 64 on the left side, that added up to
16 36.6; but in your report you don't give a breakdown of
17 the prevalence rates for the four subtypes. And I
18 wonder, do you have an estimate for those subtypes
19 within your overall number of 66?

20 A No, no. It depends which study you take.
21 But for instance, the CDC surveys have not separated
22 out children with autistic disorder and children with
23 PDDNOS, which both conditions fall in the bulk of the
24 cases.

25 So we cannot really, from these particular

DR. FOMBONNE, MD - CROSS

3710

1 surveys, derive estimates for autistic disorder of
2 PDDNOS. So that's one aspect.

3 Secondly, in other surveys where it has been
4 done, it seems that the results of studies are
5 consistent for the overall estimate of the prevalence
6 of the combined formal types of PDDs. But where
7 people draw the line between autism and PDDNOS seems
8 to be less reliable. So that would be more difficult
9 to do based on recent surveys.

10 Q Well, do you have an estimate of what would
11 go in those boxes? Or are you just saying you don't
12 know what would go in those boxes?

13 A I have estimates in my own study, but they,
14 in other studies they are different.

15 Q Do you think that the proportions that were
16 present in the earlier survey would stay roughly the
17 same?

18 A They tend to be, they tend to be more or
19 less like these in most studies, but not all of them.

20 Q Well, is there any one of those that you've
21 known has changed in proportion, from what it was in
22 the first number?

23 A No. CDD is still extremely rare. Autistic
24 disorder, probably the incidence of it would be 20,
25 22. In most studies PDDNOS is more like 30, 34, 35.

DR. FOMBONNE, MD - CROSS

3711

1 Asperger is a kind of a very illusive phenotype, which
2 I think is unlikely to persist in the next
3 classification. And CDD is extremely rare.

4 Q All right. Well, we'll leave the question
5 marks there then for now.

6 Now, you believe that this estimate of 60 to
7 70 per 10,000 for the entire spectrum, that that rate
8 is true not just of the United States, but also of
9 Canada, right?

10 A That's the rate we had -- yes, in my survey
11 which I published two years ago, we had a rate of 65.

12 Q And also in Europe?

13 A I mean, there are new studies which are in
14 progress, which show rates which are sometimes higher,
15 sometimes slightly lower. And you have to look at the
16 methods used in each survey to interpret this
17 viability and estimates.

18 Q Do you have any reason to think that the
19 prevalence rate of the total spectrum of ASD is
20 different in Europe than it is in North America?

21 A No.

22 Q No. What about the rest of the world? Is
23 it roughly the same around the world?

24 A It's a difficult question to answer. But
25 from what we know, firstly we find autism in most

DR. FOMBONNE, MD - CROSS

3712

1 countries when it has been surveyed. There are now
2 rates in Japan which are very high. They were high
3 before, but there are new studies coming up which show
4 high rates.

5 There are new studies in England showing
6 higher rates as well. So there are studies showing
7 higher rates, and others which show somewhat lower
8 rates than this range I gave. So it's going to, it's
9 likely to change as the, in the next five to 10 years.

10 The reason is that if you look at the slide
11 of the CDC, you know, you have this high rate, for
12 instance, in one percent in New Jersey. In Alabama
13 it's like a third of that.

14 Now, it's supposed to, on the average is 66,
15 okay. So the average is an average. So if the CDC
16 goes back in the field in 10 years from now, hopefully
17 in Alabama there will be more services, more
18 awareness, and the case finding in Alabama will be
19 more efficient, so it will not decrease in New Jersey.
20 So it's very likely that this average is likely to go
21 up not as a function of change in the incidence but
22 improvement in cases seen down there.

23 Q Well, do you have any current estimate of
24 what the true prevalence rate is then in the United
25 States? Not just what these imperfect studies have

DR. FOMBONNE, MD - CROSS

3713

1 shown so far. Do you think it's higher than 66 per
2 10,000?

3 A No, I don't think it is. I don't know.

4 Q Well, I thought you just explained that you
5 expect Alabama to come up, and New Jersey not to come
6 down. Won't that raise the overall prevalence rate
7 above 66?

8 A Yes. It will not be surprising that the,
9 again, within the methodology of the CDC in the
10 future, they would show higher average estimates for
11 the U.S. But how much higher, I don't know.

12 Q Okay. Now, do you think that this
13 prevalence of the entire spectrum has been the same
14 for the last 20 or 30 years in this country? No
15 significant change in the true prevalence rate?

16 A You have to explain to me what is a true
17 prevalence rate because when we do a survey, we have
18 an estimate, an estimation. That's what we found,
19 that's the estimate. The estimate is meant to tell us
20 something about the true barometer among the
21 population. So the true barometer we never know. So
22 it depends on the bias and the precision which is
23 attached to our estimate.

24 Q I understand.

25 A Do I know the true prevalence rates now or

DR. FOMBONNE, MD - CROSS

3714

1 in the past? No, I never know. I rely on estimates.

2 Q But you do believe the true rate now is
3 probably higher than 66 per 10,000.

4 A It may be slightly higher, yes. It's
5 possible.

6 Q Well, do you think that it has increased in
7 the last 20 years? The true prevalence rate in the
8 United States?

9 A It's hard, you know, it's hard to evaluate
10 these questions. That's a question about trends over
11 time. So if you are asking the questions why current
12 estimates of PDDs seem to be higher than the rates
13 which were published 20 years ago, for instance in the
14 UCLA Utah survey --

15 Q I'm not asking you what the studies show,
16 because I know you think that those studies failed to
17 ascertain all the cases. And they didn't have the
18 same broad diagnostic criteria that we now use. So
19 they were more of an underestimate than than the one
20 today.

21 A Yes.

22 Q What I'm trying to get at is, is it your
23 concept of this disease that its prevalence rate has
24 essentially stayed unchanged? However difficult it is
25 to measure that, has the prevalence rate essentially

DR. FOMBONNE, MD - CROSS

3715

1 stayed unchanged for the last 20 or 30 years?

2 A I don't know. I always I think said in what
3 I replied on these questions that one of the major
4 reasons for the increase in the prevalence estimates
5 have to do with the broadening of the concepts, the
6 change in domestic criteria, improved awareness,
7 better case findings. So we know that all these
8 factors could account for a large proportion of the
9 increase, and maybe all the proportion. I know we
10 cannot really be sure about that.

11 But it's still an open question as to
12 whether or not what I would call the true incidence
13 rate in the population has actually also gone up to a
14 certain extent. That we cannot rule out, or in, that
15 it's the case.

16 Q In your report you actually describe some,
17 what you claim are cases of autism from historical
18 examples, hundreds of years ago, right?

19 A Yes.

20 Q Do you think that the true prevalence rate
21 was the same several hundred years ago as it is today?

22 A I don't know. It's a very, it's very hard
23 to answer this question. I have not done the
24 historical studies. There were probably many children
25 who were autistic, and not recognized as such.

DR. FOMBONNE, MD - CROSS

3716

1 And as in today's populations in developed
2 countries, there are many adults who were undiagnosed.
3 That's what we know. I run an adult clinic; I can
4 tell you that I am referred very regularly usually
5 high-functioning autistic individuals who have a
6 typical history of autism and have not been diagnosed.

7 So your question is a good question. It's
8 very hard to address it with data. So I don't know
9 what was the true prevalence.

10 Q Let me take you back through evolution. Has
11 there ever been any assessment of autism in primates?
12 I mean, is there any hint at all that primates other
13 than humans have ASD?

14 A I don't think it would be -- primates do not
15 have autism, so it would be difficult to evaluate
16 that.

17 Q Primates are subject to virtually all of our
18 other diseases, aren't they?

19 A I don't know that.

20 Q Okay. Then let's talk about the
21 relationship between prevalence and incidence.

22 If the prevalence rate stays relatively
23 steady over time, does that mean that the incidence
24 rate needs to stay steady over time, also? In other
25 words, if you don't have a change in prevalence, can

DR. FOMBONNE, MD - CROSS

3717

1 you have an increase in incidence anyway?

2 A It depends on several factors, like
3 motility, for instance. And this is a life-long
4 handicap, so you would expect that people who have the
5 disease stay in the population, and the prevalence
6 stays the same.

7 Now, if they die from their disease, it
8 might, the prevalence might decrease as a function of
9 that, with age, for instance. Even with incidence
10 being constant. There is some evidence that mortality
11 rates are slightly increased like twice or three
12 times.

13 But other than that, yes. If the prevalence
14 is stable, you would assume that there is a constant
15 incidence rate.

16 Q And if we confine ourselves to children
17 under age 20, as you have in slide 7, you give an
18 estimate of the number of U.S. children under age 20
19 who meet the ASD criteria. As each birth cohort
20 graduates to age 21, if the incidence rate is staying,
21 if the prevalence rate is staying the same, you would
22 expect that the new birth cohort coming in will have
23 the same incidence rate, right?

24 A The same prevalence or incidence?

25 Q If the prevalence rate of under 20 years old

DR. FOMBONNE, MD - CROSS

3718

1 in the, let's call them children under 20.

2 A Okay.

3 Q If that stage has stayed the same for the
4 last 10 or 20 years, wouldn't the incidence rate in
5 that group also have had to stay the same?

6 A Yes, probably.

7 Q Okay. And is the incidence rate also, then,
8 66 per 10,000?

9 A No, that's not the way you calculate the
10 incidence rate.

11 Q I can't hear you, I'm sorry.

12 A No, it's not the way you calculate an
13 incidence rate. You have to have different measures
14 to calculate incidence. It depends which kind of
15 incidence you are talking about. Incidence referred
16 to person years as a denominator, so it's more complex
17 than that.

18 Q Well, let's talk about newly diagnosed
19 cases. If the prevalence rate in the 20-year-olds is
20 66 per 10,000, and then they all become 21, don't you,
21 in order to keep the prevalence rate the same in that
22 next year's group of under 20, you would have to have
23 just as many new diagnoses of autism in order to
24 replace the ones that just became 21, wouldn't you?

25 A You mean in the 20-year-old cohort?

DR. FOMBONNE, MD - CROSS

3719

1 Q Yes.

2 A Yes, yes.

3 Q Okay. Now, when you calculate that the
4 prevalence is one child in 150, are you counting the
5 one-year-olds and two-year-olds in that population?

6 A No. You don't have to. This is based on
7 the CDC surveys, which are only looking at children
8 aged eight. So it means that in children aged eight
9 today in the U.S., based on the study, one child, aged
10 eight, out of 150 has an ASD.

11 Q Okay. And you believe that the age-specific
12 prevalence rate at age eight has stayed relatively
13 steady for the last 20 years or so.

14 A Not the prevalence rate, no. Because it
15 has, again, there wasn't ascertainment in the past.
16 So if you look at age-specific, like an eight-year-
17 old, 20 years ago you would have a lower prevalence
18 rate.

19 Q As to whether or not there has been an
20 epidemic of ASD in this country over the last 20
21 years, it's your opinion that there is no good
22 evidence of that, right?

23 A No. There is, I think no one can really
24 affirm that there has been an epidemic in the sense of
25 an increasing incidence of autism or ASD. The

DR. FOMBONNE, MD - CROSS

3720

1 prevalence has increased, there is no doubt about
2 that. But it's reflecting the factors which I
3 described before, and we don't know if in addition to
4 these factors, which have to do with how we
5 conceptualize and diagnose the phenotype and how we
6 identify cases, we do not know if in addition to that,
7 there might be also the contribution of a real change
8 in the incidence of the condition. That's an
9 important question. It's an important question. But
10 there is no definite answer.

11 Q And I think Dr. Rutter agreed with you
12 yesterday. Let me try to see if I can say this
13 precisely for you.

14 You and Dr. Rutter seem to both believe that
15 there is no good evidence of any increase in
16 prevalence or incidence of the entire spectrum, but
17 you don't know whether there was an increase. You
18 just don't think there is any evidence for that. Is
19 that a fair summary of your view?

20 A Yes, except that I need to qualify what you
21 said. It's not about prevalence, it's about
22 incidence, okay? We all agree that there has been an
23 increase in the prevalence. The real question, I
24 think, behind the epidemic hypothesis is whether or
25 not there has been an increase in the incidence of the

DR. FOMBONNE, MD - CROSS

3721

1 disorder.

2 And for that, yes, we all agree that the
3 evidence, there is no positive evidence to support
4 that at this point in time. It doesn't mean that it's
5 not happening. We cannot hold that out. So it's an
6 important question which remains to be studied.

7 Q Now, we have heard some of the experts, even
8 for the defense, agree that there have been some cases
9 of autism probably induced by things like rubella
10 infections in Mama, by thalidomide given to pregnant
11 women; perhaps by terbutaline given to pregnant women.

12 Do you think that the number, the absolute
13 number of those cases that at least were purportedly
14 induced by these environmental factors, would they be
15 so small that they would not show up in any of the
16 majors, for instance, or prevalence that we have?

17 A Clearly, the risk attached to these
18 exposures is maybe high. In relation to thalidomide,
19 I think the risk ratios or other ratios of 20 or 30
20 have been reported, or even higher than that.

21 But even if the strength of the association
22 is high, you have to factor in the prevalence of the
23 exposure. And because these exposures are extremely
24 rare, the proportion of cases which is attributable to
25 these rare exposures is extremely low.

DR. FOMBONNE, MD - CROSS

3722

1 Q Absolute number is very small.

2 A Yes. Another way to put it, if you take
3 1,000 children with a PDD diagnosis, it's only a
4 handful of them who would have had autism through
5 these rare exposures. That's what we could conclude.

6 Q And any increase caused by those small
7 numbers would be lost in the statistical noise of the
8 measurement of the overall prevalence, right?

9 A Probably.

10 Q Now, in 1997 you published a prevalence
11 study that I want to discuss with you just briefly.
12 This is RML-149. It's a DOJ Exhibit.

13 A Thank you.

14 (Pause.)

15 Q We put the title and the abstract up. Now,
16 this is a survey that you did. It says the objective
17 was to estimate the prevalence of autism. And that
18 was one of your objectives in this paper, right?

19 A Yes.

20 Q And then in the results section of the
21 abstract, if you could highlight the sentence, Scott,
22 that says the prevalence rate was? That's all.

23 Now, when you did this prevalence study back
24 in 1997, when you counted all the pervasive
25 developmental disorders, you only got a prevalence of

DR. FOMBONNE, MD - CROSS

3723

1 16.3 per 10,000, right?

2 A Yes.

3 Q And that included all four of the categories
4 we talked about.

5 A No.

6 Q No? Which ones did you leave out?

7 A Yes and no, yes and no. You have to
8 understand the methods used in this survey. It was
9 based on children who were school-age physically, and
10 identified in their local educational authority as
11 having special needs. So that at the time -- and
12 these children were born between 1976 and 1985. So we
13 are going back 30 years now in history.

14 And so these are children who are referred
15 usually by local psychiatric teams or schools, but
16 mostly psychiatric teams, to get support in the school
17 system. And at the time, awareness in France about
18 autism was extremely minimal, and there is still
19 actually I think --

20 Q Well, is it fair to say that when you did
21 this survey and published it, that you, because of
22 your limitations on methods, you greatly
23 underestimated the prevalence rate, didn't you?

24 A Probably, because there are many children
25 who were autistic, high-functioning with language, who

DR. FOMBONNE, MD - CROSS

3724

1 were not easily identified in our survey. So yes, it
2 would probably have been an underestimate of the true
3 population rate. But that's, most surveys, by
4 definition, provide underestimates of the true
5 population rate in that field of research, so it's not
6 a surprise. But it was still at the time an estimate
7 which was actually surprisingly high, considering the
8 context in France.

9 Q But don't you think that if you surveyed
10 that same group of kids, and had had DSM-IV and the
11 ascertainment awareness that we have today, you would
12 have gotten a much higher prevalence?

13 A Yes. Yes, absolutely.

14 Q Probably as high as 66 per 10,000.

15 A I don't know.

16 Q Now let's turn to your discussion of time
17 trends. You have a section of your paper -- I mean
18 your report, excuse me. I want to start with
19 paragraph 68 if we can of your report. That's on page
20 26.

21 You say the time trends and rates can only
22 be gauged in investigations that hold these parameters
23 under strict control. And I think by parameters,
24 you're talking about case definition and case
25 ascertainment, correct?

DR. FOMBONNE, MD - CROSS

3725

1 A Yes, correct.

2 Q Then you say, "This was achieved only in a
3 handful of studies." What studies are you talking
4 about in that sentence, the handful of studies?

5 A I had in mind the time trend analysis that
6 was published in the paper that you just mentioned
7 before. That was the first time that there was an
8 examination of time trends in the prevalence of autism
9 in the French surveys.

10 When I pulled together the results of
11 different surveys in birth cohorts from 1971 to 1985,
12 and I looked at trends to see if there was evidence of
13 an increase or not, it could be interpreted more
14 meaningfully because I pulled together three different
15 surveys which employed the same case definition and
16 the same method. So that's one of the studies which
17 could do that.

18 Q You said, you used the plural, though. I
19 just wondered what, aside from your own 1997 study,
20 what other studies are you talking about in this
21 sentence?

22 A Other studies than this one?

23 Q Yes. Well, you say there's a handful of
24 them. I assume you mean more than one.

25 A Okay, yes. Okay, let me go on.

DR. FOMBONNE, MD - CROSS

3726

1 Q Which ones are they?

2 A The studies that we've done in England with
3 my colleague, Chakrabarti, where we published first a
4 survey in 2001 in a given area of the midlands in the
5 UK, on children born 1992 to 1995. And when that was
6 completed, we, because we had an opportunity to do a
7 repeat survey with the same approach in the same area,
8 so the methods were the same, the case assessment was
9 the same, we repeated a survey in children born in
10 subsequent years. And we found that the rates were
11 similar; there was no difference. So it was a small
12 time interval, but by holding the methods constant,
13 there was at least, within those years, no evidence
14 for an increase.

15 Q Were you looking at the full spectrum of all
16 four types of ASD?

17 A Yes, yes.

18 Q And what was the prevalence rate that you
19 found in those two time periods?

20 A If I recall, one was a 63.6 in the first
21 survey, and 59-point-something in the second one.

22 Q So more along the lines of what Dr. Rutter
23 called our modern numbers.

24 A Yes.

25 Q Right, okay. Now, the next sentence in the

DR. FOMBONNE, MD - CROSS

3727

1 same paragraph says, "In addition, factors such as
2 development of services and support systems for
3 children with autism," and we go to the next page,
4 "improved awareness by both professionals and
5 laypersons, decreasing age of diagnosis, availability
6 of information from the internet, parent support
7 groups, and the removal of the stigma, have all
8 contributed to the increasing rates of diagnosed ASD."
9 And you believe that to be true.

10 A Yes, I do.

11 Q In fact, you believe that those factors
12 explain the apparent increase in prevalence rates over
13 time.

14 A Contribute to the apparent increase, in a
15 significant way.

16 Q Is there any other factor that you're aware
17 of that contributes to the apparent increase in
18 prevalence that you haven't enumerated in this
19 paragraph?

20 A Let me see. Yes. I would think, for
21 instance, that change in the educational system, the
22 availability since the late eighties, early nineties
23 of behavioral interventions, the efficacy of which was
24 first demonstrated at that time, has changed
25 dramatically the likelihood that a child would earn a

DR. FOMBONNE, MD - CROSS

3728

1 diagnosis of ASD, as opposed to a long-range disorder,
2 or as opposed to mental retardation.

3 Q Now, you then say that, "A few approaches
4 have been employed to evaluate time trends and rates
5 of autism." And you give three categories: referral
6 statistics, comparison of prevalence studies, and
7 incidence studies.

8 Then I want to turn our attention to the
9 referral studies. You use as an example the
10 California Department of Developmental Services, don't
11 you?

12 A Yes.

13 Q And in the California Department of
14 Developmental Services statistics, there has been an
15 increase over time in the prevalence, or excuse me, in
16 the incidence of autism, right?

17 A Prevalence is okay.

18 Q What?

19 A Prevalence is fine.

20 Q Prevalence is fine?

21 A Yes, yes.

22 Q Okay. There has been an increase in
23 prevalence.

24 A Yes.

25 Q And you believe that that is a result of

DR. FOMBONNE, MD - CROSS

3729

1 these types of changes in sort of the social milieu,
2 not in the underlying disease.

3 A Yes. I mean, I assume that a large
4 proportion of that increase is due to these factors
5 which are listed, as opposed to an increase in the
6 incidence. And the demonstration of that, if you want
7 to look at the Schechter and Grether paper, which I
8 referred to this morning, where they show that -- I
9 think I would need to have the paper maybe.

10 Q If you give me the number, we could probably
11 put it up on the screen.

12 A But the idea is that in that database in
13 California today, the peak of prevalence --

14 Q What's the exhibit number on that, if you
15 could let me know? Okay. I can't read it.

16 (Discussion held off the record.)

17 Q This is Petitioner's Master Reference 432.

18 A So if you look at figure 1.

19 Q Yes? Figure 1 is on page 3 of the exhibit.

20 A Yes. And if you look at the highest
21 prevalence figure in that study, it is in the children
22 who are aged six. And in the text on the same page,
23 in the right-hand column, it says, in the middle
24 paragraph, the highest estimated prevalence at 4.5
25 cases per 1,000 live births was reached in 2006 for

DR. FOMBONNE, MD - CROSS

3730

1 children aged six years and born in 2000.

2 So it's just to illustrate the fact that in
3 the recent analysis of this DDS database, the highest
4 prevalence that they have is for children aged six.
5 And that prevalence is 45 per 10,000; i.e., lower than
6 the, what you would expect from the CDC population-
7 based surveys.

8 That's why these administrative databases
9 tend to underreport, and are not good tools to
10 estimate population prevalence.

11 Q Well, and it's not just that they
12 underreport. At any point in time, if you go back to
13 the earlier years, if you go back to, let's say,
14 what's the earliest time we have six-year-olds in
15 there? I guess 1992, right?

16 A I'm sorry, I can't see. No, you can --

17 Q The six-year-olds are the dark diamonds,
18 aren't they?

19 A Yes, they are. 1991. No, sorry, 1992,
20 you're right. Yes.

21 Q And what is the prevalence rate in those
22 years? What did they have in this database?

23 A It seems to be around 15.

24 Q And you believe that that's an even greater
25 underestimate of what the true rate was, right?

DR. FOMBONNE, MD - CROSS

3731

1 A Yes, yes.

2 Q Okay.

3 A Well, you just have to take current figures,
4 and then calibrate them against the CDC surveys. And
5 you see that these figures are lower in the
6 administrative database as compared to population
7 survey estimates. That's all that it means.

8 So even if it goes up again in this
9 particular birth cohort, it doesn't mean that the
10 incidence is increasing. It's more a catching-up type
11 of phenomenon.

12 Q Right. And if we go back to his report, on
13 page 28, at the end of paragraph 70 at the top there,
14 I just want to get the last sentence. You summarized
15 this point you've been making about the California DDS
16 system and other referral systems by saying that,
17 "Evidence from these referral statistics is very weak,
18 and it cannot be used to determine changes in the
19 incidence of the disorder." And that's your opinion,
20 right?

21 A Yes, in the incidence, certainly. But the
22 choice of terms is very precise here. It's to
23 evaluate changes in the incidence.

24 (Pause.)

25 Q If we now go to paragraph 82 of his report,

DR. FOMBONNE, MD - CROSS

3732

1 which is on page 32. You summarized your whole
2 discussion of these time-trend studies by saying that,
3 "The available epidemiological evidence does not
4 support the hypothesis that the incidence of autism
5 has increased, for reasons other than changes in
6 diagnostic practices and improved detection."

7 That is still your opinion, right? There's
8 no reason to think these trends are going up in time,
9 other than for those two reasons.

10 A Again, it's an hypothesis which cannot be
11 ruled out, and needs to be examined. But if you
12 review existing surveys, you cannot really demonstrate
13 that there has been an increase in the incidence.
14 That's what it means.

15 Q And at the bottom of this paragraph you say,
16 "Most of the existing epidemiological data are
17 inadequate to test properly hypotheses on changes in
18 the incidence of autism in human populations. The
19 studies that could more adequately control for
20 alternative explanations have failed to detect an
21 upper trend in rates of ASDs."

22 When you say the studies that could more
23 accurately control, you're referring to your studies?

24 A The handful of studies, yes.

25 Q The same handful.

DR. FOMBONNE, MD - CROSS

3733

1 A Yes, the same handful. In other words,
2 because it is striking that when you actually perform
3 comparisons over time, when you can actually maintain
4 somewhat constant the case definition, then the trend
5 up that you see usually disappears. So it's quite,
6 it's quite striking.

7 But it doesn't rule out, again, that there
8 might be a change in the incidence.

9 Q It's possible there's some increase in
10 incidence, but we just don't have the information to
11 tell us for sure.

12 A Yes, yes. Exactly.

13 Q If there has been an increase in incidence,
14 though, you think it's been pretty small, don't you?

15 A Yes. Probably if you research the
16 phenomenon, it does not account for most of the
17 increased numbers of diagnosed children. That must
18 account for some of a small proportion of it.

19 Q Well, now what I'd like to do is go to your
20 analysis of the studies on time trends and incidence
21 with respect to thimerosal-containing vaccines. Let's
22 start with the Schechter-Grether paper, the most
23 recent one.

24 (Discussion held off the record.)

25 Q You showed, in your slide --

DR. FOMBONNE, MD - CROSS

3734

1 SPECIAL MASTER HASTINGS: Can you identify
2 that in the reference list?

3 MR. WILLIAMS: Yes. This is, again,
4 Petitioners' Reference Master List 432. And we're
5 going to be discussing figure 3, which was also on his
6 slide 17.

7 Can you pull up the one in his paper, since
8 I don't have a copy of his slide to blow up?

9 BY MR. WILLIAMS:

10 Q Now, I thought you were suggesting that this
11 trend line provided evidence against the theory that
12 thimerosal-containing vaccines caused an increase in
13 incidence. Weren't you trying to do that?

14 A Yes. Could you repeat the question?

15 Q Yes. I thought, despite the fact that we've
16 just gone through that you said the California DDS
17 data are not a reliable indicator of changes in
18 incidence, I thought when you showed this slide you
19 were suggesting that this chart actually does provide
20 such evidence; that the incidence rate is increasing
21 for real, over here in this part where you have the
22 red line.

23 A Which is the red line? I don't have this
24 line. Oh, yes.

25 So the point is that if you look at the,

DR. FOMBONNE, MD - CROSS

3735

1 these are for children three to five, okay? And you
2 can see that quarter after quarter in this dataset,
3 there is a regular increase in the numbers we are
4 reporting, okay?

5 Q Right. But let's look at, let's start with
6 the back of this line, back in 1995, quarter one.
7 Where on your slide you have 0.6.

8 A Yes.

9 Q That 0.6 represents six per 10,000, right?

10 A Yes.

11 Q And you just finished telling us that six
12 per 10,000 is probably a tenfold underestimate of what
13 the real rate was.

14 A Yes.

15 Q So if the real rate -- and this chart only
16 goes up to, well, if it was really six, it would be
17 way up here on this part of your chart, wouldn't it?
18 It wouldn't be down at six per 10,000; it would be up
19 here at around 60 per 10,000.

20 A Well, I think the scale is per thousand.

21 Q Okay, per thousand. That's what, I'm
22 pointing at the six, the number six. Yes, there's a
23 red arrow there that my assistant has put.

24 Isn't that where you think the probable real
25 prevalence was in 1995 in California? At where that

DR. FOMBONNE, MD - CROSS

3736

1 red arrow is.

2 A Oh, I see what you mean. Your true
3 prevalence rate, right? That's what you're -- are you
4 trying to say that what I'm thinking is that it should
5 be six?

6 Q Yes. Didn't you just --

7 A Per thousand.

8 Q -- finish saying that you thought that the
9 early numbers in California in this referral database
10 were a gross underestimate of the real rate?

11 A Yes, probably.

12 Q And so probably it was around six or seven
13 per thousand then, right?

14 A I don't know that, but yes.

15 Q But that's the most probable, isn't it?

16 A Yes, probably.

17 Q And so then this trend line --

18 A I would like to actually qualify that,
19 because we are here talking about rates in three- to
20 five-year-olds, okay? So the rates of 60 to 70 from
21 the CDC applies to children who were aged eight, where
22 they have shown in their previous survey that it's the
23 age where ascertainment is better, and the prevalence
24 is probably better estimated in that age group.

25 So if you were to look at birth cohort

DR. FOMBONNE, MD - CROSS

3737

1 children age three or four or five, by definition the
2 rates, if you do a prevalence survey, the rate would
3 be lower than that, because of the age of diagnosis is
4 still like four or --

5 Q Okay. Well, if we know that in 2007, the
6 first quarter, the rate was just over four per 10,000,
7 right?

8 A In which --

9 Q This number, 4.1.

10 A Yes, yes.

11 Q And you think that the real background rate
12 has essentially stayed the same all this time, between
13 1995 and 2007.

14 A Probably.

15 Q Probably. So a real picture of this graph
16 would have essentially a straight line going across
17 from four or five over to here, wouldn't it? Like
18 stuff is put on the graph. Isn't that more probably
19 the reality in California?

20 A I don't know. That's an hypothesis, yes.
21 But we have to deal with what we can say and what we
22 can estimate. Yes, theoretically you're right to say
23 that.

24 Q Well, let me ask it this way. Do you think
25 that the California referral database figure of 0.6

DR. FOMBONNE, MD - CROSS

3738

1 per 1,000, or six per 10,000, do you think that is a
2 reliable estimate of the true rate of autism in
3 California in 1995?

4 A No.

5 Q Well then, how can you offer it as evidence
6 in favor of your claim that thimerosal has nothing to
7 do with an increase in incidence?

8 A Because I think you are concerning two
9 things. One, your argument is about looking at what
10 is a real estimate; is it underestimation,
11 overestimation, what is the truth. That is about
12 estimating the prevalence rate in the population.

13 Now we are talking about trends. So if you
14 look at trends, you can look at factors which explain
15 trends even in a situation where you have
16 underascertainment, if the underascertainment remains
17 constant, of course.

18 Q But I also understood you to say just a few
19 minutes ago that the entire increase in this trend in
20 the California database could be explained by better
21 case ascertainment, and better diagnostics, or
22 broader diagnostics, right?

23 A Yes. Yes.

24 Q So if that's true, and the most probable
25 background rate is this red line, this graph doesn't

DR. FOMBONNE, MD - CROSS

3739

1 provide any evidence one way or the other about
2 thimerosal vaccines, does it?

3 A Of course, yes, it does. You have a trend,
4 which is going up, which reflects in the DDS system
5 improved awareness, better referrals, improved access
6 to services. And that is the underlying trend which
7 is going up.

8 Now, if you are in DDS causation, a risk
9 factor which disappears at one point in time, you
10 might keep your trend, but it should go down like
11 this. You should have a decrease when you save, you
12 know -- some cases of the disease do not appear any
13 longer because the exposure has been removed.

14 So what you should see is that, for you, is
15 that an increase like that, when thimerosal is
16 removed, you should see a decrease, there should be a
17 decrease, and then the trend can continue otherwise.
18 That's what you are testing for.

19 Q How big an effect would thimerosal have to
20 have to make an effect on this line?

21 A Well, it seems that it has no effect,
22 because the trend has not changed.

23 Q Yes, but there is statistical noise in that
24 line, isn't there?

25 A Yes, but it's pretty robust, because you

DR. FOMBONNE, MD - CROSS

3740

1 have multiple data points. And in fact the trend
2 continues, and actually accelerates slightly. So
3 there is, if there was a strong effect of thimerosal,
4 it should have been seen.

5 And even if it applies to only a proportion
6 of the cases of autism, it should be seen, if only
7 because if you look at the absolute numbers, in
8 California every year they add about 3,000 new cases.

9 So let's argue for the time being that
10 thimerosal accounts for half of the cases of autism.
11 Let's hypothesize, we'll hypothesize. So you should
12 not only see the trend continuing, but you should have
13 suddenly a decrease by 50 percent of your level. The
14 trend might continue to reflect other factors,
15 apparently.

16 Q But what if autism, what if thimerosal is
17 only inducing one third of the regressive cases? Say,
18 and be generous with how much regression is here,
19 let's pick the 20-percent number. If thimerosal is
20 only inducing one third of those regressive cases,
21 that would only be a six- or seven-percent difference.

22 Are you saying that this is still
23 statistically powerful enough to see that?

24 A Probably. You would see it. On 3,000 cases
25 it would be something like 200 cases less per year

DR. FOMBONNE, MD - CROSS

3741

1 that would be seen.

2 Q Okay. Well, let's look at another one of
3 the studies that you showed us. This one is the one
4 from Denmark by Madsen. This is Petitioner's
5 Reference 239.

6 MR. MATANOSKI: Just for housekeeping, I
7 know that when we referred to the Schechter Grether,
8 we had referred to it, it's apparently been submitted
9 by both. And I think it's Respondent's 439.

10 BY MR. WILLIAMS:

11 Q Now, this is another one of the studies that
12 you cited as support for the proposition that there's
13 strong evidence that thimerosal had no effect on the
14 rate of autism in Denmark. That's right, isn't it?
15 This is the one you cited?

16 A I don't know if I used the words "strong
17 evidence," but yes, it's another piece of the evidence
18 which is consistent in -- studies.

19 Q Let me find -- what is your slide number for
20 this? No. 12?

21 A Twelve.

22 Q Okay. And Scott, in the paper that's on
23 page 2, figure 1 I think, blow that up.

24 Now, the rates, the incidence we're talking
25 about here in this Madsen paper are not per 1,000;

DR. FOMBONNE, MD - CROSS

3742

1 these numbers are per 10,000 on the left-hand column,
2 right? The incidence per 10,000?

3 A Yes.

4 Q And from 1970 until about 1990, they have
5 the incidence rate around, what, .2 or .3 per 10,000?
6 Now, don't you think that in 1985 and '90 the true
7 rate of autism in Denmark was about 60 to 70 per
8 10,000?

9 A It was probably much higher than that, yes.

10 Q Much higher than that, okay. And that would
11 be on this chart, if we had this line reflecting the
12 true rate, say in 1985, we'd have to be up around the
13 ceiling. Because this is a scale of one, two, three,
14 four, five, and we're talking 60 or 70, right?

15 A Yes.

16 Q Do you think that these are reliable numbers
17 on which to rely for evidence of a change in trend in
18 incidence? These numbers back in 1985 and 1990?

19 A It depends to study what.

20 Q In order to look for changes in the trends.

21 A Yes. Well, again, it's not -- it's the same
22 question as before. Your trend, the prevalence or the
23 number of cases which are captured or identified over
24 a period of time can be an underestimate of the true
25 phenomenon. But still, within that, these

DR. FOMBONNE, MD - CROSS

3743

1 constraints, you can look at what risk factors are
2 associated with the disease.

3 So for instance, take gender. In the first
4 period of 1970 to 1990, you would still find that
5 there are three males for one female affected. So
6 that would be still a good estimate of the association
7 between gender and autism, despite the fact that the
8 number of cases identified is an underreflection.

9 Q So even though it's an underestimate by
10 about 99 percent, it's still reliable data on which to
11 base your conclusion?

12 A Well, you can certainly base conclusions,
13 for instance, in looking at, if you look at, as I said
14 this morning, the fact that the beginning of the
15 period, children aged two to nine were exposed to 200
16 micrograms of ethyl mercury in Danish vaccines. That
17 tells you something about the fact that there was no
18 clear increase in the incidence of autism due to these
19 high levels of thimerosal.

20 And when it's decreased 125 in around the
21 mid-seventies, there is no evidence that the rates are
22 decreasing, either. And if you look at that in a
23 narrow way, when it's decreasing, or the exposure is
24 decreasing or is removed, as is the case in that
25 particular study, you expect to find a change. Under

DR. FOMBONNE, MD - CROSS

3744

1 a background of, under that noise, as you said.

2 Q Let's look at the right-hand side of the
3 scale, after the new diagnostic criteria come into
4 place in '92 or '93 or '94, and after they added in
5 the inpatient data, I mean the outpatient data, as
6 well as the inpatient data.

7 What is the final estimated incidence rate
8 for 1999 in this study?

9 A In let's say 2000, for instance?

10 Q Yes, or 2000. It looks like the highest one
11 I see is about four, maybe to give you the benefit of
12 the doubt, five per 10,000, right?

13 A Uh-huh.

14 Q That's an underestimate by your numbers of
15 at least a factor of 11. And you're saying that
16 that's still, despite the fact that they only have got
17 five per 10,000 in 1990, that that's an accurate
18 enough number on which to say thimerosal had no
19 effect.

20 A I think you need to look at the
21 classification that they used, which is ICD-10, in
22 which they used in that particular study the code 84.0
23 and 84.1. Which in ICD-10 mean autism and atypical
24 autism. In ICD-10, that does not account for PPDNOS.

25 Q Okay. So it may be only an underestimate by

DR. FOMBONNE, MD - CROSS

3745

1 a factor of four or five.

2 A I don't know.

3 Q Well, what do you think the -- I thought you
4 said that you thought the present prevalence of autism
5 itself was around 20 or 25 per 10,000?

6 A In recent surveys, yes.

7 Q And in the year 2000 you said in Denmark,
8 it's probably even higher than that. I thought I
9 heard you say in Denmark it was higher --

10 A No.

11 Q -- than 66 per 10,000.

12 A No, I didn't say that. I don't think so.

13 Q You think it's the same?

14 A For all ASDs combined?

15 Q Yes. Well, let's confine it to autistic
16 disorder. What do you think the prevalence was in
17 Denmark in 2000 of the narrower category of autistic
18 disorder?

19 A Oh, I don't know. I can make educated
20 guesses.

21 Q Well, what do you think, what is your best
22 estimate?

23 A In 2000? I don't know, probably the
24 prevalence would have been 10, 15, 13,000, in their
25 recording system, probably that kind of findings. And

DR. FOMBONNE, MD - CROSS

3746

1 you have to also look at age. It has to be age-
2 specific.

3 So I think in the Denmark data, if you look
4 at the Atladottir paper, there are actually, in a
5 given birth cohort, when the birth cohort ages even
6 beyond age 10, they keep accruing new cases in the
7 same birth cohorts. And it's unclear why, but it
8 seems that there are late diagnoses or late reporting
9 in the same birth cohorts.

10 So when you look at age 18, there are
11 figures actually getting closer to what you would
12 expect. I don't have an explanation for that. And
13 what I can also say, that in the recent studies in
14 Denmark show rates for ASDs which are like 62 in the
15 Atladottir paper, and there is a new paper coming out
16 which is showing a rate of PDD which is 80 per 10,000.

17 Q Eighty? Eight-zero?

18 A Eighty, eight-zero, yes. At age 18 or 15.
19 So they are -- and of course, this is under a
20 situation when there is no TCV vaccine.

21 Q Right. Now, we could do the same exercise
22 with the other negative studies, but I just want to
23 look at your Montreal study for a moment.

24 This is Petitioner's Master Reference List
25 40, four-zero. And you showed, I think, the figure

DR. FOMBONNE, MD - CROSS

3747

1 out of this paper in your slide. What slide number
2 was it? Maybe you didn't show this figure.

3 A No, I didn't show this.

4 Q Oh, yes, you didn't show the figure. Well,
5 let me show the figure, then. It's figure 2 on page 6
6 of this paper. If you could blow that up, Scott, the
7 whole figure 2. That's good.

8 Now, you've got prevalence rate per 10,000
9 on the left-hand column, right? I mean, the left-hand
10 scale is prevalence per 10,000.

11 A Yes.

12 Q And then you have grade years and years of
13 birth at the bottom, right?

14 A Yes.

15 Q And you have one prevalence rate, the lowest
16 one in the birth year '88, you have as low as 27.5.

17 Now, you're sort of, you know, the gold
18 standard for assessing prevalence of autism. But how
19 did you get such a low number, if the real rate is
20 about 60 or 70 per 10,000?

21 A These are children who were born in 1988.
22 It's very likely that a lot of them have been not
23 diagnosed, or maybe in different educational systems,
24 I don't know. But there is suddenly an
25 underascertainment in the birth cohorts. And what

DR. FOMBONNE, MD - CROSS

3748

1 happened in Montreal is that expertise in the
2 diagnosis of autism awareness and services, both in
3 the educational system and in terms of community
4 providers for behavior interventions have only
5 developed in the last six or eight years.

6 So it's really recent. And then, of course,
7 more children are diagnosed in the younger age groups.
8 But it's clear that in the oldest age groups, they
9 were underascertained.

10 Q So if we wanted to have a reliable number
11 for the prevalence rate in grade 10 or year '88, we'd
12 have to change that from 25 to 65, wouldn't we?

13 A Yes, I suppose. It's one way to present it.

14 Q And then the highest rate you find is
15 almost, is 107.8 per 10,000.

16 A Uh-huh.

17 Q That's the highest figure I've seen in any
18 study so far. Are there higher ones than that
19 published?

20 A Yes.

21 Q How high have we gotten so far?

22 A It was one British study by Byrd, et al,
23 which has a rate of 1.16 percent. So 116 per 10,000.

24 Q For the full ASD spectrum.

25 A Yes.

DR. FOMBONNE, MD - CROSS

3749

1 Q Do you know what the breakdown was for the
2 core categories in that study?

3 A Not off the top of my head. I think the
4 rate for autistic disorder was 38, but I would have to
5 check. I don't recall.

6 Q Now, there's another figure above this one I
7 want to show briefly, figure 1 just immediately above
8 this on the same page. This seems to be presenting
9 the same data, because the point estimates are the
10 same numbers as in figure 2. But now you've given a
11 range for each point estimate. Is that some kind of
12 confidence interval?

13 A They are confidence intervals.

14 Q And if the point estimate of, say, the 1988
15 year is included within the confidence interval for
16 the 1997 year, don't you say that statistically those
17 are really the same number? They're not statistically
18 different?

19 A If you compare two data points early, yes.

20 Q Now, another question about this study.
21 You're comparing two populations of children here, as
22 I understand it. The children in which you have got
23 estimates of their thimerosal exposure came from one
24 population, and the children in which you've got
25 estimates of their autism rate came from a completely

DR. FOMBONNE, MD - CROSS

3750

1 different population. Right?

2 A Yes. Well, what are you talking about
3 exactly? Estimates of what?

4 Q I was asking for your estimates of autism,
5 of thimerosal dose. Your major thimerosal dose came
6 from one population.

7 A No.

8 Q No?

9 A No. No. On the screen you have estimates
10 of MMR coverage in that study. That came from a
11 series of surveys done in Quebec City, which was the
12 only reliable series of surveys of MMR coverage which
13 was consistent over time, the methods used that could
14 give us a sense of our vaccinated Quebec children with
15 MMR. So that is shown here, on the top where the
16 slides decline over time in MMR uptake, in this Quebec
17 series.

18 And you were right that this was done in
19 Quebec City, because it was the only public health
20 information that we had that could be used. And by
21 the way, it was a downward trend, and last year there
22 was an outbreak of measles in Montreal, which probably
23 indicates that this trend was actually a valid one.

24 Now, for what we are talking about today, we
25 are talking about thimerosal, this is not based on

DR. FOMBONNE, MD - CROSS

3751

1 estimates or surveys. It's based on the official
2 immunization schedule, which is, you know, enforced --
3 not enforced. It's decided by public health
4 authorities and pediatricians, so it's all well
5 organized. Vaccinations are given very widely in
6 Quebec.

7 But the estimates of the amount of
8 thimerosal was not based on a survey. It was based on
9 the regular immunization schedule of children in
10 Quebec.

11 Q Now, has anyone ever asked you to produce
12 your raw data for this study, for their examination?

13 A For --

14 Q Some outside investigator? Ask you for your
15 data?

16 A I think someone has asked for that, yes.

17 Q And you refused to produce it?

18 A Yes, because it was kind of a bizarre
19 request by a bizarre person.

20 Q Now, let's turn to your criticisms of the
21 Young, Geier study for a moment. And we'll use
22 Petitioner's Reference List 665. Let me pull it up
23 here. Do you have a copy of that with you? I can get
24 you one.

25 A No, I have it.

DR. FOMBONNE, MD - CROSS

3752

1 Q Here's a copy.

2 A I prefer my copy.

3 Q Oh, your copy has notes on it.

4 A I might have notes on it.

5 (Pause.)

6 Q Now, the first thing I wanted to call your
7 attention to is in the materials and methods section.
8 But first let me ask you, the journal in which this
9 was published, which you didn't put on your slide,
10 this is the official journal of the World Federation
11 of Neurologists associated with the World Health
12 Organization. Did you know that?

13 A No, I didn't know.

14 Q You didn't check that out?

15 A No.

16 Q And it was fully peer-reviewed? You do at
17 least admit that, don't you?

18 A Yes.

19 Q And in the materials and methods section, if
20 we highlight the first paragraph, Scott. Yes, blow it
21 up. It says that the study protocol employed was
22 approved by the U.S. Centers for Disease Control and
23 Prevention.

24 Did you know that the protocol had been
25 submitted to them for their review and comments?

DR. FOMBONNE, MD - CROSS

3753

1 A No.

2 Q You didn't?

3 A No.

4 Q Then after the CDC approved the protocol,
5 this protocol for the study had to be submitted to the
6 Institutional Review Board of Kaiser Northwest --
7 that's in Portland -- and the IRB of Kaiser Northern
8 California. You did know that, didn't you?

9 A Well, I read what is in the paper, but I
10 don't have access to these protocols, written
11 protocols, and the extent to which it was approved by
12 the CDC. I don't know what it means, so I would
13 reserve any opinion on that.

14 Q And, well, let me just ask you. Do you know
15 that one of the restrictions placed on access to this
16 data by the CDC was that the investigators were not
17 allowed to compare to total vaccines for any one
18 child?

19 In other words, they could look at a child's
20 DTP records, or they could look at a child's Hib
21 records, but they couldn't combine those files in any
22 way to do statistics on a single child's exposure.
23 Did you know that?

24 A No, I didn't know that.

25 Q Did you also know that they were denied any

DR. FOMBONNE, MD - CROSS

3754

1 access to data after the year 2000?

2 MR. MATANOSKI: I would just like to find
3 out what the basis for that last statement was. Was
4 it in the -- I just want to request clarification
5 about the basis for the facts of the last question.
6 Is it in the study?

7 MR. WILLIAMS: I think you'll get a chance
8 to deal with this later. I mean, if it becomes a
9 contested issue, we can deal with it.

10 MR. MATANOSKI: Well, this study, in terms
11 of the IRB approval, et cetera, has already been a
12 matter of litigation here. If the Court recalls,
13 there were some motions that were made, and some
14 indication during that that there was actually
15 violations of the protocol, violations of the approved
16 protocol by the IRB. That was part of the request
17 that was before this Court before.

18 MR. WILLIAMS: With all due respect, I think
19 this is for redirect or for argument, not for --

20 MR. MATANOSKI: Well, I can't redirect this
21 witness on something that he wouldn't have any
22 knowledge of. And that's why I was trying to find out
23 what the factual basis was for the last question, if
24 it's not in this study as reported.

25 MR. WILLIAMS: We could provide it. We can

DR. FOMBONNE, MD - CROSS

3755

1 get a letter from one of the investigators, as you
2 have gotten letters from your --

3 SPECIAL MASTER VOWELL: Again, Mr. Williams,
4 we've been through this before. Please address your
5 remarks to the Bench, not to one another.

6 Let's try that again.

7 MR. WILLIAMS: I believe that there is a
8 firm evidentiary basis for the questions I'm asking.
9 And we can provide that with a letter from Dr. Young
10 if need be.

11 SPECIAL MASTER VOWELL: I understand. But
12 his answers are not going to be informative to the
13 Court without, whether he says yes or no, if we don't
14 know what the basis. You're asking him if he knows
15 something. If it's true, he can say no, and if it's
16 not true he can say no, he didn't know. He doesn't
17 tell us whether it's true or not.

18 So what I'm telling you is if you want us to
19 consider the limitations, if any, placed on these
20 investigators, then you're going to need to provide
21 that to us.

22 MR. WILLIAMS: We'll be glad to. But I did
23 want to know whether he knew about these restrictions
24 or not, since he was critiquing the paper.

25 SPECIAL MASTER VOWELL: And you can ask.

DR. FOMBONNE, MD - CROSS

3756

1 MR. WILLIAMS: Okay.

2 BY MR. WILLIAMS:

3 Q Second question. Did you know that the
4 investigators were denied access to any data after the
5 year 2000 in the Vaccine Safety Datalink?

6 A No.

7 Q And the imputation methods that they used
8 were required, were they not? If they didn't have
9 access to the further later diagnoses of these birth
10 cohorts, what other method could they use besides
11 imputation of estimates of diagnoses?

12 A They had a problem with the data. I think
13 they could not just do the study. And instead of
14 adding numbers which are completely invented, there
15 are other techniques that could have been used. Or
16 this would simply, do not perform this type of
17 analysis. It's dishonest to impute like 45 new cases
18 which are just invented to top off the prevalence in a
19 way which is supportive of their hypothesis. It's
20 clear that these investigators have a clear track
21 record to do with the data what supports their
22 hypothesis. And I've seen that in their previous
23 papers. And I think that is what they've done here.

24 I think it's, you know, it's unacceptable.
25 And the fact that this paper is published in this

DR. FOMBONNE, MD - CROSS

3757

1 journal doesn't surprise me, sadly, because the peer-
2 review process is not entirely perfect, as we all
3 know. And it's, of course, you would imagine that in
4 this editorial board, the expertise for dealing with
5 the epidemiological analysis of this type of data is
6 probably lacking. And it's unfortunate that it has
7 been published.

8 But I can tell you it would not have passed
9 any stage of reviewing in autism journals.

10 Q Now, you said they're dishonest. The
11 imputation is not hidden in this paper.

12 A No, I know.

13 Q So what is dishonest about the imputation?
14 If it's revealed in the methods, and can be tested by
15 other investigators.

16 A No, because it's impossible to check their
17 assumptions about age of diagnosis. We don't know how
18 they came up with these figures of 45 and 80. They
19 explain it, but not fully, so you cannot actually
20 check the accuracy of their adjustment methods.

21 And what is also dishonest is that the use
22 of the 1990 birth cohort, which is based on 0.6
23 percent of their sample, this is also something which
24 is maybe not dishonest, I don't know, because it's a
25 judgment which I make which I shouldn't probably make.

DR. FOMBONNE, MD - CROSS

3758

1 But it's actually incompetence.

2 Q Do you know that the datasets that they used
3 to analyze this, as well as their protocol, are fully
4 available to the Respondent here? And this can be
5 duplicated, checked very easily by Respondent's
6 experts. Did you know that?

7 A No.

8 Q Now, you referred to papers by the Geiers in
9 prior epidemiological studies they had published that
10 had been reviewed by the IOM committee in 2004.

11 A Correct.

12 Q Every one of those papers was using a
13 different database, wasn't it? It was using the VAERS
14 database, which is just a spontaneous reporting
15 database.

16 A Which is inappropriate to test vaccine --

17 Q And no one here has been citing that or
18 relying on any of those studies. This in the Vaccine
19 Safety Datalink database, the same one Verstraeten
20 used. You agree that's a good database, don't you?

21 A Well, I don't know it intimately, but yes,
22 it's a database which is probably informative to look
23 at adverse effects in relation to vaccines and other
24 questions, if you use it properly. Which means that
25 you need to use the full opportunity that a cohort

DR. FOMBONNE, MD - CROSS

3759

1 gives you when you can.

2 If they were not able to do that for legal
3 reasons, I don't know. But it doesn't salvage their
4 study.

5 Q Let's turn to the topic of regressive
6 autism. I want to go to your report on paragraph 37.

7 (Pause.)

8 MR. WILLIAMS: If you could put -- do you
9 need another page number, Scott?

10 BY MR. WILLIAMS:

11 Q Now, this is where you discuss the Richler
12 paper. And I understood you to be writing paragraph
13 37 with the intent to push this idea, that true
14 regressive autism where there is no evidence of any
15 abnormality before the symptoms of autism develop, no
16 evidence of abnormal development until autism appears,
17 that that type of regression was very small compared
18 to all regressive autism. Isn't that what you're
19 trying to say here in paragraph 37?

20 A Not exactly. I was probably trying to --
21 this is kind of showing historical change in the field
22 about how we viewed regression. So initially I think
23 Dr. Lord stated that this morning, that regression of
24 loss of skills, which was a recognized phenomenon, was
25 often equated with the fact that development was

DR. FOMBONNE, MD - CROSS

3760

1 normal before. So there was no differentiation of
2 these two things: the loss of skills and what
3 happened before.

4 So there was an assumption that the
5 development was normal before the loss. And then this
6 paragraph states that in fact, increasingly, as we
7 have done studies of regression, this assumption has
8 proven to be challenged more and more, up to a study
9 like Richard Allen's large sample size, which
10 indicates that in fact, when you look carefully at
11 these children who have regressive autism, in 72
12 percent of them you can actually document
13 abnormalities.

14 And the fact that there are 28 percent in
15 which you don't document this abnormality is not a
16 demonstration that 28 percent of these children have
17 normal development. It just simply reflects probably
18 the fact that in this particular study, with the tools
19 that we have which are based on retrospective parental
20 report, they were a group where there was no evidence
21 based on the questions which were used.

22 But the idea is that as we go along, and if
23 we can do, for instance, prospective studies of large
24 numbers of children that will ultimately lose skills,
25 it's pretty clear that an increasing proportion of

DR. FOMBONNE, MD - CROSS

3761

1 those who will lose skills would be documented to have
2 subtle abnormalities before their loss. And this
3 proportion could go up to 100 percent, I don't know.
4 But that's the trend.

5 Q In your own study of the MMR vaccine, you
6 used the definition you called definite regression.
7 You used, you had probable regression and definite
8 regression. Let's put that up. You have a slide
9 about this.

10 A Yes, yes.

11 (Pause.)

12 Q And your slide 23 I believe is out of the
13 paper that we're about to put on the screen. No, it's
14 not that one; it's the Fombonne and Chakrabarti. You
15 brought it out for me.

16 (Discussion held off the record.)

17 Q You cited this paper in your slide. Do you
18 have a copy of that paper with you? No? We have a
19 copy here somewhere.

20 Well, while we're looking for it, let me
21 tell you what I recall your definition was. As I
22 recall, your definition in your materials and methods
23 section of this paper was that definite regression was
24 defined as a measurable loss of at least one skill or
25 outcome, in one of the three domains of autism. In

DR. FOMBONNE, MD - CROSS

3762

1 other words, they either lost language, or they lost
2 social skills, or they lost the play factor.

3 You didn't require that they have lost two
4 or three, just one. Do you remember that?

5 A I don't. I have to look at the paper. But
6 the differentiation between definite and possible is
7 based on the ADI. So it's attached to a particular
8 operation or definition, which are included in the
9 ADI. So maybe somehow they were in the paper; maybe
10 you will have to have an ADI interview.

11 Q Can you find it over there?

12 A I have it.

13 SPECIAL MASTER VOWELL: Is there a question
14 where it is?

15 MR. WILLIAMS: Well, it's the one he cites
16 on his slide 23.

17 MR. MATANOSKI: RML-147.

18 SPECIAL MASTER VOWELL: Okay, that's the
19 pediatric article.

20 MR. MATANOSKI: Yes, ma'am.

21 SPECIAL MASTER VOWELL: The "No Evidence For
22 A New Variant of Measles-Mumps-Rubella Induced
23 Autism"?

24 MR. MATANOSKI: That's correct, ma'am.

25 SPECIAL MASTER VOWELL: Okay. So we're

DR. FOMBONNE, MD - CROSS

3763

1 looking at RML-147. Yes, there we go.

2 MR. WILLIAMS: If you could put the
3 materials and methods sections up, where he defines
4 regressive autism. I think it's on page 3 or 4. The
5 next page, Scott, I think. Yes, there it is.
6 Definition and assessment of regression.

7 SPECIAL MASTER HASTINGS: Which page is
8 this?

9 MR. WILLIAMS: I can't tell from this.

10 MR. POWERS: Page 4 of the exhibit.

11 MR. WILLIAMS: Page 4 of the exhibit. And
12 it's the section of the paper entitled in bold,
13 "Definition and Assessment of Regression."

14 BY MR. WILLIAMS:

15 Q And I know you're reading it, Doctor. Why
16 don't you just tell us what definition you used for
17 definite regression?

18 A It's the definition which was in the ADI,
19 the diagnostic interview that we all use, which was
20 used at the time. There have been a few changes since
21 early 2000 in the overall section on regression.

22 At the time, to have definite regression you
23 needed to have demonstration of, for language points.
24 You needed to have at least to demonstrate that the
25 child had used, for at least three months, at least

DR. FOMBONNE, MD - CROSS

3764

1 five words other than mama or dada, which were used
2 spontaneously on a daily fashion to communicate.
3 Okay? So this, when you think of it, it was all the
4 emphasis I put is actually quite a stringent
5 criterion. The child needs to have at least five
6 words used daily to communicate for at least three
7 months. So it's a very stringent criterion.

8 Then when there is a loss of that, the
9 language had to be lost for at least three months. So
10 that was the way it was operationalized. And it was
11 at the time where I think people were trying to get a
12 common way to evaluate language loss in the course of
13 development of children, whereas before that there was
14 no common tool. So that was quite a stringent way to
15 define it.

16 And based on that, the rates that we have
17 are somewhat on the low end, 15 percent in the recent
18 sample, 18 percent in the previous sample. Not
19 statistically different, but it was because of the use
20 of this rather stringent definition.

21 Q I must be misreading slide 23. Because it
22 looks to me like the definite regression is only about
23 eight percent, on slide 23.

24 A Oh. I was talking about the combined rates
25 of definite and possible regression.

DR. FOMBONNE, MD - CROSS

3765

1 Q Okay. Now, Scott, let's go down to the next
2 paragraph immediately below this, where I think it
3 talks about other measures of regression besides
4 language.

5 You were saying for language skills, it's
6 required that they have at least five different words,
7 et cetera. And you said if this criterion is met,
8 then the loss is defined as the absence of use of
9 words.

10 Then you say the loss of a specified skill
11 that does not meet these stringent criteria,
12 nevertheless can be coded as probable if there is
13 sufficient evidence of regression.

14 And now you're talking about more than
15 language, aren't you?

16 A No, it could be like a child having four
17 words for two months, and then he lost them. That
18 would be probable, but not meeting full criteria for
19 the definition.

20 Q And then, let's see the rest of this
21 section, Scott, at least on that page.

22 You talk about regression being assessed in
23 the Stafford sample by identifying any probable or
24 definite loss of skills in one of the seven domains.

25 You had a very precise definition of

DR. FOMBONNE, MD - CROSS

3766

1 definite regression in this paper, didn't you?

2 A Yes. It was following again what was in the
3 ADI. So we were covering regression by domains, as it
4 is part of the interview on regression in the ADI.

5 Q And then at the top of the next page, still
6 in this section.

7 A Okay.

8 Q You say that for the MFS sample, what does
9 MFS mean?

10 A Probably the Maudsley Family Study.

11 Q Okay. A slightly different version of the
12 ADI was used. And again, what does ADI refer to?

13 A Autism Dynastic Interview.

14 Q And regression was defined using three items
15 of the original ADI version that assessed probable and
16 definite levels of regression and loss of skills in
17 the first five years of life, and in three domains:
18 language, social actions, and plain imagination.

19 So did you use actually two different
20 definitions of definite regression in this study?

21 A No. It's more that in the more recent
22 version of the ADI there had been an exploding of some
23 items which were, there were like, for instance, three
24 or four questions. But in the more recent versions,
25 you had probably seven or eight questions covering

DR. FOMBONNE, MD - CROSS

3767

1 different skills within the same domain.

2 So it was, we could actually make
3 comparisons across the two instruments, because I
4 excluded, I looked at up to age five, I think, because
5 otherwise they were including lifetime loss of skills
6 that would have compounded the comparison. So it was
7 quite compounded.

8 Q Now, has this official definition of
9 regression been modified since you wrote this paper?

10 A I don't see it as an official definition.
11 It's like --

12 Q Well, you were getting it from some
13 instrument, weren't you?

14 A Yes. Yes, okay, yes. So the ADI has been
15 devised in the middle eighties, and it has changed,
16 has evolved as an instrument. So the regression items
17 as part of these interviews have also evolved, and
18 there have been different iterations of the interview.

19 And in the most recent version, which is in
20 2002, it's yet to be different than it was before.

21 But in most cases, when we make
22 modifications, and in this particular instance Cathy
23 Lord and others make them, they try as much as
24 possible when they refine an instrument to ensure that
25 there will be comparability if you need to compare

DR. FOMBONNE, MD - CROSS

3768

1 with previous versions, that it's possible.

2 So for instance, if you refine a question,
3 if you have three items in version 1, and you take the
4 three items and then you ask two questions for the
5 three domains, you have six items in version 2. But
6 you can combine your answers to make it comparable to
7 the version 1 if you need for that analytical
8 purposes. So we try to do that as much as possible.
9 Sometimes it's not possible.

10 SPECIAL MASTER VOWELL: Dr. Fombonne, I'm
11 confused. Does the ADI contain a definition of
12 regressive autism?

13 THE WITNESS: No.

14 SPECIAL MASTER VOWELL: So this is your
15 definition, using the ADI.

16 THE WITNESS: Yes.

17 SPECIAL MASTER VOWELL: Okay. Now I'm not
18 confused.

19 BY MR. WILLIAMS:

20 Q Go ahead.

21 A There is no definition of regressive autism.
22 There are questions asked to parents about loss of
23 skills in the course of the development. And these
24 questions are operationalized in such a fashion that
25 we establish a baseline; there was a skill, it was

DR. FOMBONNE, MD - CROSS

3769

1 lost for a certain duration of time. And then, when
2 this is met, that's what we call this child as
3 regression. Then we call him or her, loosely, it's a
4 regressive autism child. But it's just that we had a
5 loss of skills in the course of his development, as
6 reported by the parents in the course of this
7 interview.

8 SPECIAL MASTER VOWELL: Let me ask it this
9 way, then. Is the ADI used to diagnose autism?

10 THE WITNESS: Yes.

11 SPECIAL MASTER VOWELL: Does that diagnosis
12 contain a separate subcategory for regressive autism
13 in the ADI?

14 THE WITNESS: No.

15 SPECIAL MASTER VOWELL: Okay. I thought I
16 understood you; I do. Thank you.

17 THE WITNESS: Just maybe to expand that the
18 ADI must have versions, had 120 questions in some
19 versions. But those critical items which are
20 important for the diagnostic algorithm are just a
21 subset. So maybe 25 items would be critical for
22 scoring the presence or absence of PDD in a child.

23 Many questions, like the regression items,
24 do not play any role in diagnosing a PDD or not. They
25 are just like extra clinical characteristics that we

DR. FOMBONNE, MD - CROSS

3770

1 collect, as we would collect data on self-injury,
2 seizures, items on that. So they are not
3 diagnostically important.

4 BY MR. WILLIAMS:

5 Q What group approves changes in the ADI? Is
6 it some kind of consensus when they modify it?

7 A Yes, consensus or lack of consensus at
8 times. We try to base decisions about changes on
9 empirical data. So I have, myself, contributed to
10 studies with Cathy Lord and Michael Rutter that,
11 looking at algorithm of the ADI and how it relates to
12 other kinds of clinical characteristics, to improve
13 the algorithms.

14 So I've published in the ADI in 1992, in a
15 special issue, which was preparing for DSM-IV, for
16 instance. So we try to derive our decisions about
17 changes based on empirical data that we have, and that
18 we sometimes share and put in common. And then often
19 there are discussions about different investigators,
20 about some that are very interested in adding
21 questions of that kind, others that are not
22 interested. It's going to increase the length of the
23 interview, so there are 30 and 40, and at the end a
24 compromise.

25 Q And one of the reasons that the group of

DR. FOMBONNE, MD - CROSS

3771

1 experts that put together the ADI have added these
2 agreed-upon regression questions is to try to
3 standardize studies that want to look at regression as
4 one factor in assessing autism, right?

5 A Yes. It's not assessing -- yes. If I'm
6 rating the development, of course. Not trying to
7 derive diagnostic subtypes. It was never used in that
8 way.

9 Q Let's look at slide 24 for a moment, of your
10 slides. This is another regressive autism study that
11 uses the term, the terms "probable" and "definite
12 regression." Were they also using the ADI to make
13 this assessment?

14 A From my recollection, no, but I would have
15 to check back on the paper.

16 Q We'd have to look at the paper and see what
17 the methods were.

18 A I think what's important is that they
19 probably, whatever tool they used to define probable
20 and definite regression, that they did that
21 consistently over the years of the study. That's what
22 matters.

23 Q Right. And assuming they applied the
24 definition of regression consistently, we see that it
25 fluctuates from a low of about, what, seven per 10,000

DR. FOMBONNE, MD - CROSS

3772

1 in 1988 to a high of as much as almost 40 per 10,000
2 in the year 1994, correct?

3 A Uh-huh. That's correct. I'm not sure, you
4 read that on the right vertical axis?

5 Q You used the right axis, which is the
6 incidence per 10,000.

7 A And you said?

8 Q If we go to your slide 27, which showed the
9 rates of, or the percents of regression in the CDC
10 survey, you already pointed out that there is almost a
11 threefold difference between the lowest regressive
12 rate in Colorado, and the highest one in Utah.

13 Do you know if those states were using the
14 same definition of regression?

15 A It's not threefold, it's like 2.4, 2.5.

16 Q Okay, two-and-a-half-fold.

17 A Okay. Yes, there was a common definition
18 used by the CDC when they were abstracting recalls of
19 all the data collected about each child. So they used
20 a common definition. I don't have it here. But I
21 know they had high inter-ratio reliability if I
22 retained that. So I think their reliability figure on
23 that was over 97 percent.

24 In other words, two abstractors would agree
25 almost all of the time with respect to the presence of

DR. FOMBONNE, MD - CROSS

3773

1 absence of regression in a particular child, using
2 their scheme.

3 Q We're almost done. I wanted to show you one
4 more study. This is the study you cited on
5 regression, by Dr. Lainhart and others. This is
6 Petitioners' Master Reference 91.

7 MR. WILLIAMS: Do we have a copy I can give
8 to the Doctor? Okay, thank you.

9 THE WITNESS: Thank you.

10 MR. WILLIAMS: And if you'd show the title
11 and the date there, Scott, just so we can get that in
12 the record.

13 BY MR. WILLIAMS:

14 Q This is the paper you cited in your report,
15 right?

16 A Yes.

17 Q Yes. Published in 2002. And in the
18 abstract of this paper, the last sentence -- let me
19 blow that up and highlight it -- actually, the last
20 couple of sentences. They're talking about, as you
21 made the point, that the measure of genetic liability
22 is increased essentially equally in families with both
23 forms of autism when compared with control. That was
24 the point you made on direct.

25 A Uh-huh.

DR. FOMBONNE, MD - CROSS

3774

1 Q But doesn't the paper go on to say that
2 environmental events are therefore unlikely to be the
3 sole cause of regressive autism in our sample?

4 Environmental events, however, may act in an additive
5 or second-hit fashion in individuals with a genetic
6 vulnerability to autism.

7 Do you agree with that?

8 A I certainly have no disagreements with that
9 statement. The importance of that study and studies
10 which were done on regression at that time is that it
11 showed that in children who regressed, there seems to
12 be the same familial loading of autism phenotypes.
13 And it was important to document, because there was at
14 the time, following Wakefield's claims, in 1998 he
15 claimed that he had discovered a new phenotype, which
16 was regressive autism, which was entirely
17 environmentally induced. That's how he started.

18 So that study holds out regression as being
19 entirely environmentally triggered.

20 Now, you can still say that maybe the
21 genetics of susceptibility is there, but then there is
22 a double-hit mechanism, that's fine.

23 Q And then, just to go to the very conclusion
24 of this paper, on page 6, Scott, right above the
25 acknowledgement section. Just pull that top paragraph

DR. FOMBONNE, MD - CROSS

3775

1 up.

2 These authors say that even if genetic risk
3 factors are most important in autism, the wide
4 variations in autism and in the autism and broader
5 autism phenotypes and associated features still
6 warrant a thorough search for environmental factors
7 that may affect severity of the disorder.

8 Do you agree with that? That there is, it
9 is warranted to do a search for environmental factors
10 that could be bringing on autism in some of these
11 children?

12 A I do not disagree with that statement. And
13 if I have been involved in looking at MMR initially,
14 it was because I was concerned about contributions of
15 environmental factors in autism. And I've been doing
16 that in other conditions, as well.

17 So I think environmental factors are a
18 candidate of risk mechanisms for autism, probably in
19 the context of genetic susceptibility. So I disagree
20 with the reasoning in the first part of the sentence,
21 because we have, as was stated by someone else -- for
22 instance, if you have monozygotic pairs of twins, we
23 are concordant for autism. So you have, they are both
24 having the same set of genes, 100 percent of genes.
25 And both of them have autism. You still have a huge

DR. FOMBONNE, MD - CROSS

3776

1 variability in the phenotype. One can be high IQ, and
2 the other one can be very retarded. So it has been
3 demonstrated in the British twin studies in
4 particular.

5 So it seems that there is an aspect of the
6 severity of the phenotype which is not entirely
7 determined by genes. It doesn't mean necessarily that
8 it is determined by an environmental factor. It could
9 be just random effects about neuronal development
10 which are not particularly controlled by environmental
11 mechanisms. Or it could be genetic effects which are
12 not inherited.

13 So it's a kind of jumping from, to
14 environmental because of the wide variability of the
15 phenotype, is a bit of a --

16 Q Okay. Now, this is going to take you back
17 to almost your first slide, where you were describing
18 the types of epidemiological studies that are
19 available to researchers. You talked about the cohort
20 study. And the case control study is best used when
21 you have a very rare condition.

22 Because, for example, if we take autism rate
23 as one in 150 as an estimate, and we assume that
24 definite regression is only 10 or 15 percent of that,
25 then you would expect to find the prevalence of

DR. FOMBONNE, MD - CROSS

3777

1 definite regression only to be one in 1500, one in
2 1200, something like that. Is my arithmetic about
3 right?

4 A Yes, about.

5 Q So if you were going to try to do a cohort
6 study to look at environmental causes of regressive
7 autism, you would have to have hundreds of thousands
8 of children to see an effect, wouldn't you?

9 A Probably, yes. You're probably right.

10 Q Whereas if you did a case control study, and
11 you could identify 1,000 children who met an agreed-
12 upon definition of regression, and then get two or
13 three thousand controls, you could do a pretty
14 powerful study looking for environmental factors with
15 just three or four thousand children, couldn't you?

16 A Yes.

17 Q Don't you think such studies ought to be
18 done?

19 A Well, I mean, you don't launch studies just
20 because you can just do it. You have to have an
21 hypothesis, and you need to be looking for something.

22 I can just add to that that there are
23 ongoing case control studies based on population
24 series of cases which are looking precisely at
25 environmental risk factors, in what we call

DR. FOMBONNE, MD - CROSS

3778

1 epidemiology fishing expeditions, where we don't have
2 much of a strong hypothesis about what the mechanisms
3 might be.

4 The CHARGE study, for instance, where the
5 Hansen's paper is coming from, is part of a case
6 control study based on children recruited in the
7 population, which is looking at a broad array of
8 environmental factors looking at prenatal factors,
9 factors in the household, heavy metals, all sort of
10 things.

11 So they are looking at a wide range of
12 things, because there is no good lead about where to
13 look for them initially. But the design is one of a
14 case control study for the reasons that you mentioned.

15 Q And you would agree that mercury, being one
16 of the heavy metals, should be on the list of
17 environmental factors looked at in such a case control
18 study, don't you? Mercury exposure?

19 A I don't have much evidence so far that
20 mercury is a risk factor for autism. So I'm not sure.
21 I wouldn't put --

22 Q Sorry. Did you mean all the heavy metals
23 other than mercury?

24 A No, I didn't say I would do it. I think
25 they are doing it. I don't think this is where I

DR. FOMBONNE, MD - FURTHER CROSS

3779

1 would be looking at.

2 Q You don't think it's a good idea for them to
3 be doing it.

4 A I don't think, if you asked about mercury,
5 again considering the epidemiology that we have in
6 terms of both the ethyl mercury vaccines and the
7 methyl mercury data relating to the epidemiology of
8 autism, I think there is no convincing starting point
9 here.

10 Q Have you looked at the infant monkey
11 studies, the adult monkey studies that we have been
12 talking about throughout this trial?

13 A Yes, briefly. But I'm not a monkey person.

14 MR. WILLIAMS: Thank you.

15 SPECIAL MASTER VOWELL: Redirect?

16 MR. MATANOSKI: Ma'am, as I understand,
17 there's still more cross to come?

18 SPECIAL MASTER VOWELL: Oh, yes. I'm sorry,
19 that's correct. Rather than redirect. Yes, rather
20 than starting redirect now, let's go ahead and do --

21 MR. POWERS: Special Master, if I could
22 propose, given the time and knowing that I have some
23 cross, there might be more redirect and some further
24 questions, a short break now as the afternoon break.

25 Mine will not be so long as Mr. Williams's,

DR. FOMBONNE, MD - FURTHER CROSS 3780

1 but it might be a good time for a break nonetheless.

2 SPECIAL MASTER VOWELL: How about if we
3 return in, say at 4:00?

4 MR. POWERS: That will work for Petitioners.
5 Thank you.

6 (Whereupon, a short recess was taken.)

7 SPECIAL MASTER VOWELL: We're back on the
8 record. Dr. Fombonne is still on the witness stand.
9 Mr. Powers, you may do your portion of
10 cross.

11 MR. POWERS: Thank you, Special Masters.

12 FURTHER CROSS-EXAMINATION

13 BY MR. POWERS:

14 Q Good afternoon, Dr. Fombonne.

15 A Good afternoon.

16 Q My name is Tom Powers, and along with Mike
17 Williams, I represent the Mead and King families, as
18 well as the Petitioners' Steering Committee.

19 I want to focus my questions specifically on
20 the testimony that you gave regarding the two
21 individual cases here, that of Jordan King and William
22 Mead. And just as you began, I'll talk about Jordan's
23 case first.

24 But before getting into that, if I recall,
25 you were here during Dr. Lord's, Professor Lord's

DR. FOMBONNE, MD - FURTHER CROSS

3781

1 testimony?

2 A Yes.

3 Q And at one point Professor Lord testified
4 about the importance of parental accounts, and the
5 thorough histories that a parent would give. Do you
6 recall that testimony?

7 A Yes.

8 Q Would you agree with Professor Lord that
9 detailed parental accounts, often prompted by
10 questions, provide the most reliable historical
11 information upon which to base assessments of
12 regression, and the onset of autistic symptoms?

13 A No. I agree if you are asking me that
14 retrospectively, that's the base source. Now, there
15 would be other ways to study a regression or loss of
16 skills in the developmental course of autism, by
17 conducting very tightly controlled prospective studies
18 of high-risk samples.

19 Q What we're talking about here in these two
20 cases were obviously retrospective, correct?

21 A Okay. So retrospectively, yes, I would
22 think that asking parents would be the best source
23 available, although it doesn't mean free of bias.

24 Q And when you say "free of bias," what are
25 you referring to?

DR. FOMBONNE, MD - FURTHER CROSS

3782

1 A All sorts of evidence in psychiatry, in
2 psychiatry studies, show that when you interview
3 people about their past experiences, that you can have
4 a lot of recall biases occurring.

5 So for instance, in psychiatry dating the
6 onset of symptoms has been a problem in research for
7 decades. And that's why we use sometimes lifetime
8 estimates of -- I don't want to get into details. But
9 it's known in psychiatric epidemiology that when you
10 try to interview people and reconstitute their life
11 trajectories in terms of symptoms or episodes of
12 disorders, it's very hard to actually get to an
13 accurate picture, when you compare to contemporaneous
14 recalls or other information.

15 So it's not an area which is easy. But
16 there have been some techniques of interviewing which
17 have been devised to improve the accuracy of recall,
18 but it's not perfect.

19 Q Yes. Certainly recognizing it's not
20 perfect, but the parental history combined with the
21 opportunity to examine contemporaneous medical
22 records, given that we can't travel back in time and
23 relive the experience, is the most reliable way that
24 we can go about reconstructing these histories, is
25 that correct?

DR. FOMBONNE, MD - FURTHER CROSS

3783

1 A I would agree.

2 Q Now, let's talk about Jordan King in
3 particular. In your expert report on page 61 -- and I
4 should ask you, do you have that report in front of
5 you?

6 A Yes.

7 Q On page 61 at the very top of that page,
8 let's see if we can pull it up here in a second. That
9 very first paragraph that begins on the preceding
10 page, but that first paragraph up at the top, which
11 would be paragraph 137, continued. Let's go ahead and
12 highlight.

13 Now, if you recall, Dr. Fombonne, this is a
14 developmental services interview that was conducted
15 when Jordan was 26 months old, is that correct?

16 A Correct.

17 Q And what you're referring to here is Mylinda
18 Kings -- that's Jordan's mother -- giving an account
19 of Jordan's development. So she's giving this account
20 at a point when Jordan is 26 months old, correct?

21 A Correct.

22 Q And she describes retrospectively that he
23 used single words at about one year of age, and then
24 stopped.

25 Now, when she testified, were you here for

DR. FOMBONNE, MD - FURTHER CROSS

3784

1 that? Or did you listen to it?

2 A I listened to the audio recording.

3 Q And did you hear her on redirect, when she
4 came up and clarified and noted in the medical record
5 about when Jordan stopped talking relative to his
6 having words at one year? Do you recall that
7 discussion?

8 A Not specifically.

9 Q Well, Mrs. King testified that there had
10 been a note in the medical record that Dr. Rust
11 identified, saying that Jordan spoke at one year and
12 then stopped. Dr. Rust was implying that he stopped,
13 that he, Jordan, stopped speaking at one year. Mrs.
14 King clarified that he stopped speaking well after one
15 year, but before age two. Do you remember that?

16 A No, I don't recall that, but that's what I
17 would have understood.

18 Q Okay. So at age 26 months, Mrs. King, as
19 you understand it, is not saying that Jordan lost his
20 words at one year of age, but he had words at one year
21 of age and lost them later. Is that your
22 understanding?

23 A That's what I understood.

24 Q She also described him having multiple
25 words: juice, shoe, up and down, I believe, that he

DR. FOMBONNE, MD - FURTHER CROSS

3785

1 could say cat and dog. Do you recall that he had at
2 least four or five words by the age of 12 months?

3 A Yes, I recall mama, hot, daddy, shoes
4 bubbles, mailbox, tiki. So that's five or six words,
5 yes.

6 Q And you recall her testimony that he started
7 using those words a little bit before one year of age,
8 and continued using those words past one year of age,
9 correct?

10 A Yes.

11 Q And that he used those words appropriately,
12 that is, in context. He wasn't calling his breakfast
13 cereal a mailbox, he was talking about the mailbox
14 when he said mailbox, correct?

15 A Yes.

16 Q So you have described, in discussing
17 regression, this criteria of having at least five
18 words, and using them regularly for at least three
19 months. So from the evidence that's come in in Jordan
20 King's case, it certainly sounds as if he had at least
21 these five words, five or six words, and perhaps more
22 words, and used them for a period of several months.
23 Isn't that correct?

24 A No. I mean, that's an inference that you
25 made. I want to be the devil's advocate here.

DR. FOMBONNE, MD - FURTHER CROSS

3786

1 He has, based on Mrs. King's testimony, and
2 recalls let's say five, six, seven words at age 12
3 months, fine. Now, you need to assess the quality of
4 the use of the words.

5 In the definition that we use, we need to be
6 sure that these words are used spontaneously. And
7 that's very, very -- that's a qualifier that is
8 extremely important. Because there are many, many
9 parents and autistic children who start to develop
10 words, but they don't use them spontaneously. So they
11 just copy or they echo their parents.

12 So the parents say horse, this is a horse;
13 and then the child repeats horse. This is not counted
14 as spontaneous communication. So you need to assess
15 the quality and the functionality of these words. Are
16 they used spontaneously?

17 And in his case, if we are to follow the ADI
18 definition that we discussed previously, we would need
19 to ascertain that he was using these five or six words
20 daily for at least three months, before having lost
21 them for another period of three months, which we
22 cannot do, I think, based on the existing record.

23 Q And there's certainly nothing in the record
24 that indicates that the words he was using were
25 nonspontaneous. There is no indication that this was

DR. FOMBONNE, MD - FURTHER CROSS

3787

1 echolalia. In fact, Mrs. King testified that he used
2 words spontaneously, and in context. That was her
3 testimony.

4 A Yes. And he was pointing as well. So I'm
5 not disputing that. But I think to apply the full
6 definition that we use, we would need more data that
7 we do not have.

8 But I agree with you, based on my own
9 opinion, that it's the testimony and the parental
10 recall that he had words; that he lost them at a later
11 point.

12 Q And not only did he have words, I mean
13 words, I think Dr. Lord testified about this also,
14 word count is but one manifestation of language skills
15 or communication skills, correct?

16 A Yes.

17 Q And she actually testified that word count
18 may not be the most important, particularly for
19 toddlers, correct?

20 A Uh-huh.

21 Q I know that you're saying yes --

22 A Yes.

23 Q -- but the court reporter is going to need
24 to know that.

25 A Yes.

DR. FOMBONNE, MD - FURTHER CROSS

3788

1 Q Now, the testimony that we heard from
2 Mylinda King was that Jordan used all sorts of other
3 ways to communicate well into his second year of life:
4 pointing, gesturing, grabbing his shoes and bringing
5 them when he wanted to go outside. You remember all
6 of that testimony.

7 A Yes.

8 Q And all of those are communication skills,
9 particularly for a toddler. They may not be words,
10 but those are skills in the communication or language
11 domain that a toddler would expect to be demonstrating
12 by that age, correct?

13 A Yes. But again, I'm sorry, I don't want to
14 be -- what matters is the quality of these gestures.
15 Many, many -- let's take the example of pointing, for
16 instance.

17 Many children with autism do point. They
18 point for expressing needs. So that's a kind of
19 pointing that we call protodeclarative. So if they
20 want biscuits, they will point to the biscuits like
21 this.

22 But there is a type of pointing that they
23 don't do, which is pointing at a distance. Because if
24 I am talking to Mr. Powers, look there; I'm pointing
25 at this object. I look at it, I point with my finger,

DR. FOMBONNE, MD - FURTHER CROSS

3789

1 I speak, and I check back that you are following my
2 point. This is a different type of pointing which is
3 social communication.

4 And in recalls, or when parents report their
5 observations, if you ask the question did your child
6 point, yes. You are likely to have a yes. But if you
7 start to say give me examples; in which context was he
8 pointing, what type of pointing was present; then you
9 start to make a differentiation about the type of
10 pointing, which is often deficient in autism, but
11 which preserve another type of pointing, which is what
12 I said.

13 So I'm just saying -- and the same for
14 bringing the shoes, also the gesture. They can be
15 used functionally to express needs. What the quality
16 that we want to see, and that we even grade, even
17 retrospectively, is whether they are used in an, in a
18 sort of toing-and-froing manner with the partner of
19 the interaction. This is the key aspect which defines
20 autism.

21 Q And certainly, Mrs. King talked about
22 interactions that she had with Jordan. You recall her
23 testimony about specific instances when he would want
24 to play, he could encourage her to play, and he would
25 see whether she was responsive or not. I mean, all of

DR. FOMBONNE, MD - FURTHER CROSS

3790

1 these things she testified to.

2 I didn't see anything in your report, and I
3 didn't hear anything on direct either, indicating that
4 Jordan was deficient in these sort of the nonword
5 communicative skills. I certainly didn't, like I said
6 I didn't see anything in the section of your expert
7 report.

8 So are you claiming that Jordan had poor-
9 quality social communication skills apart from word
10 count?

11 A No. It's hard to gauge. What I'm saying is
12 that at age 12 months, he seemed to have five words to
13 communicate already in context. So if so, you would
14 expect that this child, in the next six months, would
15 have developed more language.

16 Q Okay. And you say that he didn't. And if
17 you look, it's paragraph 138. And there's a sentence
18 that begins, "There is not much evidence." There is
19 not much evidence; you can highlight that, and just
20 that entire sentence.

21 A Yes.

22 Q And keep going, please, on the highlight.

23 Now, when you wrote your report obviously
24 you hadn't heard Mylinda King offer any testimony. Do
25 you recall, in her testimony, that she described

DR. FOMBONNE, MD - FURTHER CROSS

3791

1 Jordan using additional words between the ages of 12
2 months and 18 months?

3 A Not precisely.

4 Q And when you say that his pediatrician's
5 notes are remarkable for their lack of reference, it
6 sounds like you're saying because the pediatrician
7 wasn't keeping track of the number of words that
8 Jordan had, that we can infer from that Jordan was not
9 progressing. Is that what you mean to say there?

10 A I probably should remove that, because I
11 agree with you. Usually in a pediatric record you
12 would not have, at the beginning of language
13 development, consistent documentation of progress.

14 But often the pediatricians note bubbles,
15 first words, and I didn't find evidence of that in the
16 pediatrician's notes. So I probably used that
17 indirect type of evidence to support it, but it's not
18 a strong statement what I make.

19 Q Right. And in fact, at his 12-month
20 checkup, he was noted to be babbling. And so it's
21 more likely that a pediatrician would have noted the
22 absence of words, affirmatively noticed the absence of
23 words in a child who had been babbling. That's a
24 better inference that one could draw.

25 A I don't think, my experience is not

DR. FOMBONNE, MD - FURTHER CROSS

3792

1 consistent with that. We have a lot of children who
2 do not have any words by when they should have them,
3 and the pediatricians do not document that always.
4 They wait.

5 Q In this 12- to 18-month window, do you
6 recall how many visits he made to a pediatrician?

7 A No, not exactly.

8 Q One of the visits was an emergency room
9 visit for a high fever. Do you remember that?

10 A Yes, I think I've seen that. Yes.

11 Q So if a child is being treated for a high
12 fever and a viral infection, and is febrile and
13 lethargic, it's not surprising that a pediatrician
14 wouldn't be making notes about how many words that
15 child has or doesn't have, correct?

16 A Yes. That's mentioned in my report in
17 section 133.

18 Q Now, if we go down to the bottom, there is a
19 sentence that begins, "Although it appears likely."
20 If we can highlight that entire rest of the page.

21 There's a phrase in here that says, "It is
22 probable that his development was not normal before
23 the loss at 18 or 20 months of age."

24 In the preceding paragraphs, the only
25 indication that I saw that would support that is the

DR. FOMBONNE, MD - FURTHER CROSS

3793

1 statement that you've already said you shouldn't have
2 put in there, about his pediatrician not noting
3 additional words.

4 A No.

5 Q What is the basis for saying that it is
6 probable his development was not normal before 18
7 months of age? What's the basis in the evidence for
8 your making that statement?

9 A It's trying to combine all the information
10 which comes here and there in the record. And if you
11 look at what you started with, which is when the
12 mother completed a questionnaire, by the end of my
13 section 137, when he was 26 months of age, she is then
14 asked to document the language development in her
15 child. And what she says, he used single words around
16 one year of age, then stopped.

17 So he clearly used some words. And what we
18 know is that just a few words, not complex sentences.
19 And that it doesn't seem to have progressed any
20 language development to the point of losing more
21 complex language.

22 Q But my question is, where in the evidence,
23 where in the record can you point to evidence that he
24 did not develop more than those five or six words
25 between 12 months and 18 months? Where can you

DR. FOMBONNE, MD - FURTHER CROSS

3794

1 document that in the record here?

2 A Well, again, I assume that a child who
3 starts single words, and has five or six words by age
4 12 months, would have developed more words,
5 combinations of two words by age 18 months. And there
6 is no reference to that in, at the time of the loss.
7 The loss is just, what, a loss of a few words, and
8 that's all.

9 So there seems to have been no progression
10 in the complexity of language structures between 12
11 months of age and 18 months of age. These are single
12 words at the beginning, and single words which were
13 lost. So it doesn't seem to be really following the
14 course of language development over a six-month
15 period, and the child was already having five or six
16 words.

17 Q And even though Mrs. King said he did
18 develop more words between the age of 12 and 18
19 months.

20 A Oh, he might have developed more words.
21 Again, the issue is whether or not the quality of the
22 use of these words was communicative, spontaneous, and
23 solely used to express need, for instance. Which
24 would be a typical -- there is, that type of pattern
25 of language development and loss of a few words is

DR. FOMBONNE, MD - FURTHER CROSS

3795

1 quite prototypical of what I see in my clinic all the
2 time. It's not something which is unusual. So the
3 loss of skills occur at the age, 18 months is often
4 the age at which actually parents report the loss of
5 skills; 16 months, 18 months, 20 months. And usually
6 these are a few words which have been there for
7 several months, with a lack of progress in language
8 complexity and communication, reciprocal
9 communication, in the months which proceed.

10 So you have a sense that there has been a
11 sort of progressive onset of symptoms, and then a
12 loss, which is usually accompanied with other
13 symptoms.

14 Q Now, there are two other primary domains
15 that you'd be looking at. We're done with this
16 particular page, Scott.

17 There is, we've been talking about
18 communication. I also want to talk about social
19 reciprocity. I didn't see any discussion in your
20 report that directly addressed, at least that I saw
21 explicitly, the social interactions that Jordan was
22 having before 18 months of age. I mean, obviously you
23 do talk about things that happened at 20 months and 24
24 months and 26 months.

25 Did you see anything in the medical records,

DR. FOMBONNE, MD - FURTHER CROSS

3796

1 or hear anything from Mrs. King's direct testimony,
2 indicating that there were social, deficits in social
3 reciprocity in Jordan before the age of 18 months?

4 A It's very hard, it's very hard to actually
5 assess again the quality of the social interactions.
6 If I recall well, she mentioned -- and I don't know
7 exactly the timing of it -- but that he welcomed his
8 sister. He has a younger sister, Maya, that he kissed
9 at the beginning. But then she also mentioned that he
10 was ignoring her on a number of occasions.

11 And I don't exactly know, I think it was
12 around 14 or 15 months of age. You know, that sort of
13 thing --

14 Q Let me clarify. Fourteen or 15 months of
15 whose age?

16 A Of Jordan's.

17 Q Because Maya was born I believe when Jordan
18 was 15 months old. And so Jordan would have been at
19 least 15 or 16 months old before he would have had any
20 opportunity to interact with his sister, correct?

21 A Yes. I am sure she was describing the time
22 when the baby came back at home. But it's just noted
23 in my notes from the testimony of Mrs. King, so that's
24 something which might be a flag. But it's not a
25 definite information either, I agree.

DR. FOMBONNE, MD - FURTHER CROSS

3797

1 Q And certainly there's nothing that you can
2 point to specifically that happened before Jordan
3 turned 18 months old that would indicate he had
4 deficiencies in the social reciprocity domain.
5 Because again, I didn't see any that were described in
6 your report.

7 A No. Because you would not ordinarily find
8 that in medical records. I mean, descriptions of
9 social reciprocity would be, or social interactions
10 would be unusual, and their quality would not be
11 usually assessed from medical records.

12 Q So the only thing we would have to rely on
13 is Mrs. King's testimony. And there's no reason you
14 would have to doubt the veracity and the truthfulness
15 of her testimony, correct?

16 A Yes. And also the video, which I reviewed,
17 which I don't think would change my opinion that there
18 is a likely progressive onset before --

19 Q I'm sorry, I couldn't understand the last.

20 A That there is a likely progressive, gradual
21 onset of symptoms up to the age of 18 months.

22 Q And when do you see that in your opinion as
23 beginning? When did that gradual onset of symptoms
24 actually begin, in your opinion?

25 A I would have really to be careful about

DR. FOMBONNE, MD - FURTHER CROSS

3798

1 dating that. It's very hard. But I need for you to
2 go back to my notes, if you will, my notes of the
3 video if you want me to go back to that.

4 Q Well, it's just --

5 A I seem to recall that around 15 months of
6 age, 16 months of age, there were some observations
7 that suggested that he was not really responding to
8 his mother easily or spontaneously. There seemed to
9 be more -- it was a very gradual change. And you
10 could see that, for instance, 10 month, 12 month, he
11 was a child with very good eye contact, smiling,
12 responding. And you see that very subtle change in
13 his social functioning, so it could be more serious,
14 giving less eye contact, responding less well.

15 The timing of that I need to check on the
16 video, if it's critical. But I think it's, you know,
17 we could all agree with that. It's not --

18 Q Now, there is another domain that involves
19 play, imaginative play and play with toys. You recall
20 Mrs. King testifying that well into Jordan's second
21 year, he played very appropriately with toys. The
22 tool set, and he would actually use tools as tools,
23 helping his father build musical instruments. Do you
24 recall that testimony?

25 A Yes, yes.

DR. FOMBONNE, MD - FURTHER CROSS

3799

1 Q Do you recall that continued well into his
2 second year, at least up to the age of 18 months,
3 correct?

4 A I don't recall that in particular, but I --

5 Q And do you recall that she testified that at
6 some point after that, he stopped playing with toys
7 appropriately; and instead of using tools as tools or
8 trains as trains, would line them up and sort of
9 fixate over those objects. Do you recall that
10 testimony?

11 A Yes. And he drover over and over in a
12 repetitive fashion, and he was starting humming, and,
13 yes.

14 Q I was just going to get to that.

15 A Tiptoe walking and --

16 Q Right about that same time, these symptoms
17 of stereotypical behavior emerged, began some time
18 after 16 or 18 months of age. She described that in a
19 sequence actually beginning at age 18 months and going
20 to age 19 months. She described the sequence of some
21 toe-stepping, and then hand-flapping, and then to the
22 point that, you know, going down the slide he would
23 very vigorously flap his arms.

24 Do you recall she described that as
25 happening between 18 and 20 months of age?

DR. FOMBONNE, MD - FURTHER CROSS

3800

1 A Yes, that's consistent with my notes.

2 Q And there is nothing in the record to
3 indicate that any of those behaviors were apparent
4 before that 18-month, roughly 18-month time period.

5 A Yes, I agree.

6 Q So it's fair to say that Jordan King
7 actually developed skills in all three developmental
8 domains and then lost those skills, correct?

9 A Yes. Yes. Yes, he had skills in terms of
10 play and social interactions and communication that he
11 certainly lost at one point. And again, that doesn't
12 mean that before the loss was obvious that he was
13 absolutely developing normal. I think that would be
14 an inference that I would not put forward.

15 Q Now we're going to talk about William Mead's
16 case.

17 A Yes. Can I also just maybe, for instance,
18 just in terms of the quantity of the language with
19 Jordan. There was this note by the father, I think
20 it's the father, who says in written documentation in
21 the record that with hindsight, when they looked back,
22 that he had a word by 10 or 12 months of age; but he
23 was never a talker.

24 Q Well, he actually, that was the comparison
25 he made to his sister, Maya.

DR. FOMBONNE, MD - FURTHER CROSS

3801

1 A Yes.

2 Q And you also recall that Mrs. King testified
3 that Maya was somewhat precocious verbally. Do you
4 recall that?

5 A Yes, yes.

6 Q And so it's not necessarily a sign that a
7 child is abnormal or slow in his or her development if
8 they are not keeping up with the precocious sibling.
9 I mean, that's not a fair conclusion to reach, is it?

10 A Yes. We would have to see if she was really
11 precocious. Girls tend to speak earlier than boys in
12 general, so that would not be a --

13 Q I just want to make clear, that's what, what
14 you're talking about, that was the context where it
15 came up. It was a comparison of Jordan to his sister.

16 A Yes.

17 Q And looking at where they were at a
18 particular age.

19 A Uh-huh.

20 Q And so girls speak more at that age, so you
21 wouldn't expect Jordan, in comparison, to be speaking
22 as much as she did. And they also described her as
23 particularly precocious verbally, right?

24 A Yes. It can be all good. Just I think it
25 matches my clinical experience when you see patients

DR. FOMBONNE, MD - FURTHER CROSS

3802

1 and parents at age two or three, when the full picture
2 emerges. Then parents make retrospective assessments
3 of very subtle difficulties that they did not pick up
4 at the time, because it's very subtle. And they say
5 now that I know, so I remember when he was pronouncing
6 his first words, they were actually unusual words, or
7 they were said in a sort of noncommunicative way, or
8 there was no, it was not directed at me.

9 So there are very subtle abnormalities in
10 the social communication of young children which are
11 reported with hindsight by parents, once they know
12 that the difficulty --

13 Q Oh, I understand that. And that's what
14 you're telling me about other cases. But what I'm
15 asking you is about this case. And that is not what
16 Jordan's father described, and that is not what his
17 mother described, is it?

18 A That's what the father wrote in the note.
19 He said he was never -- you'll have to check on --

20 Q He described this whole, the lack of --

21 A He said he was never a babbler.

22 Q Yes.

23 A That's to be, he was never a babbler is a
24 consistent description of the children who develop
25 with autism when they are infants. They often do not

DR. FOMBONNE, MD - FURTHER CROSS

3803

1 babble.

2 Q And I was just trying to distinguish where
3 your commentary picked up, and where Mr. King's note
4 in the record left off and where Mrs. King's testimony
5 left off. All they said was that compared to his
6 sister at the same age, Jordan was not a babbler.
7 That's all that the record says, correct?

8 A If it's correctly said, Jordan was never a
9 babbler, full stop. Then it followed his
10 vocalizations were fairly limited compared to her
11 articulations. So --

12 Q To her articulations, yes.

13 A Yes.

14 Q Okay.

15 A So then the comparative statement.

16 Q So that's all I was trying to establish, who
17 said what, and what was your commentary versus the
18 parents' testimony and the note in the records.

19 So now we will talk about William Mead.
20 Now, William Mead, you would agree, had a pretty fair
21 repertoire of words by the time he was 18 months old.
22 Would you agree with that? That he was using two-word
23 phrases? Do you recall George Mead testifying that he
24 would say "up, Daddy," "down, Daddy," "let's go?" Do
25 you recall that testimony?

DR. FOMBONNE, MD - FURTHER CROSS

3804

1 A Yes. I remember that Dad said that he was
2 even speaking in three-word sentences at age 12
3 months, which is quite difficult to actually believe.
4 And again, I want to point out that retrospective
5 parental accounts are notoriously difficult to
6 evaluate, particularly in terms of the timing.

7 So I'm not saying more than that. It's not
8 a comment about Mr. Mead's testimony. But it seems
9 that in the document about William, we see sometimes
10 he had 60 words that he lost, and then in other areas
11 it's more like much more simple words that he had. So
12 there is inconsistency, both of the extent to which he
13 had fully developed language at the time he lost his
14 skill; and there is also inconsistency about the
15 dates. The dates in the recalls, and these are
16 prospectively recalled times, inconsistent in the
17 medical record.

18 And even in the testimony now it says
19 something else. I think the whole picture, in terms
20 of timing of these milestones in terms of getting new
21 skills or losing some skills, is very complex. That
22 means it's a complex issue for us as clinicians and
23 researchers, and I think the whole picture is not very
24 clear. That's what I want to say.

25 Q And in reading your expert report, the focus

DR. FOMBONNE, MD - FURTHER CROSS

3805

1 that you seem to have were what you saw as
2 inconsistencies in the record between the age of 18
3 months, and between the age of roughly two-and-a-half
4 years of age. And trying to place -- just the sense I
5 got from your report is that you were trying to figure
6 out whether his regression would be placed at 18
7 months or 24 months or 27 months. Is that a fair
8 summary of this couple of pages devoted to William?

9 A Yes. Could you point me in that specific
10 paragraph?

11 Q No, I just wondered if that was your general
12 sense. Because I don't want to just read the whole
13 report to you out loud.

14 A No. I think when I was trying to ever read
15 the timing of it, I don't -- I agree that there is a
16 loss of skills, a change in William and a loss of
17 skill. That's not an issue.

18 The issue is when it happened, and was there
19 a discreet time when the losses could be evident? Or
20 was it more a gradual process, where there was like
21 lack of progress in critical skills, followed by the
22 loss of some skills which were acquired before? So I
23 think that that is very difficult to evaluate, as it
24 is very difficult to evaluate the actual timing of
25 that loss.

DR. FOMBONNE, MD - FURTHER CROSS

3806

1 So, you know, in some areas, in some records
2 it mentions the summer of 2000 as being a critical
3 time when the parents really realized. So that's
4 really upper limits in terms of their realizing the
5 difficulties. Then you can go back. There is a
6 mention, which unfortunately is not very well
7 documented, that he went to daycare, probably at the
8 beginning of the school year of 1999, when he was 16,
9 17 months. And he was asked to leave the daycare
10 because he was not fitting in. And that's a strong
11 indication that he was not normal. And that seems for
12 me to have occurred before the 18 months or two years
13 of age.

14 Q And on that point, yes, I would not -- what
15 I want to focus on is the 18 months. Because I think
16 Mr. Mead did testify that even in looking at medical
17 records, he said looking back now, retrospectively,
18 we, speaking about himself and William's mother, he
19 said we now realize that there were some signs at the
20 age of 18 or 19 months. I mean, he said that on
21 direct.

22 So he acknowledges that things were
23 beginning to appear around 18 or 19 months. So I
24 would offer that to resolve any dispute about whether
25 Mr. Mead is claiming 27 months or 24 months. He is

DR. FOMBONNE, MD - FURTHER CROSS

3807

1 saying retrospectively that 18 months is when he
2 first, he and William's mom first saw problems. Do
3 you recall that testimony from George Mead?

4 A Yes. Yes.

5 Q Have you been able to identify anything from
6 the medical records indicating that William Mead was
7 deficient in any language or communication skills
8 before the age of 18 months?

9 A Before the age of 18 months?

10 Q Correct.

11 A I don't think so.

12 Q Are you aware of anything in the medical
13 records or in the testimony of Mr. Mead indicating
14 that William Mead was deficient in any of the social
15 skills, or deficient in social reciprocity in any
16 demonstrable way before the age of 18 months?

17 A No, not in a -- no. Based on my notes, no.

18 Q Are you aware -- sorry, were you done?

19 A Yes, yes.

20 Q Are you aware of anything in the
21 contemporaneous medical records or the testimony of
22 Mr. Mead indicating that William was deficient in the
23 area of play, behavior, or imaginative play before the
24 age of 18 months?

25 A Nothing in his testimony.

DR. FOMBONNE, MD - FURTHER CROSS

3808

1 Q So you can't identify anything in Mr. Mead's
2 testimony or in the medical records indicating that
3 William Mead was abnormal in his development before
4 the age of 18 months.

5 A Yes. But again, the fact that it's not
6 there doesn't mean it was not there. And --

7 Q Well, part of your testimony in your report
8 is that it might not have been there. So I want to
9 know --

10 A No, no. Based on medical reports, I didn't
11 see any evidence of that. I agree.

12 Q And then based on his testimony, you didn't
13 see any evidence of that, either.

14 A No. But I think the video was showing a
15 slightly different picture.

16 Q Did you testify about the videos?

17 A No. But I reviewed them all, and I can look
18 back at my notes. I am pretty sure that there are
19 clips where William's interactions are not
20 particularly reciprocal, and the amount of language
21 which is produced by him is actually extremely
22 limited.

23 Q And this would be in video before he turned
24 18 months of age?

25 A Oh, yes.

DR. FOMBONNE, MD - FURTHER CROSS

3809

1 Q Is there any doubt that William Mead lost
2 skills in all three developmental domains at some
3 point between the ages of 18 months and 27 months?

4 A No, I don't dispute the fact that there was
5 a loss of skills. For instance, the videos show that
6 he had a couple of words that you hear, but that's
7 about it. So there is about 12 months of age, I have
8 two utterances, the spontaneity of which is uncertain.
9 And the rest of it I really, through a lot of footage,
10 didn't hear language from that boy in circumstances
11 where you would have expected more language to be
12 produced to communicate.

13 So that doesn't really contradict the fact
14 that he might have lost skills, and changed and
15 developed autistic symptoms, and lost social skills
16 and play skills later. I agree with you.

17 Q And for William Mead, would you say that he
18 definitely regressed?

19 A There was a loss of skills, yes. Based on
20 what we are discussing today, I have no problem with
21 that.

22 Q So you have no problem saying that William
23 Mead definitely regressed.

24 A Well, what do you mean by definitely
25 regressed?

DR. FOMBONNE, MD - FURTHER CROSS

3810

1 Q Well, it's a term that I heard you use
2 earlier today.

3 A Yes. But there was a technical term of the
4 ADI. So that he explains the loss of skills, I do not
5 dispute that, that's for sure. That's what I say.
6 That his development was normal before, I'm not sure.

7 Q But you would say he not just lost skills,
8 he definitely regressed. And you agree with the
9 autism diagnosis.

10 A Yes.

11 Q And the same with Jordan King.

12 A Yes.

13 Q He definitely regressed, and he has an
14 autism diagnosis, and you agree with that diagnosis.

15 A Yes. They both lost skills in the course of
16 their second year of life, closer to the fourth
17 semester of life.

18 Q I'm sorry, closer --

19 A Closer to the second part of the second year
20 of life, which is often what is seen. But you have a
21 sense, when you review the record and you review the
22 tapes, that there was a gradual onset of symptoms over
23 time, over a period of time. And then a time where
24 there was also a loss of skill.

25 MR. POWERS: No other questions right now.

DR. FOMBONNE, MD - REDIRECT

3811

1 SPECIAL MASTER VOWELL: Redirect?

2 MS. RICCIARDELLA: Yes, ma'am.

3 REDIRECT EXAMINATION

4 BY MS. RICCIARDELLA:

5 Q Dr. Fombonne, Mr. Williams on his cross-
6 examination was talking about thalidomide and
7 terbutaline, some of the known medical causes of
8 autism. And he said that the number was so small, and
9 I think you acknowledged that the number of those
10 cases, cases caused by terbutaline or cases caused by
11 thalidomide, were so small that they may not be picked
12 up by epidemiology. Do you recall that line of
13 questioning?

14 A Yes.

15 Q But in those cases, can we identify a
16 specific phenotype, a specific phenotype that we know
17 what caused that autism?

18 A Yes. In the case of congenital rubella,
19 yes, you can identify symptoms of congenital rubella,
20 in addition to symptoms of autism.

21 Q Do we have that same ability with regard to
22 regressive autism? Can we identify a distinct
23 phenotype of regressive autism, as compared to all
24 other autism?

25 A No. As I said before, and Dr. Lord said,

DR. FOMBONNE, MD - REDIRECT

3812

1 it's not a phenotype which is associated with clinical
2 characteristics, or familial characteristics, or
3 course or response to treatment. The factors that we
4 usually use again in psychiatry to validate different
5 types of syndromes.

6 Q There was also a lot of questioning with
7 regard to prevalence rates and incidence rates. And
8 there was some confusion.

9 Would you please state again what is meant
10 by the term "prevalence rates?"

11 A Prevalence is just that it's a photograph of
12 a particular population at a particular point in time,
13 and then you count the number of the people in the
14 population, and that's your denominator. And then of
15 this population, you count those who were affected by
16 the disease, and then you put them in the numerator.
17 So you can have five persons out of 100 who have blue
18 eyes; the prevalence is five percent. And that's the
19 way it is. So that's prevalence.

20 Q Is it a snapshot in time?

21 A Yes. There is no, again, no passage of
22 time. It's an instantaneous photograph of a situation
23 at a given point in time.

24 Q And is that different than incidence rate?

25 A Yes. That's the key difference, is that

DR. FOMBONNE, MD - REDIRECT

3813

1 incidence involves the passage of time. So you start
2 here, and you finish there.

3 And in this interval you count the number of
4 new cases of disease in the particular population,
5 which is predefined at the beginning of the study
6 period. That's the way you compute incidence.

7 One of the confusions is that incidence can
8 be expressed in complex incidence rates, where you
9 have complex denominators which are difficult to
10 interpret intuitively like person-year denominators.
11 That's pure incidence rate.

12 There is a type of incidence rate which is
13 like a prevalence because it's a proportion. And let
14 me just explain, I don't know -- well, if you then
15 follow 100 children from birth up to age 10, so you
16 have the passage of time; and then you count those who
17 develop a certain disease. So you can express the
18 incidence of this disease as being 10 out of 100,
19 which is your starting point. So you have 10 persons
20 of this cohort which, at age 10, has the disease.
21 That is an incidence figure which is expressed as a
22 proportion, like prevalence rates.

23 Hence, some proportions refer to what we
24 call communitive incidence, as some proportions are to
25 prevalence proportion prevalence rates. That's why

DR. FOMBONNE, MD - REDIRECT

3814

1 you would see in the graph sometimes percent as
2 cumulative incidence. That's, I'm sorry, to be taken
3 as --

4 Q But studies, a prevalence study is different
5 from an incidence study, is that correct?

6 A Yes.

7 Q Okay. And you were asked some questions
8 about the Schechter and Grether study. Was that an
9 incidence study or was that a prevalence study?

10 A No, it's a prevalence study.

11 Q And what conclusions did the authors of the
12 Schechter and Grether study come to with regard to
13 prevalence of autistic spectrum disorders in the state
14 of California?

15 A Well, in the state of California? They said
16 that prevalence is 46.5 per 10,000 in the group of
17 children which were age six in their study, which is
18 somewhat of an underestimate compared to other
19 population rates. But otherwise, they provide
20 proportion of the new notifications in the age group
21 three to five. So these are prevalence which are
22 addressed over time.

23 Q And what do you conclude from that study
24 with regard to the prevalence rate, vis-à-vis
25 thimerosal-containing vaccines?

DR. FOMBONNE, MD - REDIRECT

3815

1 A That as the authors conclude themselves,
2 they are very clear in their conclusions. They are
3 saying that the phasing out of thimerosal-containing
4 vaccines in California has led to no dip in the
5 prevalence rates in the age group where we should see
6 it.

7 So if there was a connection, they should
8 have seen a decrease in the prevalence after 2004.
9 And the reason why that they could have seen it is
10 that, in fact, these numbers are high. As I said
11 before, the DDS database adds I think about 3,000 new
12 cases per year in the system.

13 So if you have a risk factor which
14 contributes to even 10 percent of the disease onset
15 and it is removed, you should see a dip, whatever is
16 the trend should see a dip of 10 percent, and the
17 trend would continue. But this was not seen.

18 Q Now you were also asked a series of
19 questions regarding your 2001 study that you published
20 with Chakrabarti, filed as Respondent's Master List
21 147, that looked specifically at regression. Do you
22 recall that line of questioning?

23 A Yes.

24 Q Now, was the focus of that study whether the
25 children were entirely normal? Or was the focus of

DR. FOMBONNE, MD - REDIRECT

3816

1 that study whether the children actually had a
2 regression?

3 A I'm sorry, could you repeat that question?

4 Q The focus of that study, was it whether or
5 not these children were entirely normal before, before
6 they developed autism? Or was it whether or not they
7 actually regressed?

8 A Oh, no. The focus was just in estimating
9 the proportion in two samples of children experiencing
10 loss of skills in their development, that's all. It
11 was not looking at definite regression after normal
12 development. This was not at all the focus.

13 The focus was just documenting a loss of
14 skills in their development, using an operationalized
15 definition.

16 Q And there was a line of questioning as to
17 what you meant by the word, phrase, "definite
18 regression." What was meant by the phrase "definite
19 regression?"

20 A It was a higher level of definition. So for
21 definite regression, again, definite regression
22 terminology does not, has nothing to do with clearly a
23 regressive autism that we have been talking over the
24 last few days. It was just, it's a way to say the
25 child has lost his skills in a way which fits entirely

DR. FOMBONNE, MD - REDIRECT

3817

1 the stringent criteria that you impose to document
2 that loss.

3 So he was using at least five words,
4 spontaneously, daily, with meaning, for three months,
5 and then lost them for at least three months. That's
6 what it means. That's a purely descriptive term.

7 And probable was for those instances of loss
8 of skills which are obvious, but not meeting the
9 stringent criteria.

10 SPECIAL MASTER CAMPBELL-SMITH: Let me just
11 interrupt while we're on the topic. That's a question
12 that I had was you're referring to the standards,
13 their meeting these stringent criteria. Is that to
14 improve the concept of inner rate or reliability?
15 That when you identify this definite set of loss,
16 every professionals who refer to that and use these
17 skills would know exactly what you are talking about.
18 Because everybody is consistently following or
19 adhering to the same set of evaluation criteria.

20 THE WITNESS: Yes. At the time, it was
21 really to put clarity on this phenomenon and try to
22 measure it in any sort of way, in a way which could be
23 reliable across data. We previously did not have any
24 ways to do that.

25 But now with all the studies on regression

DR. FOMBONNE, MD - REDIRECT

3818

1 as evolved, and have shown that we need actually to be
2 less stringent. And if we are less stringent -- for
3 instance this is too strict of a criterion, because
4 you have some children who have loss of quality in
5 their babble, for instance. They suddenly change,
6 they stop babbling. They babble well up to nine
7 months, and then something, their gaze is starting to
8 be fixed at objects, and they stop babble. They
9 babble suddenly in a very monotonous way.

10 So there is a change in quality, which is
11 like a loss of skills. But these kinds of early onset
12 loss of skills or transformations would not be
13 captured by our more stringent definition.

14 So now the work of Dr. Lord of this is
15 trying to be much more refined, documenting which
16 skills are lost, and becomes much more complex. And
17 we see that as not being a categorical phenomenon.
18 It's really a continuously distributed phenomenon. So
19 there are different types of loss of skills at
20 different times in the development, and it's how we
21 are now concentrating this developmental project.

22 SPECIAL MASTER CAMPBELL-SMITH: Thank you.
23 Pardon me.

24 MS. RICCIARDELLA: No problem.

25 BY MS. RICCIARDELLA:

DR. FOMBONNE, MD - REDIRECT

3819

1 Q And you were also asked by Mr. Powers a
2 series of questions with regard to the two individual
3 little boys who comprise this litigation.

4 With regard to Jordan King, you were asked
5 about loss of skills, onset. Is Jordan King's autism
6 any different or unique from the children that you see
7 in your clinic in Montreal?

8 A No, not at all.

9 Q Is William Mead's autism different or unique
10 compared to the children that you see in your clinic
11 in Montreal?

12 A No. This is in the medical report of my
13 review of the videotapes; it's very much the same.

14 MS. RICCIARDELLA: Thank you.

15 SPECIAL MASTER VOWELL: No recross?

16 MR. POWERS: I'm checking with my colleague.

17 SPECIAL MASTER VOWELL: He's shaking his --

18 MR. POWERS: We're both shaking our heads.

19 No, nothing else from Petitioners, thank you.

20 SPECIAL MASTER VOWELL: All right. Do any
21 other of my colleagues have any questions?

22 SPECIAL MASTER CAMPBELL-SMITH: It's been
23 answered.

24 SPECIAL MASTER HASTINGS: Let me just ask
25 one, Doctor. Most of my questions actually have been

DR. FOMBONNE, MD - REDIRECT

3820

1 answered. Pages 42 and 43 of your report, if you
2 could turn to them. And actually, on page 42, at the
3 beginning of paragraph 105, you talk about an
4 ecological study in Quebec. It wasn't clear to me
5 when I read the report which study you were talking
6 about. Is this a published study?

7 THE WITNESS: Yes. That is the study I
8 presented as published in Pediatrics in 2006.

9 SPECIAL MASTER HASTINGS: Okay, thank you.
10 That's all I have.

11 SPECIAL MASTER VOWELL: All right then. Dr.
12 Fombonne, I believe you're excused.

13 (Witness excused.)

14 SPECIAL MASTER VOWELL: Counsel, I take it
15 we have nothing else for today.

16 MR. POWERS: That's right.

17 SPECIAL MASTER VOWELL: Do we need to
18 discuss anything off the record before we all break
19 then?

20 MR. POWERS: No, ma'am.

21 SPECIAL MASTER VOWELL: All right. Then
22 we'll reconvene tomorrow morning at 9:00 a.m.

23 (Whereupon, at 4:53 p.m., the hearing in the
24 above-entitled matter was recessed, to reconvene at
25 9:00 a.m. the following day, Thursday, May 29, 2008.)

3821/3891

REPORTER'S CERTIFICATE

DOCKET NOS.: 03-584V; 03-215V
CASE TITLE: King and Mead v. HHS
HEARING DATE: May 28, 2008
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 28, 2008

Christina Chesley
Official Reporter
Heritage Reporting Corporation
Suite 600
1220 L Street, N.W.
Washington, D.C. 20005-4018