

UNITED STATES  
COURT OF FEDERAL CLAIMS

---

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN )  
AUTISM SPECTRUM DISORDER, )  
OR A SIMILAR )  
NEURODEVELOPMENTAL )  
DISORDER )  
----- )

FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, )  
A MINOR, )

Petitioners, )

v. )

Docket No.: 03-584V

SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )  
----- )

GEORGE AND VICTORIA MEAD, )  
PARENTS OF WILLIAM P. MEAD, )  
A MINOR, )

Petitioners, )

v. )

Docket No. 03-215V

SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

Pages: 2346 through 2615/2685

Place: Washington, D.C.

Date: May 21, 2008

---

**HERITAGE REPORTING CORPORATION**

*Official Reporters*

1220 L Street, N.W., Suite 600

Washington, D.C. 20005-4018

(202) 628-4888

[hrc@concentric.net](mailto:hrc@concentric.net)

IN THE UNITED STATES COURT OF FEDERAL CLAIMS  
OFFICE OF SPECIAL MASTERS

IN RE: CLAIMS FOR VACCINE )  
INJURIES RESULTING IN AUTISM )  
SPECTRUM DISORDER, OR A )  
SIMILAR NEURODEVELOPMENTAL )  
DISORDER, )

\_\_\_\_\_)  
FRED AND MYLINDA KING, )  
PARENTS OF JORDAN KING, )  
A MINOR, )

Petitioners, )

v. )

Docket No.: 03-584V

SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

\_\_\_\_\_)  
GEORGE AND VICTORIA MEAD, )  
PARENTS OF WILLIAM P. MEAD, )  
A MINOR, )

Petitioners, )

v. )

Docket No.: 03-215V

SECRETARY OF HEALTH AND )  
HUMAN SERVICES, )

Respondent. )

Courtroom 402  
National Courts Building  
717 Madison Place NW  
Washington, D.C.

Wednesday,  
May 21, 2008

The parties met, pursuant to notice of the  
Court, at 10:00 a.m.

2347

BEFORE: HONORABLE PATRICIA E. CAMPBELL-SMITH  
HONORABLE GEORGE L. HASTINGS, JR.  
HONORABLE DENISE VOWELL  
Special Masters

APPEARANCES:

For the Petitioners:

THOMAS B. POWERS, Esquire  
MICHAEL L. WILLIAMS, Esquire  
Williams Love O'Leary & Powers, P.C.  
9755 S.W. Barnes Road, Suite 450  
Portland, Oregon 97225-6681  
(503) 295-2924

For the Respondent:

VINCE MATANOSKI, Esquire  
KATHERINE C. ESPOSITO, Esquire  
U.S. Department of Justice  
Civil Division  
Torts Branch  
Ben Franklin Station  
P.O. Box 146  
Washington, D.C. 22044-0146  
(202) 514-9729

2348

C O N T E N T S

<u>WITNESSES:</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RECROSS</u>	<u>VOIR DIRE</u>
<u>For the Respondent:</u>					
Robert S. Rust	2351	2515	2592	2610	--
	2505	--	--	--	--

E X H I B I T S

RESPONDENT'S

<u>EXHIBITS:</u>	<u>IDENTIFIED</u>	<u>RECEIVED</u>	<u>DESCRIPTION</u>
8	2356	--	Robert S. Rust Slide Presentation

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

P R O C E E D I N G S

(10:00 a.m.)

SPECIAL MASTER CAMPBELL-SMITH: We are back on the record for another day of hearing in the second theory of the omnibus autism proceedings to continue with Respondent's presentation of Respondent's case.

I understand from counsel that there are no preliminary matters to address this morning.

MR. POWERS: That's correct, Special Master.

MR. MATANOSKI: That's correct.

SPECIAL MASTER CAMPBELL-SMITH: Mr. Matanoski, call your next witness.

MR. MATANOSKI: Thank you. At this time we call Robert Rust.

SPECIAL MASTER CAMPBELL-SMITH: Good morning, Dr. Rust.

And who's going to conduct?

MR. MATANOSKI: Ms. Esposito will be.

SPECIAL MASTER CAMPBELL-SMITH: Thank you. Dr. Rust, would you raise your right hand, please?

Whereupon,

ROBERT S. RUST

having been duly sworn, was called as a witness and was examined and testified as follows:

Heritage Reporting Corporation  
(202) 628-4888

ROBERT S. RUST - DIRECT

2351

1 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

2 Dr. Rust, just a reminder, we're going to  
3 ask you to speak up so that we can make sure that we  
4 hear you across all of our microphones.

5 THE WITNESS: I'll do my best. My students  
6 tell me I mumble.

7 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

8 You may proceed, counsel.

9 MS. ESPOSITO: Thank you.

10 DIRECT EXAMINATION

11 BY MS. ESPOSITO:

12 Q Please state your name for the record.

13 A Dr. Robert Rust.

14 Q What is your current position, Dr. Rust?

15 A I hold the World Chair in Neurology and  
16 Child Neurology and Epileptology at the University of  
17 Virginia where I'm the Director of Child Neurology and  
18 the Co-Director of our Epilepsy and Child Neurology  
19 Clinics.

20 Q Your CV is on file in both of these cases as  
21 Respondent Exhibit JJ. But I'd like you to briefly  
22 describe your educational background, starting with  
23 college.

24 A I went to separate universities and received  
25 a degree in 1970. Went to graduate school at the

ROBERT S. RUST - DIRECT

2352

1 University of Virginia, taught overseas, returned to  
2 do research at the university and to go to medical  
3 school there, finishing in 1981. Then did my  
4 residency training in pediatrics at Yale University;  
5 my training in neurology, child neurology,  
6 developmental microchemistry, neonatal neurology, at  
7 Washington University in St. Louis.

8 Q Have you had any additional training beyond  
9 that?

10 A Well, every day is a training experience for  
11 most of us. That would be chiefly what I have.

12 Q Do you hold any Board certifications?

13 A I'm Board Certified in Pediatrics and in  
14 Neurology with special qualifications in Child  
15 Neurology.

16 Q Have you served on the editorial boards of  
17 any journals?

18 A Yes, I have. I don't know the exact number,  
19 but I think it's six or seven, something like that.

20 Q Can you list some examples of the journals  
21 you've served on?

22 A The Journal Of Child Neurology; Pediatric  
23 Neurology are among those; several neurochemistry  
24 journals. Those would be the important ones.

25 Q Have you served as a reviewer for any

ROBERT S. RUST - DIRECT

2353

1 scientific journals?

2 A I don't know how long the list is at this  
3 point, but it seems to me it must be 16 or 18  
4 journals. Something like that.

5 Q That you currently serve on?

6 A When they send me a paper, I, yes.

7 Q Are you the author or co-author of any peer-  
8 reviewed articles?

9 A Yes. I believe it's about 50 or 51 at this  
10 point.

11 Q Can you name some of the journals that your  
12 work has appeared in?

13 A The Journal of Child Neurology; I'm going  
14 blank on this point. Neurology, Green Journal, Blue  
15 Journal, all of our neurology journals I think are the  
16 major ones that I have papers in, reviews in  
17 neurology. A number of different journals.

18 Q Have you also written any book chapters?

19 A Yes, chapters and reviews I think number at  
20 this point a little over 50.

21 Q Can you please describe your current  
22 responsibilities at the University of Virginia?

23 A Well, as I mentioned, I run the Child  
24 Neurology Division so I'm responsible for running our  
25 training program in child neurology as well as our

ROBERT S. RUST - DIRECT

2354

1 clinical programs, caring for children. I'm co-  
2 director of our clinical programs in child neurology  
3 and epilepsy, so running our out-patient division as  
4 well as our in-patient division in Child Neurology. I  
5 have a fair number of responsibilities as far as  
6 education, things outside of neurology, including  
7 pediatrics, developmental pediatrics, psychiatry and  
8 those would be the important ones.

9 Q Do you conduct any research?

10 A Yes. I've conducted research throughout my  
11 career.

12 Q What is your primary research area or areas?

13 A The interests are pretty broad and cover a  
14 considerable portion of child neurology. Autism, for  
15 example, is a great interest that we have ongoing  
16 projects in autism, in headache, in behavioral  
17 disturbances of children and their treatment, of a  
18 broad variety. Epilepsy and ataxic conditions of  
19 children, degenerative conditions of children. Quite  
20 a few different things that we have ongoing at this  
21 point. The EEG aspects of both neonatal neurology and  
22 of autism, we have an ongoing project with regard to  
23 the EEG of individuals with autistic disorders.

24 Q And do you also have a clinical component to  
25 your work at the University of Virginia?

ROBERT S. RUST - DIRECT

2355

1           A     Quite considerable clinical component. That  
2 includes both my own practice at the university as  
3 well as the clinics that I run for our residents.  
4 Again, that's residents in neurology, pediatrics,  
5 developmental pediatrics, and psychiatry, all rotate  
6 through my clinics.

7                     We have outreach clinics as well in  
8 Southwest Virginia for the medically underserved, and  
9 that's both children and adults that we care for in  
10 those clinics.

11           Q     Do you diagnose children with autism?

12           A     Yes, I certainly do.

13           Q     Approximately how many times have you  
14 diagnosed a child with autism in your career?

15           A     I can't give you an exact number, but I'm  
16 sure that it's many hundreds.

17           Q     Today, approximately how many children would  
18 you say you are currently treating? Children with  
19 autism?

20           A     I don't know the answer to that with any  
21 accuracy. I suspect it's somewhere between 80 and  
22 100, something like that. There may be a few more.  
23 Some patients I see infrequently, patients that I've  
24 seen at other institutions than my current one.  
25 Patients sometimes will come a distance to see you, so

ROBERT S. RUST - DIRECT

2356

1 I have I think a fairly large number.

2 Q Do you speak in the field of child  
3 neurology?

4 A Yes, I do.

5 Q Are you going somewhere tomorrow to do that?

6 A Tomorrow I'll be flying to Japan for the  
7 60th meeting of the Japanese Child Neurology Society  
8 and to be a Visiting Professor.

9 Q Dr. Rust, do you have an opinion as to  
10 whether the Thimerosal in vaccines causes autism or  
11 autism spectrum disorders?

12 A Yes, I do.

13 Q What is that opinion?

14 A I don't think it has anything to do with  
15 these disorders.

16 Q At this time I'd like to go through your  
17 PowerPoint exhibit. This is going to be Respondent  
18 Trial Exhibit #8. We've got copies.

19 (The document referred to was  
20 identified as Respondent's  
21 Trial Exhibit 8.)

22 SPECIAL MASTER CAMPBELL-SMITH: Just a  
23 reminder both to counsel and to Dr. Rust, when you  
24 begin to refer to the slides, if you would indicate by  
25 number the slide to which you're referring.

ROBERT S. RUST - DIRECT

2357

1 THE WITNESS: Yes, Special Master. I'll try  
2 to do that.

3 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

4 BY MS. ESPOSITO:

5 Q Dr. Rust, we're going to move to Slide 2 of  
6 your PowerPoint where you define autism.

7 A The definition of autism has changed  
8 considerably over the last 80 to 90 years, an interval  
9 during which we've understood that there is a separate  
10 class of disorders with some unifying features that  
11 are important unifying features and these are the  
12 things that we call pervasive developmental  
13 disturbances that the Court has heard a great deal  
14 about, and it certainly at this point knows a great  
15 deal about.

16 The interesting things about autism are  
17 many, including the fact that these criteria have  
18 become increasingly refined. This has been very  
19 important to us in terms of several different things.  
20 One is understanding how prevalent the condition is,  
21 which has changed as we revise criteria. Another  
22 thing is as we refine our understanding of the  
23 condition in terms of its clinical manifestations,  
24 it's one of the most important ways in which we can  
25 come to some understanding as to what its causes are.

ROBERT S. RUST - DIRECT

2358

1 And then equally importantly, understanding what its  
2 clinical course is.

3 We haven't fully understood this and perhaps  
4 don't to this day fully understand what goes on with  
5 children with pervasive developmental disturbances,  
6 but they're not static conditions nor is life. And  
7 the individuals with pervasive developmental  
8 disturbances grow and develop as all the rest of us do  
9 and we need to sort out the aspects of that  
10 development that are normal to the aspects of that  
11 development and those that are not, and especially  
12 those that cause an individual and the family of that  
13 individual to have the considerable difficulties that  
14 can arise in the setting of pervasive developmental  
15 disturbance.

16 It's very important that this is an age-  
17 dependant syndrome. It tends to arise at a given age  
18 and to have then an ensuing development that we're  
19 increasingly defining. This has helped us to  
20 understand a good deal about when the condition arises  
21 and what the approximate causes may be, and also to  
22 understand what type of a disease it is. So these  
23 diseases fit into what we call systems diseases, and  
24 we've got several different kinds of systems diseases  
25 but the ones we're referring to here are the ones that

ROBERT S. RUST - DIRECT

2359

1 cause a change in development with deterioration of  
2 function. These can happen in various ages in life  
3 and these tend to happen very early in life. We have  
4 other diseases that can come on at other ages that  
5 also involve what we call systems.

6 So this is not an issue of brain injury from  
7 trauma, it's not an issue of toxic injury to brain,  
8 it's an issue of how a system that's determined  
9 genetically doesn't develop properly and this can  
10 happen --

11 Has this gone away again? Maybe I should  
12 use this one, I don't know.

13 (Speaking into a different microphone).

14 As we increasingly understand how the brain  
15 develops, which is another thing that we haven't known  
16 as much about in the past as we know currently, the  
17 diseases where something's gone wrong in terms of  
18 development help us to understand what normal  
19 development is all about. We're coming to understand  
20 that in normal individuals brain development takes  
21 places over at least three and possibly four decades.  
22 At these various stages, genetic signals turn on and  
23 turn off in normal individuals, going through stages  
24 that may be more or less functional. Adolescence is  
25 one of those phases where important things happen for

ROBERT S. RUST - DIRECT

2360

1 people, but some of them are dysfunctional, as we all  
2 know as parents. Yet that's part of normal  
3 development.

4 But at each of these stages what's happened  
5 is that brain systems are being replaced. So we can  
6 see degenerations occurring at any of these various  
7 stages and we're defining more and more of them. In  
8 some of our degenerative diseases we see stages at  
9 which an additional developmental deterioration may  
10 take place which has something to do in these  
11 instances with a genetic signal that's meant to speak  
12 to each of these successive phases of development.  
13 This is a very important area of what we understand  
14 about pervasive developmental disturbances.

15 We presume that the substrate for these  
16 conditions is neurobiological and it has to do, as I  
17 say, with signals, these complex signals that help us  
18 to develop our brains in a most beautiful and complex  
19 way that sometimes goes wrong.

20 So we need additional refinement of our  
21 definitions. We continue to do this as I'll emphasize  
22 in some of my slides.

23 This is a question of time spent more than  
24 anything else, I think. When my career started, when  
25 we saw the occasional patient that we diagnosed autism

ROBERT S. RUST - DIRECT

2361

1 in, we went to see that patient because we thought  
2 this was a rare condition and because we defined it so  
3 narrowly and because we asked so few questions.

4 Really every successive year in my career, since I see  
5 a great many patients with these disturbances, the  
6 number of questions that I ask gets longer and longer.

7 With this we begin to understand more about  
8 what defines these diseases and what the  
9 characteristics are, and it allows us to understand  
10 the successive phases of disease development. We  
11 can't do this without spending time, and we used to  
12 not do this. And the time spent, of course, as the  
13 other very important aspects of allowing us to help  
14 the families of individuals that have these conditions  
15 and explain what we understand.

16 Early in my career we oftentimes provided a  
17 definition for something we couldn't treat and then  
18 felt very uncomfortable with the fact that we didn't  
19 have a treatment. Those patients would return for  
20 follow-up, wondered what we were doing.

21 I've come to understand, again with time  
22 spent, that there's continual alleviation of senses of  
23 guilt; continual explanation to take place; and  
24 continual refinement of our understanding of what the  
25 successive phases in these conditions do for families

ROBERT S. RUST - DIRECT

2362

1 and what tolls they take.

2 As well, questions come up to us with these  
3 conditions that the families oftentimes don't ask  
4 unless we wait and spend time with them. They often  
5 involve things like genetic counseling, which needs to  
6 be readdressed and readdressed with these conditions.

7 It allows us, as well, to define individual  
8 sub-syndromes so that we can come to a better  
9 understanding of what really causes these things.

10 So this is defined by a triad of deficits.

11 I can go to the next one, if you don't mind.

12 Q Right. On Slide 3 now, you have the three  
13 areas I think most of us are familiar with, but can  
14 you briefly touch on what those are?

15 A This is one of the most important and early  
16 recognized things was that this is a disorder of  
17 verbal and non-verbal language development.

18 The onset of language is something we've  
19 only come to understand carefully over the last 15 to  
20 20 years with the work of Prechtl and other people  
21 that have done ultrasonography in children in the womb  
22 and have identified the fact that our gestural  
23 language comes on before we're born and stays with us  
24 throughout life.

25 Differences that may be observed in

ROBERT S. RUST - DIRECT

2363

1 individuals that have pervasive developmental  
2 disturbances because it's this gestural language that  
3 tends not to develop, and that's the earliest part of  
4 our language development. So pointing being a very  
5 important aspect of our recognition of autism.

6 Oftentimes we begin to define the disease as  
7 children don't develop the language that should come  
8 on in the second half of the second year of life. But  
9 as we go back and wonder about gestural language, we  
10 find that so frequently children that seem to have had  
11 the onset of their disease at the end of the second  
12 year of life have in fact lacked the gestural  
13 component of language from very early on.

14 So this is a system that's involved in  
15 language, and it's very widespread in the nervous  
16 system, and it lateralizes from one side typically so  
17 that we specialize in one hemisphere.

18 And both with language and as well visual  
19 aspects of autism. One of the things we're coming to  
20 understand is this lateralization which should occur  
21 very early doesn't take place. So that understanding  
22 not only using our own gestures, but understanding  
23 both the gestures and the facial expressions of other  
24 people is something that is a primary aspect of  
25 another kind of communication, understanding what

ROBERT S. RUST - DIRECT

2364

1 other people are trying to tell us by their facial  
2 expressions.

3 There is increasing understanding that this  
4 occurs because of a lack of lateralization of these  
5 systems, the lack of subspecialization which should  
6 take place in the first and early second years of  
7 life.

8 Q And here you're talking about the disturbed  
9 social interaction, the second --

10 A We're talking about everything including not  
11 only interpretation, so the interpretation of both the  
12 gestural or the visual or the facial language of  
13 others, but we're talking about people with autistic  
14 disorders having some difficulty in providing the same  
15 kind of facial expressiveness as gestural  
16 expressiveness is lacking as well.

17 This plays a terribly important role in  
18 social interaction and social integration of  
19 individuals with disorders that involve autistic  
20 features and is an isolating aspect of this that has  
21 social consequences that we don't fully understand.  
22 Because another aspect of these diseases has been, and  
23 this is something that took 30 years of being  
24 interested in these diseases for me to come to  
25 appreciate at this point, but we've tended to come to

ROBERT S. RUST - DIRECT

2365

1 conclusions about what's going on in the minds of  
2 individuals with autistic disorders and we actually  
3 don't always really know what's going on.

4 So some of the interpretations that we  
5 provide about why people do particular things with  
6 autistic disorders are probably entirely  
7 unsatisfactory. We need to come to understand these  
8 things better. This includes interpreting features of  
9 a person's performances. Anxiety for example.  
10 Because it draws our attention sometimes when an  
11 individual seems to be more active than others, and we  
12 don't pay as much attention during those long  
13 intervals when individuals are not so active, or more  
14 withdrawn.

15 But we can't ask the questions that are  
16 important here to understand these things well. So  
17 we've made the mistake over a long interval of time of  
18 assigning from our own perspective things that are  
19 probably not true about autistic individuals. We're  
20 perhaps getting better about this over time.

21 Q Let's move to the third area on your slide.

22 A The third area is restricted imaginative and  
23 behavioral repertoire. We interpret this with regard  
24 to childhood play where activities of childhood play  
25 oftentimes seem to us very restricted as compared to

ROBERT S. RUST - DIRECT

2366

1 other children.

2           Again, we can't pass a judgment as to whose  
3 world is better. We just know that most of us are in  
4 a different world. The behaviors get interpreted as  
5 representing things that they don't necessarily  
6 represent such as mental retardation. But a child we  
7 consider normal in their play in the first year of  
8 life and in the early second year might involve  
9 picking up a hammer and banging with it or picking up  
10 a truck and running it around the room and trying to  
11 make noises. Very frequently one of the things we  
12 find in our careful histories in children that have  
13 had language regression in the end of the second year  
14 or not developed language, either one of those are  
15 possible. We find that children tend to concentrate  
16 on very tiny details of those trucks or cars. They'll  
17 pick them up and turn the wheel. Put it right up to  
18 their eye as they do this, and watch it spin around.  
19 This of course is a very different behavior.

20           One of the things we talk about or ask  
21 families about in order to confirm the features of an  
22 autistic disorder have actually come on much earlier  
23 than the readily recognized language disturbance.

24           Repetitive behaviors are part of this as  
25 well. But again, this is something we're beginning to

ROBERT S. RUST - DIRECT

2367

1 understand in a broader context because we know that  
2 people that are otherwise normal have repetitive  
3 behaviors and we need to try to understand the context  
4 in those individuals as well as in individuals with  
5 autistic disorder.

6 Q Let's move now to Slide 4. Can you explain  
7 what this is?

8 A What this is a representation of is the  
9 manner in which the data that we have is not  
10 necessarily very helpful, especially the data that  
11 we've gathered in days when we didn't have very good  
12 definitions and when we didn't segregate our patients  
13 very carefully. So this is a common figure to  
14 represent, commonly available, to represent what the  
15 substrate for autism is.

16 Many presumptions are involved here, and  
17 many problems with definitions. So we used to include  
18 children with all kinds of autistic manifestations in  
19 a general category, and we now know there are  
20 symptomatic autisms that ought to have their own  
21 particular category because although they have  
22 features of autism they may be quite different in  
23 terms of their substrate.

24 We need to leave open the possibility that  
25 in fact those children will have injuries that are

ROBERT S. RUST - DIRECT

2368

1 similar to those that occur developmentally in both  
2 categories -- the symptomatic patients with another  
3 process than autism and those that don't have that.

4 So in the known etiology category which has  
5 shrunk as we've taken patients away from this, we now  
6 know that children that are born very prematurely and  
7 children that likely have injuries to the cerebellum,  
8 a very important area in autistic neuropathology, that  
9 leads probably in the ensuring development of the  
10 cerebellum to a systems problem with the connections  
11 between cerebellum and brain stem. Those very  
12 premature children who have autistic manifestations  
13 add a clue to what goes on in autism itself.

14 So although there is this category, we've  
15 tended more recently to consider in the way in which  
16 we segregate out autistic disorder from most  
17 symptomatic causes where we have another defining  
18 characteristic. We think perhaps 10 to 15 percent  
19 have an identifiable cause.

20 The importance of recognizing this as well  
21 is something that as you spend more and more time with  
22 the families of individuals with autism you understand  
23 is a very important thing. Families are facing, as we  
24 describe to the families, and as they come to  
25 understand better than our description as time goes

ROBERT S. RUST - DIRECT

2369

1 on, we know that they're facing an extremely difficult  
2 time in their lives. There are rewards, of course,  
3 with any child, with whatever their disabilities. But  
4 as with some other conditions that we treat, families  
5 trying to cope with these things, not having an  
6 adequate definition of why the child is having these  
7 behavioral things and what has caused the guilt that  
8 families often feel is something that we want to  
9 alleviate.

10 So we need to understand what the actual  
11 causes are, and properly define them as time goes on.  
12 I can give you an example of a child with autistic  
13 features, and this child had Rett syndrome which has  
14 many autistic features and shares neuropathological  
15 aspects of autism, very informative for us in that  
16 regard, who came to me at 32 years of age and  
17 represented another important feature of autism and  
18 Rett syndrome, the fact that there are increasing  
19 numbers of different types of these disorders.

20 The family asked what was wrong with their  
21 child who could speak, and because of gestural and  
22 because of visual issues in a child that could speak,  
23 usually not thought to represent Rett syndrome, we  
24 thought that's what was going on here.

25 We had a tussle with the insurance company

Heritage Reporting Corporation  
(202) 628-4888

ROBERT S. RUST - DIRECT

2370

1 in order to get testing for this child, and in fact it  
2 was declined. The family finally agreed to pay the  
3 expense of this test, as many families of children  
4 with autistic disorders agree to pay considerable  
5 amounts of money for testing and treatments, many of  
6 which are not useful but they want to do something for  
7 their child.

8 We found this was Rett syndrome. When I  
9 told this to the family and asked the insurance  
10 company will you pay now? They said no. The mother  
11 said it's all right. It was worth it because I always  
12 thought, because I smoked a little during the  
13 pregnancy, that my child had Rett syndrome.

14 So we have many instances where children  
15 have the wrong proximate cause identified and guilt  
16 associated with that. The more we can understand that  
17 these disorders that have so characteristic a  
18 developmental pathology and a systems pathology are in  
19 fact genetically determined, the better.

20 Q Let's move to your next slide, Slide 5,  
21 understanding complex disease.

22 A These are complex diseases. As I say, we  
23 only gradually and I think with increasing velocity of  
24 what we understand about them, because we know really  
25 how to do these things better than we used to, get

ROBERT S. RUST - DIRECT

2371

1 better clinical descriptions. It has to be  
2 exceedingly detailed. We need to set apart some  
3 conditions where we can find a genetic clue, and  
4 having done that can see to what extent those genetic  
5 clues inform us about the rest of the autistic  
6 spectrum.

7 This can only happen, as I mentioned, with  
8 time spent. If I have a family that's coming to me  
9 and I know in advance it's an issue of autism I see  
10 them the last patient of the day, I set aside two  
11 hours, and then go on as long as the family needs to  
12 talk about these things because generally families  
13 haven't had the opportunity to spend this much time  
14 and it's important not only for the families but for  
15 me and for our understanding of these diseases.

16 So we get more and more information and we  
17 ask more and more questions.

18 We try to compare these diseases then to  
19 similarly well described and better understood  
20 conditions. Again, amongst these, one of the most  
21 important is Rett syndrome which has such distinctive  
22 features that share so many characteristics of autism.  
23 We understand a great deal now about the cause of that  
24 disturbance and how it develops over time.

25 We understand as well as we do in autism,

ROBERT S. RUST - DIRECT

2372

1 that there are set intervals during which we can see  
2 additional periods of deterioration of function that  
3 are determined by a genetic code problem.

4 We then develop hypotheses about these  
5 conditions and then we try to design the best possible  
6 sort of experiments. We design also retesting in  
7 terms of getting more clinical history, and we try to  
8 understand what's going on. We do careful analyses of  
9 the increasingly abundant literature on these subjects  
10 and then we execute the well designed scientific  
11 investigations and among them, perhaps we don't live  
12 in a golden age just now, but we live in a golden age  
13 of science. There are so many techniques available to  
14 us in which we can do experiments to actually prove  
15 what may or may not be going on. There are many bad  
16 experiments and observations, but we try to make those  
17 better.

18 Q Doctor, when you said "we" do these  
19 experiments, who do you mean by "we"?

20 A I mean the medical and scientific community.  
21 There are both clinical aspects to this and basic  
22 science aspects to this. I've engaged in both of  
23 those things with regard to elements pertinent to what  
24 we're talking about today.

25 Then we need to always have the willingness,

ROBERT S. RUST - DIRECT

2373

1 once we've done these experiments that prove or  
2 disprove hypotheses. Hypotheses are a dime a dozen.  
3 Anybody can make up an idea about what's going on and  
4 try to string it together in ways that can be very  
5 destructive. We need to do the experiment and see  
6 whether we can either refine that experiment or  
7 abandon that hypothesis based on those conclusions.

8 Q Let's move to Slide 6.

9 A We have the opposite way of doing these  
10 things and some very good scientists have been caught  
11 up in these things. Perhaps not so many people in the  
12 court know the great astronomer Tycho Brahe from  
13 Denmark. He made wonderful observations about  
14 planetary movement. He was an important astronomer in  
15 the days of Galileo and Copernicus, but he had a fixed  
16 idea about the universe which was of religious  
17 proportions. He thought that everything moved around  
18 the earth. In trying to prove this he adjusted his  
19 own observations and those of others with very  
20 complicated explanations for why a particular  
21 observation might be seen, mathematical observations  
22 that altered orbits of planets and so forth. This is  
23 what we call a preconception fallacy. Sticking with  
24 something and making what becomes an increasingly  
25 complicated explanation because you have just one

ROBERT S. RUST - DIRECT

2374

1 thing in mind.

2 One thing that can happen with a  
3 preconception fallacy is that you might be able to  
4 substitute other things into this framework as time  
5 goes on, once you've got the complex framework. Now  
6 Tycho Brahe stuck with the idea that the earth was at  
7 the center of the universe, but we've seen many  
8 examples and continue to see them where people get so  
9 attached to a complicated explanation without  
10 scientific validation that they can substitute one  
11 thing after another into that framework. We've seen  
12 that with autism, for example, with the substitution  
13 of infections, of toxins, and other kinds of things.

14 But we can go back further than that and see  
15 the other destructive elements of this approach  
16 because in the 1950s when we really had the first  
17 advances in trying to get more information, there was  
18 really very little information about autism together,  
19 the preconception fallacy was that autism was a result  
20 of a refrigerator mother. This lasted, our  
21 understanding of these things, for 15 to 20 years,  
22 where as so often happens we blame the mother for so  
23 many things, and mothers are so frequently willing to  
24 take on blame for things if they can't find some way  
25 to blame their husband.

ROBERT S. RUST - DIRECT

2375

1 But this was a very destructive thing.  
2 Especially conditions that arise early in childhood,  
3 including autism, but ones for whom the mother  
4 wondered, as the mother of the child of the 32 year  
5 old young woman with Rett syndrome, wondered whether  
6 something she did during pregnancy caused this  
7 problem.

8 So we've got to be very careful to test  
9 these hypotheses because they have a lingering  
10 negative effect on parents that want to do so much for  
11 their children and want to understand what they had to  
12 do with the arousal of those conditions.

13 Q There is a simplicity to it I think you  
14 demonstrate in Slide 7. Let's move to that.

15 A This is one of my great teachers and a great  
16 scientist with whom I hope to describe some work that  
17 we did some time ago in his laboratory. But what he  
18 taught me early on was, because he talked about  
19 proving things by what we call P values which show how  
20 repetitive an experiment might be.

21 If it's the wrong experiment, it doesn't  
22 prove a thing. You have to have a good idea in the  
23 first place, you have to have the best possible  
24 experimental things. And what Dr. Lowry said, it's  
25 not whether you can do the same experiment over and

ROBERT S. RUST - DIRECT

2376

1 over again, but Oliver Lowry, who is the most cited  
2 scientist in the history of medicine and science said  
3 was that in adjusting our experiments carefully to  
4 what we do, we always find that we have as a result  
5 something that's elegant and simple as our explanation  
6 for things, and we begin to take some wonder at the  
7 way in which things go right, and some further  
8 understanding in the way that things go wrong.

9 He says that this is often an unexpected  
10 conclusion, as has been true of our understanding of  
11 the developmental aspects of Rett syndrome and our  
12 increasing understanding of autism and related  
13 disorders. It's satisfying because of the simplicity  
14 and not because of this garrulous kind of complexity  
15 that tries to prove a point that's preconceived.

16 Q We'll move now to Slide 8. The  
17 pathophysiology of autism.

18 A Well, as I mentioned, we can identify a  
19 cause, a genetic cause in perhaps 10 to 15 percent,  
20 having set aside other kinds of causes into separate  
21 categories. But we have those cases where there are  
22 symptomatic prenatal influences that are also thought  
23 to have a genetic aspect to them. But an occurrence  
24 of something else that happens during a particularly  
25 vulnerable phase of genetic development, Congenital

ROBERT S. RUST - DIRECT

2377

1 Rubella, is one of those things.

2 So we know that it is possible for infection  
3 under a very specific circumstance and with very  
4 specific pathological observation that are  
5 repetitively observed and are systems observations,  
6 not a more generalized toxic effect, or not a more  
7 generalized inflammatory effect.

8 So in Congenital Rubella we have just such a  
9 condition. As we began to understand that that was  
10 the case, and as we developed vaccines, that  
11 particular condition has now been eliminated as a  
12 cause of tragedy for children and families.

13 But we now know about other conditions where  
14 the pathology is very different, where we don't have a  
15 developmental aspect to it, and a particularly tragic  
16 example of this is congenital mercury exposure, about  
17 which I'll say something where we have not a systems  
18 disease, but a disease that causes a non-systematic  
19 pathological result as we see not only in congenital  
20 mercury, but as we see in measles occurring later on  
21 in life than in this very vulnerable prenatal period  
22 of development where so many things are going on.

23 These are all conditions which have a very  
24 strong, so far as we understand it, genetic and  
25 epigenetic component. They produce highly consistent

ROBERT S. RUST - DIRECT

2378

1 syndromes, even when we don't have a specific cause  
2 identified. We have Rett syndrome where we do now  
3 know why it is that it's mostly a disease of girls,  
4 and yet we've now come to understand that boys in a  
5 very vulnerable period prior to birth can in fact have  
6 Rett syndrome because of a mixed aspect of  
7 vulnerability that's genetic and developmental.

8 We now know that male autism is also a  
9 consistent syndrome. Because it's emphasized in boys,  
10 we know that, and so strongly emphasized in boys, we  
11 know this must also have a genetic component to it.  
12 And we have an additional now, we understand, genetic  
13 and sexually related aspect to these conditions which  
14 is the epigenetic aspect of inheritance from paternal  
15 to maternal side with genetic imprinting.

16 And as we've only recently come to  
17 understand this, we're only now beginning to ask the  
18 questions in the clinic that will allow us to add that  
19 to our understanding of why individuals develop  
20 particular kinds of autistic manifestations, just as  
21 they develop particular manifestations of other  
22 imprinted conditions.

23 Q Let's get into the clinic a little bit and  
24 talk about the standardized checklist that you use  
25 when making a diagnosis of autism. This will be Slide

ROBERT S. RUST - DIRECT

2379

1 9.

2 A As I mentioned, the list of things I ask has  
3 gotten very long and the ones that I ask my residents  
4 to ask as well. When we don't anticipate seeing a  
5 patient with an autistic disorder and the resident has  
6 seen the patient first, they know what my checklist is  
7 because I spend so much time talking about this in  
8 terms of things that we now know, and I didn't know 15  
9 years ago, even 10 years ago, that these were  
10 important modifiers of our diagnostic criteria, and  
11 these are important things that tell us about the  
12 first year of life, even in individuals that seem to  
13 have regressed in the second year of life.

14 But I reserve time for those patients as  
15 well, to see them later on, to spend the time that, as  
16 I say, is so important to talk about these things in  
17 greater detail.

18 We gather that information for our research,  
19 but as well the lesson of those cases is that  
20 virtually every week or at least every two weeks or  
21 three weeks in my clinic a patient comes into my  
22 clinic that comes for cerebral palsy or comes for  
23 mental retardation or some other condition and it's as  
24 plain as the nose on my face that these individuals  
25 have autism because I know what it looks like. It

ROBERT S. RUST - DIRECT

2380

1 just tells us that there are still very many children  
2 out there that are diagnosed as having other  
3 conditions and yet despite our awareness of autism,  
4 it's still not properly diagnosed sometimes as late as  
5 three or four or five years of life. So this is one  
6 of the most important explanations we have for what  
7 has appeared to us to be an increase in the prevalence  
8 of autism, but not an increase in the incidence of  
9 autism.

10 We use these checklists then to affirm the  
11 diagnosis because these are standardized checklists  
12 and they're importance is that there is an abundant  
13 literature out there that doesn't use these  
14 checklists. So it means that confusions about what  
15 goes on in autism are so dependent on long series of  
16 patients, that whatever was studied, whether it's the  
17 electrographic aspects or whether it's the pathology  
18 or whether it's clinical aspects or whether it's  
19 treatments, include a broad variety of conditions and  
20 we need to know what happens in specifically isolated  
21 conditions. So these are what we use.

22 They're also important because we now  
23 understand that treatment of autism is important, but  
24 that treatment doesn't involve dangerous or useless or  
25 expensive therapy. It involves dealing with this

ROBERT S. RUST - DIRECT

2381

1 aspect of things I referred to before which is the  
2 isolation that patients with autism experience because  
3 of communication differences. Whether they're better  
4 or worse, they're still differences. The place in  
5 which we can find these interventions are so important  
6 as we try to educate children. What we find is that  
7 what might appear to be anxiety or other things are so  
8 readily alleviated when a child is placed in an  
9 educational setting where there's understanding on the  
10 part of the educators who have dedicated their careers  
11 to teaching children with these kinds of problems, and  
12 where there's a patience and understanding. I think  
13 that misinterpretations about whether stereotypies or  
14 anxiety, which they usually are not, at least not in a  
15 severe way, and no difference than other people  
16 really.

17 But what a child with autism may have, and  
18 as I say we don't know for sure because we can't ask.  
19 But if we can imagine ourselves, I'm going to Tokyo,  
20 as you mentioned, where I don't speak any Japanese so  
21 I'll have people to help me with these things. I'll  
22 have some understanding of the framework there.  
23 People will be able to interpret my gestures and my  
24 facial expressions if I'm alarmed about something.  
25 But the autistic child doesn't have this opportunity.

ROBERT S. RUST - DIRECT

2382

1           So if I were to go there not only without  
2           language but without any of these other things, I  
3           could imagine myself being exceedingly bewildered and  
4           to have somebody that understands and can help  
5           translate and help to settle something into these  
6           thing is an intervention of great importance.

7           MS. ESPOSITO: I would like to make a brief  
8           request. If we could check to make sure everyone's  
9           cell phone is off, that might have something to do  
10          with the interference we're hearing.

11          SPECIAL MASTER CAMPBELL-SMITH: Turn off  
12          your cell phones and your blackberries as well.

13          THE WITNESS: Mine is off.

14          (Pause).

15          BY MS. ESPOSITO:

16          Q       We'll go on to Slide 10, unless you have  
17          something else to say about number 9.

18          Number 10 is the red flags for autism. Can  
19          you describe what you see with the patients that come  
20          into your clinic?

21          A       This is only one of many things that I now  
22          ask about, and also what sometimes they call the  
23          recognition that mothers have about things they've  
24          known are not quite normal sometimes, but other times  
25          they haven't really.

ROBERT S. RUST - DIRECT

2383

1           This is one of the additional problems with  
2 recognizing autism is that so frequently these  
3 children that are diagnosed late are the first child  
4 of a family. That's characteristic. When I had my  
5 first child, there were many things I didn't  
6 understand about children. My wife says there still  
7 are.

8           But you don't know what to expect, and we  
9 see this in a broad variety of conditions, whether  
10 it's epilepsy or other things.

11           The only thing I'm emphasize in this slide  
12 is head shyness. This is not something that finds its  
13 way onto the checklist, but you find out about this  
14 after a time. The families understand this, they  
15 recognize it. This is a first year manifestation of  
16 so many children with autism whose language problems  
17 are recognized in the second year.

18           Q     What do you mean by --

19           SPECIAL MASTER CAMPBELL-SMITH: I was going  
20 to ask, what do you mean by head shyness?

21           THE WITNESS: Thank you, Special Master.

22           The issue here is whether a child will  
23 permit their head to be touched, whether they'll  
24 permit their hair to be brushed, whether they'll  
25 permit the hair to be washed, let their fingernails to

ROBERT S. RUST - DIRECT

2384

1 be cut. We know that many children don't like that,  
2 but this little bit of head shyness is a very striking  
3 thing.

4 In order to affirm that this is something  
5 that sets children apart I've spent a lot of time  
6 putting my hand on the head of other children of young  
7 ages that come into my clinic. This is the only way  
8 we really know what seems to us initially to have been  
9 something special and it turns out not to be.

10 And this is a very special sign that comes  
11 on early, along with lack of pointing and lack of  
12 responsive smile and some of these other things.

13 Now a responsive smile in the first year of  
14 life is a very difficult thing to know about because  
15 parents want their child to smile responsively.  
16 They're doing so much work for the child. I know  
17 about that. I thought I did as much as my wife and  
18 she said she did a lot more in the first year. That's  
19 the good thing about breast feeding, I guess.

20 But at that time you get the idea that the  
21 child is smiling in response. What grandparents know,  
22 I know as a grandparent, or not yet but nearly a  
23 grandparent, but you can blow a little puff of air in  
24 a child's face and you get what appears to be a smile.  
25 This allows the grandparent to one-up the parent

ROBERT S. RUST - DIRECT

2385

1 sometimes, to get seeming response.

2 So we need to set these things apart  
3 carefully. These slides are only meant to emphasize  
4 that we need to have more about what is normal and  
5 what's abnormal and when they come on to really know  
6 when autism arises.

7 I would also mention this issue of non-  
8 aversive eye contact. We say a lot about eye contact  
9 in children with autism and people postulate, these  
10 are the theories again, perhaps the child is shy,  
11 perhaps the child is anxious, perhaps the child is  
12 disinterested. All of these things we talk about, but  
13 it's only really in the last year or two, and a little  
14 longer, that we begin to understand that this also is  
15 a systems problem and the issue of eye fixation and  
16 eye aversion actually become one of these issues  
17 probably, this remains not entirely proven like so  
18 many things, but we know more about it than we used to  
19 because of careful scientific investigation and  
20 because we have techniques that will allow us to look  
21 at the system which are functional MRI. This can be  
22 done in children that are not necessarily so very  
23 cooperative.

24 We already know that there's already a  
25 genetic distribution of gaze. That men and boys are

ROBERT S. RUST - DIRECT

2386

1 more attracted to a moving stimulus than girls. This  
2 is well proven in the psychological literature,  
3 although people don't seem to be clear about it or  
4 don't seem to know about it. Women tend to look at  
5 things in detail and get a system of observations  
6 about what's there. Men are attracted to something  
7 that moves. Sometimes this is misinterpreted as an  
8 aspect of attention deficit because of  
9 distractibility.

10 But it's a very important developmental  
11 aspect of the function of men in civilization, noting  
12 what's going to come and attack their herd of sheep in  
13 the early days, probably. But these are determined  
14 genetically and are systems issues, and the  
15 abnormalities of these things, if we can define them  
16 better, are also things that allow us to know when the  
17 onset of a developmental disturbance occurs.

18 Q Your list of red flags for autism I believe  
19 continues on to Slide 11.

20 A Yes. Again, I've talked a little bit about  
21 what people do with trucks. It's been known for a  
22 long time that personal pronouns are left out, and  
23 it's known that echolalia is an issue here as well.

24 Putting objects in the mouth and touching  
25 the lips not so very well recognized, but in fact the

ROBERT S. RUST - DIRECT

2387

1 issue of putting things in the mouth is seemingly non-  
2 discriminately mouthing them, playing with them with  
3 the tongue, rubbing them on the lips is a very  
4 striking and common thing. It might be mistaken for  
5 some odd dietary thing in individuals, but it's a very  
6 common aspect of things.

7 Putting lips on cold surfaces, and that sort  
8 of thing.

9 Q Does that have any relationship with pica?

10 A It can be mistaken for pica. Children have  
11 a lot of odd habits about their eating that also need  
12 to be set apart from what normal children do. So  
13 there are a fair number, a large number of normal  
14 children that eat odd things. String or sand or other  
15 kinds of things.

16 But this issue of putting things in the  
17 mouth, tonguing them, and keeping them in the mouth,  
18 whether they happen to be pebbles or toy objects, that  
19 sort of thing, can be a feature of autism that can be  
20 seen in some normal individuals as well.

21 The social scripting is an aspect of this  
22 too. Although we're only beginning to understand this  
23 better. So issues that we again assign values to  
24 anger is something that we do see, very difficult to  
25 manage in children with autism, especially once they

ROBERT S. RUST - DIRECT

2388

1 become adolescents. And it's one of the most  
2 difficult things that families have to deal with.  
3 I'll say something in a moment about how I try to help  
4 out with that in the clinic in the four tools that  
5 we've got for these things. But laughter as well.

6 We've now come to understand that some of  
7 laughter and some odd aspects of breathing have  
8 something to do in later stages of autistic disorder  
9 with perhaps the triggering of seizures that have a  
10 pleasant sensation associated with them. These  
11 sometimes, including in my own practice, have been  
12 misinterpreted as behavioral issues of a different  
13 sort and treated in the wrong way.

14 Q Moving now to Slide 12, regressive autism.

15 A I've referred to so much of this already.  
16 Children that we have called regressive autism  
17 because, again, the recognition of their condition can  
18 sometimes arise at the end of the second year. But  
19 good data, including the data that we're gathering in  
20 my clinic, would suggest that about 80 percent of  
21 these children have been abnormal prior to that time  
22 during the first year of life.

23 Among those abnormalities, two were things  
24 that I noted in the records of the children that are  
25 involved in this trial. One of those was what people

ROBERT S. RUST - DIRECT

2389

1 have come to recognize as a quite striking thing in  
2 the first few months of life, the initial rise and  
3 fall of head circumference in a child during the first  
4 six months of life, without following the growth of a  
5 child in terms of length or for that matter weight,  
6 and this is what was displayed in a characteristic way  
7 in the head circumference measurements of William  
8 Mead.

9 In the case of Jordan King the records  
10 reflect a parental report of four to five words that  
11 were lost at one year of age rather than at 16 to 18  
12 months as some other aspects of the record suggest.

13 One only finds these things out by spending  
14 time with the family and carefully ascertaining what  
15 has gone on with the child.

16 Familial clustering. We do have this  
17 familial clustering where we can identify more than  
18 one child with autistic spectrum disorders. This does  
19 not distinguish classic autism, so-called, not really  
20 a useful term any more as we get to know more things  
21 from regressive, and not really a useful term any more  
22 as we know more about these things because we get the  
23 same degree of familial clustering in both those sets  
24 of disturbances.

25 As we look at children with

ROBERT S. RUST - DIRECT

2390

1 electrophysiological studies, we don't find that these  
2 necessarily distinguish classic from regressive  
3 autism, but on the other hand the data here is biased.  
4 The reason it's biased is that we've tended to do EEGs  
5 in children that have this seeming regression and  
6 possible regression and sometimes definite regression  
7 of the few words of language that they have at the end  
8 of the first year of life because we want at that  
9 point to see whether they have Landau Kleffner  
10 syndrome. We do that because we know how to treat  
11 that disorder and because we want to make it better.  
12 We want to do everything we can to make our children  
13 better, especially in this most important area of  
14 language dysfunction.

15 In doing these EEGs we do it and these  
16 children seem to have regressed in the same way that  
17 Landau Kleffner may have done. If we ask these  
18 children the history we find the same thing. Eighty  
19 percent of them have preceding manifestations of  
20 autism. As we try to treat it in the way in which we  
21 treat our children with Landau Kleffner, we find it  
22 doesn't work. There's an age difference between these  
23 individuals because Landau Kleffner tends to arise at  
24 three. But unlike what I think is said in Dr.  
25 Kinsbourne's report, we see it younger than that as

ROBERT S. RUST - DIRECT

2391

1 well. We see it at one or two years of age. But  
2 understanding the prevalence of that condition also  
3 takes seeing children with these disorders and trying  
4 to distinguish them.

5 Q So there is no distinct biologic process  
6 that differs autism from what could be a regressive --

7 A We can only formulate our biological  
8 hypotheses once we have an excellent understanding of  
9 these conditions. It's easier once we have a  
10 primitive understanding because we can jump to so many  
11 conclusions. It becomes much more difficult the more  
12 information that we gather. There is no clear way in  
13 which to say there's a biological difference between  
14 these two conditions.

15 We have to add the fact that with  
16 developmental systems conditions there can be  
17 different phases of regression. That's because of  
18 genetic signals that are involved in these conditions,  
19 can express themselves in successive phases of  
20 development. In the case of autism we now know that  
21 there is a second phase of regression in the second  
22 decade of life. The reason we didn't know that before  
23 is we didn't ask the questions, and because  
24 individuals with difficulties in the second decade of  
25 life were institutionalized so frequently.

ROBERT S. RUST - DIRECT

2392

1           We now try to find out about these things  
2           and know that that's the case.

3           What's probably a superb biological model  
4           for autism, in Rett syndrome we know there are at  
5           least three and possibly four successive phases of  
6           deterioration, but we find in the first deterioration  
7           in the first year of life; the second in four to six  
8           years of life; and the third in nine to eleven years  
9           of life; and perhaps thereafter.

10          Q     Dr. Rust, are you familiar with the term  
11          "clearly regressive autism"?

12          A     Well, I'm always suspicious about the word  
13          "clearly". It usually causes me to ask additional  
14          questions. Oftentimes the word "clearly" substitutes  
15          for proving your point. It just means this is the way  
16          it is and I know this is the case. In my experience,  
17          is another way which people try to say what's going  
18          on. But I don't think "clearly" is helpful, except to  
19          alert us to the fact that at that point once we think  
20          it's quite clear we need to ask this whole long list  
21          of questions to find out if it really is clearly a new  
22          phase of illness.

23          Q     Let's move now to Slide 13 where you talk  
24          about personality characteristics.

25          A     Yes. In families that have more than one

ROBERT S. RUST - DIRECT

2393

1 child with autistic disturbances we find other things  
2 in the extended family. These include such things as  
3 rigidity and aloofness and anxiety. They include  
4 hypersensitivity to criticism. They include the  
5 things that are listed here, limited friendships.  
6 Sometimes found in both parents, 38 percent.

7 This doesn't prove anything. What this  
8 tells us is we need to ask more questions. But what  
9 it does alert us to is the possibility that lesser  
10 degrees of expression of a genetically expressed  
11 condition may be causing disturbances in other family  
12 members. But then we need to go and find out in all  
13 the other people that we don't ever ask about these  
14 things, whether these things are true.

15 So it can lead us to the wrong conclusion  
16 unless we're very very careful about what we do.  
17 There are plenty of people with limited friendships,  
18 there are plenty of people with deficits in speech,  
19 there are plenty of people that are hypersensitive to  
20 criticism, many of them holding high office in this  
21 city.

22 (Laughter).

23 Q Let's move now to Slide 14, the heritability  
24 of autism.

25 A Increasing information about heritability as

ROBERT S. RUST - DIRECT

2394

1 we define these things better, and the degree of this  
2 increasing recognition has led people to observe that  
3 autism is perhaps among the most heritable of all  
4 neurological conditions. There are plenty that are  
5 more directly heritable.

6 But as regards conditions that we've come to  
7 understand are inherited, this isn't the same degree  
8 of kinship recognition that might suggest that  
9 possibility. Certainly similar to what we initially  
10 encountered as we began to study Rett syndrome, it's  
11 important for us to recognize that in 1984 when I saw  
12 my first patient that had Rett syndrome, this  
13 attracted so much attention in St. Louis Children's  
14 Hospital because this rare condition that we perhaps  
15 would never see another example of. At that point the  
16 question was, was this an intoxication because it was  
17 thought that intoxication might have something to do  
18 with that condition. That was Andreas Rett's first  
19 idea in 1965 when they recognized the stereotypies of  
20 that condition. This still lingered among the  
21 possibilities in 1984 for this rare condition.

22 But this is a condition that I see all the  
23 time now. It's the same inherited condition as it  
24 was. Its incidence is the same. Nothing has modified  
25 that incidence as far as we know, and --

ROBERT S. RUST - DIRECT

2395

1 Q You're talking now about Rett syndrome?

2 A Rett syndrome. Thank you for clarifying  
3 that. And I diagnose this condition now quite a few  
4 times a year.

5 So again, recognition tells us about things  
6 that have an incidence that we didn't recognize  
7 previously.

8 Q Let's move now to Slide 15, the genetics of  
9 autism.

10 A A genetic contribution is postulated to be  
11 involved in perhaps 90 percent. Not proven. This has  
12 to be proven. But again, the evidence is trending in  
13 this direction. Trending is another word to beware of  
14 in a paper or report because you need, again, to  
15 continually refine your idea about these things. But  
16 we know of a lot of conditions that cause single gene  
17 defects that may do this. We know imprinted  
18 conditions that may produce considerable autistic  
19 features that so closely resemble the behavioral and  
20 linguistic aspects of autism as well as electrographic  
21 characteristics, and these include conditions that are  
22 imprinted from both the maternal and the paternal  
23 side. These conditions mentioned here -- Angelman  
24 syndrome and Prader-Willi syndrome.

25 So we have a variety of genetic possible

ROBERT S. RUST - DIRECT

2396

1 explanations, and that always tells us that maybe  
2 there's a variety of gene expression, or maybe a  
3 variety of gene modification that may take place after  
4 the gene begins to express itself. This is an area  
5 of, among the hottest areas in science, progress  
6 taking place so very swiftly now as we understand how  
7 to do these things, and particularly in the setting of  
8 Rett syndrome.

9 Q Let's move now to Slide 16, a picture of the  
10 little girl. What's the significance of this photo?

11 A It's a child with Rett syndrome. It seemed  
12 to me they're particularly beautiful children. The  
13 same thing is true of the children I see with autism.  
14 I think a lovely child with so many impairments and we  
15 want everything we can do to be able to say why. We  
16 want to understand its variations and we want to be  
17 able to do something to improve communication and help  
18 these children with whatever else happens with them.

19 We have very few tools to do this in Rett  
20 syndrome as with autism. We have difficulty with  
21 breathing that is sometimes so similar, that is to say  
22 strange patterns of breathing. We're beginning to  
23 understand a little bit about that as I mentioned, in  
24 at least a very small subset of children with autism.  
25 But in this disorder we have so little to offer

ROBERT S. RUST - DIRECT

2397

1 sometimes. We try a great many things. We fix  
2 sometimes briefly, that those things help children  
3 with Rett syndrome, but so little that we can do about  
4 this condition, and we want to do it.

5 So we try to do things that won't cause any  
6 harm. We try to look carefully at things we thought  
7 might be helpful. We usually find out that they don't  
8 help very much.

9 These are children that tend to be very  
10 quiet and tend to sit quietly and perhaps get  
11 neglected in some ways. We don't know that's true  
12 either, because the parents of children with Rett  
13 syndrome, as the parents of children with autism, seem  
14 to me to be so very attentive to their children's  
15 needs in every possible way.

16 But it does lead to parents trying with  
17 these disorders a broad variety of treatments that are  
18 oftentimes very expensive and oftentimes particularly  
19 harmful. What I tell parents in those situations is  
20 that if it's very expensive, we would do it ourselves  
21 if we knew there was any proof it was going to help.  
22 And because we find so frequently that the ways in  
23 which these therapies are set up, sort of set up  
24 parents for the belief that it isn't going well if  
25 they're not adhering to the regimen carefully enough,

ROBERT S. RUST - DIRECT

2398

1 if they're not doing enough, if they haven't added  
2 enough solvents and oil extracts and hot baths and so  
3 many other things that the right combination will be  
4 hit upon if the parents spend all their time doing  
5 these things. We think that's disingenuous.

6 We see families bring their children back to  
7 us after treatments of all these broad varieties,  
8 whether it's hyperbaric oxygen, whether it's  
9 hydrocorticosteroids, whether it's patterning, whether  
10 it's, any number of other things. We see plenty of  
11 children that get chelation therapy. We do caution  
12 them that this is not necessarily a safe thing. There  
13 have been at least four deaths in the United States  
14 from chelation therapy. People that are practicing  
15 these things don't necessarily know exactly what  
16 they're doing.

17 So we try to follow up to see whether any  
18 toxicities have taken place.

19 But what we find in trying to be as  
20 objective as possible is that we don't see  
21 differences. Even though parents often report to us  
22 that there is some difference.

23 We know that we're subject to that too. We  
24 give treatments to children and think we've made a  
25 difference until we look very carefully. So it's

ROBERT S. RUST - DIRECT

2399

1 understandable people want things to be better, but we  
2 try, again, to keep that on this, with careful,  
3 subjective information about what's going on with the  
4 child.

5 Q Let's move on to Slide 17 which is focusing  
6 on Rett syndrome again.

7 A We now understand the genetic condition and  
8 we understand a good deal about what modifies its  
9 expression and why there are successive phases of  
10 development of this condition.

11 The first phase is usually five to nine  
12 months. These children, as well, have an increased,  
13 have a phase previously unrecognized of changes in  
14 head size preceding the onset of Rett syndrome,  
15 something that was overlooked until we began to look  
16 more carefully.

17 We also know that prior to that time, as we  
18 look carefully at the children, this is especially  
19 siblings, but we can see abnormalities of tone and  
20 abnormalities of sucking behavior. Again, oral  
21 behaviors are important in these disorders. And there  
22 are peculiarities of aversion especially in autism, an  
23 aversion that can be labeled as a GI problem but  
24 accounts in fact for most of the GI problems that we  
25 see in children with autism.

ROBERT S. RUST - DIRECT

2400

1 Another and probably peculiar sensory  
2 problem accounting for problems at the other end of  
3 the system as we look carefully. But issues in terms  
4 of oropharyngeal. Rejection of textures in autism.  
5 But in Rett syndrome there's not only rejection of  
6 texture sometimes, but abnormalities of sucking  
7 behavior. Paroxysmal abnormalities in the wake and  
8 sleep EEG is prominent at this phase of regression and  
9 may, of course, be seen in the second half of the  
10 first year of life in the children of autism where we  
11 do EEGs. And as I mentioned, the reason we do them in  
12 those children is not because they have seizures, it's  
13 because we wonder whether they have something that's  
14 treatable like Landau Kleffner syndrome. And Landau  
15 Kleffner syndrome is a condition that's epileptic in  
16 nature, that is caused by epileptic discharge and we  
17 know how to treat that.

18 But as I mentioned, we don't make, as we're  
19 trying, we have an ongoing project with regard to  
20 children with autism, but we don't make them better  
21 with regard to their language.

22 We do feel and have looked carefully at  
23 this, that we can make sometimes things better with  
24 regard to certain behavioral aspects and especially  
25 sleep, which is important.

ROBERT S. RUST - DIRECT

2401

1           Then there are, as I mentioned, ensuing  
2 phases of degeneration which can occur in genetically  
3 determined conditions, and it's possible that some of  
4 the children that have what appears to be a  
5 degeneration in the second half of the first year of  
6 life are in fact experiencing what we now would  
7 recognize as a second phase compared to the earlier  
8 manifestation, and that second phase having something  
9 to do with modification of gene expression or  
10 something else that happens at that time. But most  
11 likely that, because that's what we begin to  
12 understand about Rett syndrome.

13           Q     We're going to move on to Slide 18.

14           A     This slide, what it shows us is this is  
15 phases of brain development. The blue tells us about  
16 the phase at which brain development becomes mature  
17 throughout the brain.

18           Q     In our black and white copies can you  
19 identify where the --

20           A     I'm terribly sorry. Let me see if I have a  
21 black and white copy.

22                     The darker things are the blue. So the more  
23 darkening you see there, the more you find the areas  
24 achieve a mature representation. This is very  
25 difficult information to have obtained, and you might

ROBERT S. RUST - DIRECT

2402

1 guess, as I would have thought when I was a medical  
2 student, that this represents brain development  
3 between birth and three years of age or something like  
4 that, when the head size reaches something approaching  
5 its adult size.

6 This is between birth and 18 years of age.  
7 We now know that this continued development of the  
8 brain takes place until at least 24 years of age, with  
9 astonishing changes. And included in that in the mid  
10 teenage years is enlargement of brain size above what  
11 happened prior to that time. That's a phase where  
12 that enlargement in brain size has to do with  
13 remodeling that takes place. This involves, probably  
14 involves, this is not yet proven but this is one of  
15 the hottest and most promising areas in developmental  
16 neuroscience, including developmental neuroscience in  
17 the second decade of life. This includes the  
18 remodeling aspects of what we've formerly regarded as  
19 inflammatory things. We've thought so often it's a  
20 negative thing, but it turns out that the systems we  
21 regard as inflammatory and the systems we regard as  
22 neurodevelopmental, work hand in glove with each  
23 other.

24 We've come to understand that the ways in  
25 which these systems actually communicate amongst

ROBERT S. RUST - DIRECT

2403

1 themselves share very important and very careful  
2 modifications, very careful protections, and are  
3 involved in the way in which the dendritic trees,  
4 that's the way in which the brain elaborates and makes  
5 connections, modify themselves for the first three  
6 decades of life. That enlargement reminds us of the  
7 fact that we see enlargement of brain during phases of  
8 development and reminds us of the fact that during  
9 this first year of life when we see enlargement of the  
10 brains of children with autism, that that enlargement  
11 we now know in Rett syndrome as well, almost certainly  
12 involves elaboration of brain constituents and  
13 including during that period not only elaboration of  
14 neurointerconnections, but a concomitant elaboration  
15 of these other cells that play a role in modifying and  
16 eliminating these synapses that we've thought about  
17 previously as being inflammatory in nature. But  
18 because these are reparative systems as well.

19 So this takes place for these, down to 18  
20 years of age. We now know it takes place to 24 years  
21 of age. At each phase here we have genetic signals  
22 that turn on in order to make these elaborations and  
23 these developments and eliminations in which things  
24 such as glial system cells that eliminate the things  
25 that we don't want in the nervous system, so the brain

ROBERT S. RUST - DIRECT

2404

1 doesn't get so large as to become constricted inside  
2 of the skull, become very important actors. But also  
3 stages at which a particular genetic error may once  
4 again cause problems and cause a second phase or a  
5 third phase of deterioration such as this adolescent  
6 phase we see now that we recognize it and didn't  
7 before, in adolescent autism where we used to call it  
8 behavior or we used to call it rage or we used to call  
9 it anxiety. All these blunt labels that we improperly  
10 applied. Now we know it's a developmental neural  
11 problem as well. The same thing with Rett syndrome.

12 Q Just to clarify, for Slide 18 you're talking  
13 about Rett syndrome rather than autism?

14 A This is normal development I'm talking about  
15 here. And I'm talking about its relevance, its  
16 important relevance to these phases of development  
17 that take place and involve what we would regard as  
18 degeneration, or what we might regard mistakenly, if  
19 we don't look carefully at the brain as being  
20 something else such as mistaking microglial elements  
21 that are involved in remodeling as an inflammatory  
22 change, or as mistaking these neurodevelopmental  
23 changes as being something other than what they are.

24 This work is exceedingly tedious and so many  
25 errors have been made, and so much lack of recognition

ROBERT S. RUST - DIRECT

2405

1 has been made because people haven't done the sort of  
2 work that Dr. Bauman and others have done, and Dr.  
3 Courchesne and so many people have done. Not so many.  
4 A very small number. There's not much money to do  
5 this, very time consuming, very difficult. But in  
6 order to actually recognize what cells are what.

7 The reason we began to understand the issue  
8 of Purkinje cells first, is that they're all lined up  
9 in a row. I'll show you a picture of that. I think  
10 I've got it here. Maybe I don't. But they're all  
11 lined up in a row. You can just count them, one after  
12 another. Even at that, this was not recognized for a  
13 long time.

14 You get into the cortex in the areas that  
15 are so important in autism and Rett syndrome, language  
16 areas, frontal areas that are involved in modification  
17 of behavior, and you have to do such careful  
18 stereotypic analysis to know what cell is what because  
19 they overlap. And in order to understand what's a  
20 process and what's a cell and what size they are, as  
21 these studies have been done this is where we've come  
22 to understand now that there are these very important  
23 changes in the way in which the nervous system is set  
24 up in autism, in Rett syndrome, and that the same kind  
25 of microcolumnar changes, the same kinds of changes in

ROBERT S. RUST - DIRECT

2406

1 particular cellular systemic populations that talk to  
2 one another, that don't develop properly or may even  
3 degenerate to some extent because an additional signal  
4 that has to be turned on doesn't get turned on.

5 It's a wonderful thing that we're beginning  
6 to understand these things. Perhaps one day we'll be  
7 able to do something about diseases such as autism for  
8 which we haven't got good therapies other than, as I  
9 mentioned, trying to make whatever small things we can  
10 do about accommodation and learning in these other  
11 things better.

12 Q We'll move now to Slide 19. We'll try to  
13 pick up the pace here a little bit. We've got a  
14 number of slides to go through.

15 A Sorry.

16 Q I appreciate your explanations, but we'll  
17 try to move along here.

18 A I'll do the best I can to pick it up.

19 Q Slide 19.

20 A I mentioned Rett syndrome was overlooked for  
21 a long time. I mentioned, I think I've said  
22 everything that's really on this slide.

23 Q Okay.

24 A And in terms of variance, we now have 13 for  
25 Rett syndrome, all determined by the same gene with

ROBERT S. RUST - DIRECT

2407

1 modification. Likely some of the things we're setting  
2 apart very carefully as other kinds of disorders with  
3 autistic features, will find their way back into the  
4 family of autistic disorders such as these Rett's  
5 variants have as well, because they share the same  
6 mechanism causing the same systemic manifestations  
7 that we know are these peculiar behaviors that set  
8 autism and Rett syndrome apart from other diseases.

9 Q Slide 20?

10 A Now people are able to produce mice that can  
11 manifest so many of the features of Rett syndrome and  
12 show the same development, so we can look then at the  
13 pathology of these mice who show the same  
14 manifestations, same genes, same events and gene  
15 development that produce Rett syndrome. The same  
16 characteristic stereotypies with Rett syndrome.  
17 They're very peculiar. The child rubs their hands  
18 together so repetitively like this, that's one of the  
19 ways in which we make the diagnosis. But we only more  
20 recently came to understand that there is a gaze issue  
21 that we still don't understand.

22 What this is, and it's absolutely  
23 characteristic of Rett syndrome, and you can diagnose  
24 the case reliably in the office, when a child with  
25 Rett syndrome seems not to look at things, you might

ROBERT S. RUST - DIRECT

2408

1 call that gaze aversion, will momentarily fix you with  
2 a gaze like that, their eyes get a little bigger, and  
3 you suddenly feel like you're being stared through.  
4 It took looking at this a number of times to know  
5 exactly what was going on.

6 We still don't understand it, but we now  
7 know that as with autism, the centers that involve the  
8 direction of gaze are the likely explanation for this.  
9 yet again, more has to be understood about this, but  
10 it's one other shared feature of some importance that  
11 differ from each other, but maybe not so very  
12 different from each other.

13 We know that if you have inheritance from  
14 the father. And again, we have looked carefully at  
15 our trees to see whether these issues of strange  
16 behaviors that might suggest an autistic linkage. We  
17 don't know much about the paternal and maternal side.  
18 We need to know more about it.

19 But if you paternally inherit the MECP2 gene  
20 which is the thing that causes Rett syndrome, you have  
21 a loss of Purkinje cells in the same layers that you  
22 lose them in autism. We didn't know this before. And  
23 we have astrocytic gliosis as has been described in  
24 autism and can be misinterpreted as something other  
25 than what it is, a genetic expression of change in the

ROBERT S. RUST - DIRECT

2409

1 system with the associated modifications taking place  
2 as part of not a true inflammatory response, but a  
3 mopping up that these cells do to eliminate its  
4 synapsis and other kinds of things, and in the same  
5 layers, the molecular and granular layers.

6 So not an inflammatory change caused by a  
7 toxin that somebody has to do something about, toxins  
8 being, as I mentioned, non-specific as far as these  
9 injuries are concerned. Typically non-specific. But  
10 in the same areas that we see in autism.

11 Abnormal or early development of the  
12 inferior olivary nucleus which we didn't know before  
13 about autism, but exactly the same thing that we see  
14 in autism now that people are looking for it, and may  
15 in fact, and is likely in fact associated with the  
16 language disturbance that's so much more severe in  
17 children with Rett syndrome of the early onset variety  
18 than it is in many children with autism, but identical  
19 to many children with autism. This is, I'll say  
20 something more about that in a moment.

21 Q Moving now to Slide 21, Rett neuropathology.

22 A What else do we know about it? We now know  
23 that the synapses, and this is as the cells, these  
24 neurons migrate to get to the formed layers of the  
25 cortex of the brain. You can see them represented in

ROBERT S. RUST - DIRECT

2410

1 this slide as those various layers there. And you can  
2 see cells that are different sizes, perhaps, as  
3 they're moving through these layers. They move all the  
4 way out to the surface and then additional layers  
5 form.

6 I should say in passing that this very  
7 arduous process of these cells migrating to the cortex  
8 for all of us to form our brain in this very elegant  
9 way could not possibly take place unless there were  
10 astrocytes present because throughout their lives and  
11 throughout their production of all the things, that  
12 thinking cells we think of, the neurons do, they  
13 cannot do this without astrocytes. This is a team.  
14 And neurons specialize in doing these fine functions  
15 of thinking and appreciating and being inspired in all  
16 these things, but the seemingly lowly astrocytes are  
17 packed with all the things that nourish the neurons.  
18 Without those astrocytes there, it would never migrate  
19 in the first place; and without those astrocytes  
20 there, they would never survive.

21 So if you try to grow neurons in culture you  
22 have to have astrocytes. We now know some tricks to  
23 allow them to grow briefly, but even in those trick  
24 cultures, you have to put astrocytes in once they  
25 mature or they'll die off. This is a very important

ROBERT S. RUST - DIRECT

2411

1 thing for us to know about because if we injure  
2 astrocytes, if we make them go away, neurons will not  
3 survive.

4 So the idea that there might be a way in  
5 which neurons would become rambunctious or get out of  
6 order or cause autism because you've injured or  
7 eliminated astrocytes is really a scientific  
8 impossibility so far as we now very well understand  
9 this connection.

10 At any rate, there is increased density of  
11 neurons. Many of these are small neurons. There's  
12 increased packing of these neurons. We now know this  
13 is because of the expression of a particular thing  
14 that we didn't know about before called synaptophysin.  
15 This is a particular thing that helps form these  
16 synapsis for local connections and regulate their  
17 development.

18 So this is true of Rett syndrome and it's  
19 also true of autism. It's the same sort of thing that  
20 happens, now that we can carefully study both things.  
21 We don't yet know about synaptophysin in autism  
22 because we don't have the same animal model to look  
23 at, and because we have so few brains that have been  
24 studied in individuals with autism.

25 There's less dendritic arborization as well,

ROBERT S. RUST - DIRECT

2412

1 and this is in selected cortical areas.  
2 Frontotemporal and visual, same as in autism. And in  
3 selected layers. The neocortical layers two through  
4 three, five through seven. The same thing in autism.  
5 And in Folium II at the cerebellum, also similar to  
6 and almost the same as what takes place in autism.

7 Q Let's move now to Slide 22.

8 A What are the functional correlates of these  
9 things that we now understand? We understand that  
10 methylation has to take place. Successive steps in  
11 expression of these genes. There has to be  
12 suppression of certain gene transcription. If you  
13 don't suppress that gene transcription abnormalities  
14 can form.

15 We understand that some of these  
16 abnormalities may involve, as we now understand in  
17 autism, may involve the over-elaboration of  
18 connections, too many wrong connections, so that we  
19 get not only dense packing of cells, much dense than  
20 they ought to be. Too many neurons. But we may end  
21 up with too many local connections in certain cellular  
22 layers and we know this happens in autism. And it may  
23 be that what doesn't develop as well is long arc  
24 connections. That is to say connections between  
25 regions where there's the right number, not too many,

ROBERT S. RUST - DIRECT

2413

1 not over-connected neurons, but these long connections  
2 which connect one small area of the brain with other  
3 areas of the brain. I'll say more about that.

4 We now know that suppression of one  
5 particularly important thing in Rett syndrome, the  
6 DLX5, if it's not suppressed we have disregulated  
7 expression of GABA. What GABA is, this is a very  
8 important compound to neurons. It's a highly  
9 regulated aspect of when you get too much excitation  
10 in neurons. It's GABA that turns that off. It's  
11 exquisite that you turn this down so very quickly. It  
12 also happens in astrocytes so that you can turn things  
13 up or down as far as the channels that are involved in  
14 making glutamine. At least glutamate. I'm not sure  
15 about glutamine. But this particular thing is  
16 important that these cells can make this very  
17 exquisite change. If it doesn't happen accurately  
18 then we can see conditions such as seizures which are  
19 an aspect of Rett syndrome, an aspect of autism arise  
20 because you don't suppress these cells. It takes time  
21 to happen. It's a developmental process. The more  
22 you get this synaptic activity taking place, the more  
23 you're likely to remodeling which then leads to the  
24 possibility of having seizures.

25 But there's one very important thing to know

ROBERT S. RUST - DIRECT

2414

1 about these elaborated local and, as we now know,  
2 especially from functional studies, these under-  
3 elaborated, what we call long arc connections, one  
4 small area of the brain to another area of the brain.

5 If you have over-elaboration, I'm going to  
6 point out to you that this is a theory. I've warned  
7 you about theories, but it can be tested as time goes  
8 on. Is it possible that one of the most remarkable  
9 things we see about children with autism is what we  
10 call splinter skills. They're isolated areas of such  
11 remarkable function. You all know about individuals  
12 who can hear a piece of music and then play it on the  
13 piano. There have been people like Mozart who can do  
14 that. Some people say Mozart had autism. This is not  
15 true. It's not true. But if the music is played by  
16 individuals, at least when we've heard these things it  
17 also has this quality of strangeness that sets autism  
18 apart from other kinds of functions.

19 One of the things that's so striking in  
20 autism that isn't asked about, it's asked about in my  
21 clinic, is the children with autism who seem to not be  
22 paying attention or seem to have very selective gaze  
23 or seem to have many things that people can assign  
24 questions to and say what's causing it. So frequently  
25 you find, in the majority of children, that a child

ROBERT S. RUST - DIRECT

2415

1 with autism will go some place that they've been  
2 before, a different season, three years prior to that  
3 time, they'll look up and look at this place and say  
4 something's down there. The child with words to say  
5 these things. And the family will say I don't think  
6 so. Dad will say that, because dads don't remember  
7 these things. They don't know what's associated with  
8 other things, as I mentioned. Mom might know.

9 But this sort of memory, this sort of trick  
10 of memory, is a remarkable thing. It's a trick of  
11 connection between things that possibly are quite near  
12 to each other in the nervous system. Memory for words  
13 in their connection to other things we know are quite  
14 near each other in memory banks.

15 I had a patient who lived in a town with a  
16 phone book that big, and when he came --

17 Q Your fingers are about how far apart?

18 A Oh, I'm so sorry. I'd say that's three-  
19 quarters of an inch. I'm something of a carpenter so  
20 that's probably right. Other things I don't know  
21 about.

22 Green Bay, Wisconsin is where this was, and  
23 I could mention a name in that phone book, any one I  
24 picked out, and -- the strangeness was the speed. The  
25 social aspect of speed and communication, something

ROBERT S. RUST - DIRECT

2416

1 that's wrong in autism. If I got him to slow down,  
2 the numbers were always right. I couldn't do that.  
3 None of us in this room could do that. It's a  
4 remarkable preservation of a skill that's likely,  
5 theory, likely very close to things.

6 What about these other skills? Social  
7 interaction of language. Social interaction of  
8 gesture, which is motor, which is ataxia, which is the  
9 cerebellum, which is different motor systems.  
10 Language itself, broadly expressed in the nervous  
11 system. Lateralized in normal individuals, less  
12 lateralized likely in autistic individuals. These are  
13 the long arc connections that we know now from  
14 functional studies are not expressed in autism as they  
15 are in normal individuals. Another aspect of brain  
16 development.

17 So chromatin folding, other kinds of things  
18 here. I won't go into detail. But the last of these  
19 is that we now understand that this ramifies itself to  
20 issues of brain energy which we're beginning to  
21 understand better. And one might in fact mistake this  
22 for mitochondrial disease. But in fact it does have  
23 one aspect of mitochondrial disease, and that aspect  
24 is what we know is wasteful energy expenditure.

25 So if one were to find something that might

ROBERT S. RUST - DIRECT

2417

1 suggest mitochondrial disease in autism, one would  
2 anticipate from the comparison that this disease, so  
3 similar to autism, that it might have exactly the same  
4 genetic basis, exactly the same expression, in the  
5 same complexes as we might see in autism if this is  
6 true, and it probably is in Rett syndrome. It's  
7 testable.

8 Q Dr. Rust, we're going to move along through  
9 a few more slides. Can we move up to Slide 25?

10 A Can we go back to the prior one?

11 Q Slide 24.

12 A This is what I'm talking about. This is,  
13 you can see, those are blood vessels, the large ones.  
14 But you can see the connections, those long arc  
15 connections are the things that seem to be trailing  
16 down there in the illustration here. Those are the  
17 things that we know from functional studies are  
18 reduced in autism.

19 Q Slide 25 now, the neuropathology.

20 A Neuropathology. Again, we've got that early  
21 increase in brain weight that we talked about. We've  
22 got expression in particular brain areas that are  
23 systemically connected areas. Just as I mentioned to  
24 you the connection between brain stem and those  
25 Purkinje cells that have, as we now understand and

ROBERT S. RUST - DIRECT

2418

1 never understood before, something very important to  
2 do with language development. We've got the amygdala  
3 which Dr. Bauman's elegant studies, again done very  
4 carefully where you looked in this very complex organ  
5 that sits at the base of the brain, connects with all  
6 of these areas that have to do with certain kinds of  
7 impulses, certain kinds of behavior aspects, have to  
8 do with language, have to do with so many systems.  
9 This amygdala is connected with so broad an area of  
10 the brain.

11 What you found there is this increased  
12 packing of small neurons. Just the same thing. It  
13 has to be measured very carefully so that if you don't  
14 do that you're going to overlook it and you're going  
15 to come to the wrong conclusion about what's going on  
16 there. But this again is the same issue. The same  
17 sort of packing that interferes with the long arc  
18 formation and suggests local connections are overly  
19 abundant into which we can get into trouble.

20 Truncated neuronal dendritic arborization,  
21 just like Rett syndrome, and the increased density of  
22 small neurons, just like rett syndrome.

23 Q If we can go to Slide 26, some other  
24 observations you have.

25 A These have been put together more recently,

ROBERT S. RUST - DIRECT

2419

1 especially in the work of the Courchesne laboratory in  
2 California, to the identification of these  
3 organizational structures that are called  
4 microcolumns. These are the sorts of things, we have  
5 the right number of local connections, the right  
6 number in a columnar organization of long arc  
7 connections connected with other areas of the brain,  
8 and this happens wondrously and fortunately in most of  
9 us; and unfortunately and tragically in a small number  
10 of individuals with Rett syndrome or autism.

11 This is what I've already spoken about. For  
12 example, again this issue of gaze, and people have  
13 made many observations that I think are really,  
14 they're probably based on not seeing enough children  
15 with autistic disorders and they probably are not  
16 reading enough in depth about what really is going on  
17 in autism. But what we find about gaze problems was  
18 all the silly things we might say about them, is these  
19 really do have something to do likely with, especially  
20 distinctive gaze abnormalities as we see in Rett  
21 syndrome and autism. The connectivity of these  
22 microcolumnar things with centers at a great distance  
23 from where we have problems with packing and these  
24 sorts of things.

25 Q The next slide, Slide 27, pathology of

Heritage Reporting Corporation  
(202) 628-4888

ROBERT S. RUST - DIRECT

2420

1 autism.

2 A In autism we see the same thing, a selective  
3 cortical microcolumnar digenesis as in Rett syndrome.  
4 We see increased thickness as in Rett syndrome. We  
5 see GABAergic loss, the same thing as in Rett syndrome  
6 that I mentioned in Rett syndrome has to do with the  
7 failure to suppress. Not to express, but to suppress  
8 a particular gene. There are many many genes that  
9 have to be suppressed so that they don't express  
10 themselves. This is true of cancer, and it's true of  
11 Rett syndrome. So we protect ourselves from things  
12 because of the way the system developed.

13 Increased outer cortical radiate white  
14 matter. This is another feature. But despite what  
15 some people have said about inflammatory disease in  
16 white matter in autism, it isn't a feature of the  
17 pathology of autism.

18 So what we have is an increase in the  
19 density of outer cortical radiate white matter and  
20 inner bridging/sagittal white matter. These are terms  
21 that don't mean anything to anybody in the room but me  
22 probably. But what this tells us about is the very  
23 same thing I've been trying to talk about, this whole  
24 issue of local connections versus distant connections.  
25 This very same issue of over-dense packing, over-dense

ROBERT S. RUST - DIRECT

2421

1 connection between local things, under expression of  
2 things that suppress that locality, and the ways in  
3 which these things express themselves. We can in fact  
4 see especially bridging areas that carry lots of  
5 fibers that go all around the brain as being too  
6 small. Another area where errors have been made. I  
7 won't go into that right now, but I'll just  
8 acknowledge the fact that this has to be done most  
9 carefully and that's it.

10 Vision, hearing, peripheral nerves in the  
11 pathology of autism are uninvolved. Very importantly,  
12 uninvolved. Normal vision with regard to the visual  
13 apparatus. Abnormality of these long arc connection  
14 systemic functions about vision. So normal hearing.

15 SPECIAL MASTER HASTINGS: Doctor, let me  
16 interrupt and ask, when you use the term autism in the  
17 title for this slide are you now referring to the  
18 narrow category of autistic disorder, not all  
19 pervasive developmental disturbance? How are you  
20 using the term?

21 THE WITNESS: Thank you, Special Master. I  
22 apologize. That's a very important question that  
23 you're asking.

24 With the studies that are so important to us  
25 which are the Bauman studies and others since that

ROBERT S. RUST - DIRECT

2422

1 time, this is very scrupulously and carefully limited  
2 to children with autistic disorder. So it doesn't  
3 include these other disorders. I'm comparing them to  
4 something that's been set apart because we know the  
5 genetic cause which is Rett syndrome. But in making  
6 the comparison of the pathological findings between  
7 those two conditions because they so strikingly  
8 resemble one another. To imply with I think some  
9 reason that one might regard the autistic disorder as  
10 being a genetic condition because of, again,  
11 increasing numbers of comparison that are so similar  
12 in terms of manifestations, clinical course, and  
13 pathology. So that's a very important question.

14 If we included all those other disorders we  
15 would get exceedingly confused about these things. In  
16 addition to that the age of the patient and other  
17 things must carefully be defined, because as I say it  
18 may be a developmental pathology.

19 So this is autistic disorder.

20 SPECIAL MASTER HASTINGS: And let me also  
21 make a comment here. I take it so far what I've heard  
22 from you, and I've been listening as hard as I can,  
23 you're giving us a lot of background on Rett syndrome  
24 and now you're moving into autism and sort of how it  
25 works.

ROBERT S. RUST - DIRECT

2423

1 I would just like to emphasize that we have  
2 a particular theory of causation of regressive autism  
3 that has been put forth by Petitioner's experts, and I  
4 gather you're giving us enough background so you can  
5 then explain to us why you think that theory is  
6 incorrect. That seems to be where you're going here.

7 But I guess what I'll say is, you need to  
8 give us enough background that we can understand your  
9 theory. So far I've been pretty overwhelmed with a  
10 lot of detail that I have really, as yet, no idea how  
11 it relates to the theory that I heard from the  
12 Petitioner's expert. So if you can, as best you can,  
13 focus on giving us what we need to understand without  
14 giving us everything you've learned about autism in  
15 your long career. I don't think I'm going to be able  
16 to absorb all of that.

17 With that, I'll turn it back over to you.

18 THE WITNESS: Thank you, Special Master.  
19 That is the direction you anticipated where I was  
20 heading.

21 BY MS. ESPOSITO:

22 Q Dr. Rust, you've got a copy of the handout  
23 in front of you as well, correct?

24 A I do. I think all I'll say about this  
25 complex slide is --

ROBERT S. RUST - DIRECT

2424

1 Q That's going to be Slide 28.

2 A Slide 28, thank you so much. Is that  
3 particular areas are involved. These are areas that  
4 have a particular brain system with which they're  
5 involved. These particular systems almost certainly,  
6 and we know in some instances certainly, have  
7 particular genetic expression that develops them.  
8 It's the same in so many ways to Rett syndrome that we  
9 now understand is a genetically determined  
10 developmental condition that explains the abnormality  
11 of development, and so this is the similarity between  
12 the two things.

13 The other reason for mentioning these  
14 particular focal areas is that I'll want to compare  
15 the ways in which this startling contrast with what  
16 may be seen either in inflammatory illnesses, although  
17 there's a broad variety of things, but especially with  
18 regard to mercury.

19 Q I think we can move through a number of  
20 these slides at this point.

21 A Again, this is what things look like. We  
22 can go on from there.

23 Q This being Slide 30.

24 A Again, this is a system thing that we now  
25 understand are connected to one another.

ROBERT S. RUST - DIRECT

2425

1 Q To the extent we can minimize it, let's go  
2 through --

3 A I will say one thing about this slide.

4 Q Slide 33.

5 A It's one of the reasons that it's difficult  
6 to avoid some complexity. But if you look to the left  
7 hand side, you've heard about Purkinje cells, I  
8 reckon. That's what they look like.

9 This is the point that I made with regard to  
10 them being lined up one after another so you can count  
11 them. This is one of the reasons, even though it was  
12 overlooked, is one of the things we now recognize as  
13 being a hallmark of Rett syndrome, genetically  
14 determined, and of autism that most of us presume is  
15 genetically determined.

16 SPECIAL MASTER CAMPBELL-SMITH: Dr. Rust,  
17 when you say these are the things that are lined up,  
18 you're referring to the bulbus-like figures in the  
19 left hand picture?

20 THE WITNESS: Thank you, they are. That's  
21 right. They have that sort of appearance of a  
22 narcissus bulb, something like that.

23 Next to it is actually a representation of a  
24 Purkinje cell.

25 I want to stress something about the

ROBERT S. RUST - DIRECT

2426

1 complexity of this and it's the reason I've said so  
2 much. One Purkinje cell has probably 175,000 synapses  
3 and probably 350,000 inputs. The nervous system is  
4 very complicated. It's remarkable it doesn't go wrong  
5 any more often than it does, but in order for this  
6 development to take place you need exquisite  
7 regulation of this abundant amount of regulation and  
8 you need genes that turn on and off at various stages,  
9 and you need cleaning up of the debris. That's what  
10 the immune system does.

11 It may do other things, because there is  
12 increasing evidence that the immune cells that have  
13 been talked about here in terms of possible  
14 inflammatory cells have a role almost certainly in the  
15 normal development of a system, and if one doesn't be  
16 careful about what one calls those cells, one can  
17 mistake the presence of those cells, once one looks  
18 carefully enough to find them, as evidence of  
19 inflammation.

20 Q Dr. Rust, if we can move up to Slide 45,  
21 we're going to skip a number of them.

22 A May I look through them?

23 Q Sure. The Special Masters will have copies  
24 of the slides to review on their own later.

25 SPECIAL MASTER CAMPBELL-SMITH: Let me point

ROBERT S. RUST - DIRECT

2427

1 out that if it is a slide that you think is pertinent  
2 to your discussion and explanation, we would rather  
3 have our review of the slides with you, Dr. Rust.

4 THE WITNESS: Thank you so much, Special  
5 Master.

6 If I were to try to put one sentence to each  
7 slide, would that be useful?

8 SPECIAL MASTER CAMPBELL-SMITH: In your own  
9 judgment. But I'm saying if it is germane to your  
10 opinion and you really want the best understanding of  
11 the slide it is best for you to review them rather  
12 than a take-home course.

13 THE WITNESS: Could we see the next slide,  
14 and I'll try to do this quickly.

15 BY MS. ESPOSITO:

16 Q This will be Slide 34.

17 A All I'll say about this slide is that there  
18 is a significant peculiarity with regard to the  
19 reaction to drugs on the part of children with autism.  
20 This is especially true with children with autistic  
21 disorder, carefully defined, and this speaks to  
22 systems problems. It tells us we must be very careful  
23 in treating children with autism, but again it's  
24 evidence that we've got to be careful what we do for  
25 children with autism. With our treatments, limited as

ROBERT S. RUST - DIRECT

2428

1 they are, we must be very careful about what we're  
2 doing. We sometimes significantly over-estimate what  
3 we're doing for a child, but we've become much more  
4 careful about that and we're very concerned about a  
5 number of therapies being added to this without that  
6 same degree of oversight.

7 Next slide.

8 The systems have something to do with other  
9 things we see in children with autism as in Rett  
10 syndrome. These involve a lot of neurotransmitters.  
11 I won't go into them in detail, but these are all  
12 systems diseases. And these systems diseases,  
13 connections of various parts of the brain with  
14 neurotransmitters are diseases that we  
15 characteristically have come to recognize as diseases  
16 that are genetically determined.

17 SPECIAL MASTER CAMPBELL-SMITH: This is on  
18 Slide 35?

19 THE WITNESS: Slide 35, I'm terribly sorry.  
20 And not features of what we find are environmentally  
21 injured brains.

22 I mentioned the environmental aspect of  
23 autism that's very important. That's the aspect of  
24 communication and the aspect of understanding. That's  
25 very important for us to know about as an

ROBERT S. RUST - DIRECT

2429

1 environmental aspect of things.

2 I mentioned about this, and we can go on.

3 This is the way in which we look at these  
4 systems.

5 BY MS. ESPOSITO:

6 Q This would be Slide 37.

7 A Slide 37. Again, this new technique that we  
8 now have of functional MR spectroscopy. We didn't  
9 have this before. The more we do in children with  
10 autism the more we find that these are systems that  
11 are going wrong. Developmental systems that are going  
12 wrong, and this is not the way in which we see  
13 systems, we don't see these system problems in  
14 toxicity and we don't see these system problems in  
15 inflammatory disease.

16 SPECIAL MASTER CAMPBELL-SMITH: Let me ask  
17 on Slide 37, you have circled areas up in the A  
18 portion that are red. Will you discuss those later?  
19 Is that something you need to draw particular  
20 attention to?

21 THE WITNESS: What I'm identifying here is  
22 the absence of expression, the open circle, in the  
23 child with autistic spectrum disorder. Of  
24 particularly important expression in the cortex of the  
25 brain, an area that hasn't developed properly.

ROBERT S. RUST - DIRECT

2430

1           SPECIAL MASTER VOWELL: Doctor, while we're  
2           on this slide, you made the statement that systems  
3           problems are not seen in inflammation or toxic  
4           insults. So is what you're saying that the systems  
5           problem has something to do with development, or that  
6           toxic insults or inflammation doesn't target these  
7           areas? I'm not sure I understood what you meant.

8           THE WITNESS: Yes, Special Master, and it's  
9           important for me to add that this is with regard to  
10          the complexity of these identifiable systems problems  
11          and our increasing understanding of these techniques  
12          of where these systems are and what they connect with.

13          With toxicity or inflammation, the effects,  
14          first of all, are all at once and nothing first. They  
15          take place when the exposure takes place or the  
16          infection takes place, and that's that. They affect  
17          the system based characteristically on the types of  
18          cells, no matter where they're to be found. So they  
19          may affect neurons no matter where they're to be  
20          found. That's typically the case in these kinds of  
21          conditions.

22          Sometimes there's a greater vulnerability of  
23          a particular area of the brain but the system doesn't  
24          have the same problem so we don't see the same thing  
25          in toxicity or inflammation.

ROBERT S. RUST - DIRECT

2431

1 SPECIAL MASTER VOWELL: And by systems, you  
2 are referring to how different parts of the brain  
3 interact with one another as opposed to a specific  
4 part of the brain that controls a specific function.

5 THE WITNESS: Yes, Special Master, that's  
6 exactly right.

7 SPECIAL MASTER VOWELL: Okay.

8 MR. MATANOSKI: Special Masters, I suggest  
9 at this point so that we can perhaps move along a  
10 little more rapidly, if we could take our, I don't  
11 know whether you were planning on having a break this  
12 morning or not, if we could do that, then perhaps Dr.  
13 Rust could look through some of these slides and  
14 decide which ones were appropriate to comment on and  
15 we can move on after we come back.

16 SPECIAL MASTER CAMPBELL-SMITH: The morning  
17 break would be a 15 minute break.

18 MS. ESPOSITO: That's fine.

19 SPECIAL MASTER CAMPBELL-SMITH: Maybe we'll  
20 push that just a little bit further for ease of  
21 reference.

22 My clock is showing about five of noon, so  
23 12:15, if we could come back? Do you have a different  
24 time? There's another watch that says 11:48, which  
25 puts us --

ROBERT S. RUST - DIRECT

2432

1 MR. POWERS: That's the consensus watch.

2 SPECIAL MASTER CAMPBELL-SMITH: The  
3 consensus watch makes it closer? Well then 15 minutes  
4 which will bring us back at noon. We'll do that.

5 MS. ESPOSITO: Maybe five after?

6 SPECIAL MASTER CAMPBELL-SMITH: Five after.  
7 I'll let somebody with a more reliable watch get us  
8 back here at five after.

9 (Laughter).

10 Thank you. We're in recess.

11 (Whereupon, a short recess was taken).

12 SPECIAL MASTER CAMPBELL-SMITH: Please be  
13 seated back in your same spot because we got the  
14 microphones to work.

15 Just a quite note, looking ahead,  
16 recognizing that Dr. Rust has limitations on his  
17 schedule, thinking that we'd go as long as we can  
18 before we try and take a lunch break, but recognizing  
19 that the local cafeteria closes at 2:30, our thought  
20 was we might try to break about 1:45 for lunch.

21 Those of you who have more accurate time  
22 pieces might want to try and flag my attention as  
23 we're getting close. Just to be put on alert about  
24 that's our preliminary thought for schedule.

25 MS. ESPOSITO: Okay.

ROBERT S. RUST - DIRECT

2433

1 SPECIAL MASTER CAMPBELL-SMITH: Ms.

2 Esposito, you may continue your Direct Examination.

3 BY MS. ESPOSITO:

4 Q Dr. Rust, we're going to move to Slide 41.

5 I believe you had a brief comment about the

6 hyperactivity note at the bottom of the slide.

7 A Yes. Again, I've said perhaps too much  
8 about systems, but these are some examples of them.

9 These kinds of behaviors that we see that  
10 are so very peculiar in children with autism are  
11 things that an inexperienced observer might mistake as  
12 hyperactivity, anxiety, other kinds of things, I've  
13 already mentioned that issue of label. I think it's  
14 important to bring this up within the context. I'll  
15 be commenting on Dr. Kinsbourne's report, but there is  
16 a considerable emphasis placed on these as  
17 manifestations of a hyper-excitabile state in the  
18 nervous system and I'd simply say it doesn't make  
19 sense to me to put things together in that way. It's  
20 certainly not in keeping with the data that I'm aware  
21 of or my experience in the clinic with considerable  
22 numbers of patients. And these kinds of behaviors, as  
23 I already mentioned, melt away so dramatically in the  
24 setting of families that show understanding and  
25 educational settings, and yet some things persist.

ROBERT S. RUST - DIRECT

2434

1 They need to be separated from one another and to cull  
2 themselves, whether it's anxiety, hyperactivity,  
3 hyper-excitability of the brain is far beyond what we  
4 know about these things.

5 Q We're going to skip a few slides, but we'll  
6 move up to Slide 45. Can you explain to me what this  
7 is? It says, "To whom it may concern".

8 A The preceding slides concerned some of these  
9 peculiarities of behavior with the emphasis on how  
10 these are almost certainly systems related things,  
11 differences of behavior. If children were autism were  
12 most of the people in the world, we might look  
13 peculiar in that setting, but nonetheless, this is the  
14 way things are.

15 Because of these things, because of lack of  
16 understanding, when I see a family with a child with  
17 autistic features I give them this card. This is so  
18 they can show this card to people in the supermarket,  
19 or they can show it to Uncle Ed or they can show it to  
20 whoever it is, that tells them they don't understand  
21 how to care for their child. It's important to know  
22 that all of us have difficulties understanding autism  
23 and it's important to know that people sometimes try  
24 to intervene in children with autism and not  
25 understand what they're doing, so this is what this

ROBERT S. RUST - DIRECT

2435

1 card is all about.

2 MR. POWERS: Excuse me. I have a question  
3 for counsel and for the Special Masters. Are the  
4 slides that are being skipped, are they being  
5 withdrawn from the exhibit? How are we handling that?

6 SPECIAL MASTER VOWELL: I certainly have  
7 questions on some of them that I intend to go back to,  
8 if that helps you.

9 MR. POWERS: Okay. And I would too. I just  
10 wanted to get clear that what we see here as this  
11 exhibit, even though it's being perhaps skipped on  
12 Direct testimony is remaining in the record and  
13 there's an opportunity for Cross on this.

14 SPECIAL MASTER CAMPBELL-SMITH: Yes.

15 BY MS. ESPOSITO:

16 Q We'll move now to Slide 49. Talk about  
17 methyl mercury intoxication. Can you explain to me  
18 what we see in methyl mercury intoxication?

19 A We have a good deal of information about  
20 methyl mercury because of the tragic experience in --  
21 could we go to Slide 48?

22 Q Slide 48, okay.

23 A We know about this condition because it was  
24 so carefully studied pathologically, clinically, and  
25 all other ways. This is a young child that had methyl

ROBERT S. RUST - DIRECT

2436

1 mercury intoxication. As was typical in these cases,  
2 it was a disease that occurred prenatally, thought to  
3 be the case because of the concentration of methyl  
4 mercury being much higher in the fetus than it was in  
5 the mother, with observations that the pregnant  
6 mothers of children in Minamata Bay were not affected  
7 by the methyl mercury intoxication in the same way  
8 other individuals that were not pregnant were. The  
9 tragic consequence, despite the fact that the mother  
10 didn't have disease, was a child with severe  
11 neurologic disease. Children as in this instance  
12 cared for by their mother throughout their ensuing  
13 life.

14 Q And Minamata, was that a congenital mercury  
15 exposure?

16 A This was, again, the children manifested  
17 this condition, or fetuses during the period that they  
18 were exposed. Again, the thought is that the fact  
19 that the mothers were less likely to have poisoning  
20 and manifestations was because of concentration of the  
21 toxin in the baby.

22 This suggests to us, for which there is  
23 additional evidence, that a very high dose was  
24 necessary. That the mother could be protected, yet  
25 exposed to the same waste material that had the methyl

ROBERT S. RUST - DIRECT

2437

1 mercury as long as the toxin was concentrated in  
2 another individual. And the fact that this affected  
3 children in the prenatal environment as compared to  
4 children that were post birth, again is interpreted as  
5 because of concentration.

6 So people do have some ability to withstand  
7 this toxin unless exceedingly high concentrations are  
8 achieved.

9 Q I think on Slide 49 you describe what methyl  
10 mercury intoxication actually looks like.

11 A The clinical aspects of it are these.  
12 Severe visual and hearing deficits, as I mentioned.  
13 These are not features of autism. Severe central  
14 nervous system and motor dysfunction. Not a feature  
15 of autism. In fact motor function in autistic  
16 individuals is oftentimes quite dramatically  
17 excellent. Severe peripheral nervous system sensory  
18 dysfunction. Not a feature of autism. And limb  
19 deformities. Not a feature of autism.

20 Q Slide 50, the pathology for Minamata Bay.

21 A Almost exactly the opposite of what we see  
22 in autism. The large neurons that seem to be less  
23 well represented in autism are spared as are the  
24 deeper cortical laminae. This is not, as I was trying  
25 to emphasize in the preceding slide, an example of

ROBERT S. RUST - DIRECT

2438

1 systems dysfunction or remodeling. It's a matter of  
2 toxicity and we don't see the same system problem. We  
3 see the central nervous system relatively spared  
4 because of blood-brain barrier, and the deficits tend  
5 to involve peripheral nerves more.

6 Sparing of Purkinje cells. Very  
7 importantly, which we know are exquisitely sensitive,  
8 seemingly, in autism. And this is despite a  
9 relatively uniform distribution of mercury in the  
10 brain.

11 Q Let's move to Slide 51.

12 A If an injury is produced to the brain as is  
13 suggested in these cases by inorganic mercury, the  
14 pathology and the dose required one must presume to be  
15 exactly the same as that in these methyl mercury  
16 intoxications if the emphasis is placed, as it appears  
17 to be, in Dr. Kinsbourne's discussion on inorganic  
18 mercury and its accumulation in the brain. Because  
19 both methyl mercury and ethyl mercury break down to  
20 inorganic mercury. There is a small difference in  
21 terms of concentration that is nothing like the  
22 difference in concentration that's observed in  
23 Minamata Bay disease where prenatally the children  
24 seen preferentially to accumulate mercury.

25 Q Dr. Rust, we're going to move now to a

ROBERT S. RUST - DIRECT

2439

1 discussion of the two children in these cases. We'll  
2 start with William Mead. We're going to break from  
3 your slide show for the time being.

4 Do you agree that William Mead has autism?

5 A Yes, ma'am.

6 Q In your opinion was William's autism caused  
7 or contributed to by his receipt of Thimerosal-  
8 containing vaccines?

9 A No, ma'am.

10 Q Can you explain that?

11 A I've tried to explain it in the preceding  
12 information. He doesn't have a disease that has the  
13 clinical aspects of mercury intoxication. It's a  
14 disease that has all of the features and  
15 manifestations that we know in autism and find in  
16 great measure in Rett syndrome that we know is a  
17 genetic disease.

18 Q In your report on William Mead you discussed  
19 the significance, and in your testimony earlier today,  
20 you discussed the significance of William Mead's  
21 enlarged head circumference. There was an issue last  
22 week as to the citation for that head circumference.  
23 I'd just like to clear that up with you at this time.

24 The reference in your report was William  
25 Mead Exhibit 3 at page 34. This is what's on the

ROBERT S. RUST - DIRECT

2440

1 screen right now.

2 Can you tell me what this exhibit is?

3 A That's a representation of length and head  
4 circumference, and I thought it was at birth.

5 Q Let's look at William Mead Exhibit 1 at page  
6 four. Can you tell me what this is?

7 A I apologize for the error of citation. This  
8 is the important illustration of head circumference  
9 crossing centiles. This is quite unusual during the  
10 first three or four months of life.

11 Q What was blown up here is the head  
12 circumference over the first few months of life chart.

13 A This is what I believe I represented in my  
14 report. The 60th rising to the 97th percentile,  
15 something like that, and then declining thereafter.

16 Q Dr. Mumper had suggested that William's  
17 large head size was just in correlation with the size  
18 of his body, that he was just a large baby. As a  
19 pediatric neurologist, is that your understanding of  
20 what happened here?

21 A No, ma'am. We see this rise being out of  
22 proportion to the increase in linear growth of the  
23 child.

24 Q Is there a point on William's growth chart  
25 for his head size that's particularly telling to you?

ROBERT S. RUST - DIRECT

2441

1           A     The high point of these charts, if people  
2           are not used to looking at them, represents centiles  
3           for growth parameters. We use these as things that  
4           may help us to detect the cause of a problem. But in  
5           addition to the increase, the even more telling aspect  
6           of this is the ensuing decline because there isn't  
7           anything that can compress the head and cause this  
8           change as time goes on. We see rather an initial  
9           increase with the ensuing decline in size suggesting  
10          that something developmentally has gone on. If one  
11          were to have a hemorrhage or hydrocephalus one would  
12          see further increase, and it's this decline that takes  
13          place afterwards is the thing that we see in children  
14          with autism in the first year of life.

15          Q     And by decline, you mean that William's head  
16          circumference came back towards the mean?

17          A     As you can see, it continues to grow but the  
18          rate of growth violates the centile.

19                   SPECIAL MASTER CAMPBELL-SMITH: And that is  
20          represented by the circles that are on the arcs.

21                   THE WITNESS: Yes, Special Master.

22                   BY MS. ESPOSITO:

23          Q     Dr. Rust, did you find any significance to  
24          William's numerous sicknesses during his first few  
25          years of life?

ROBERT S. RUST - DIRECT

2442

1           A     They didn't seem to me to differ in any  
2     quantitative way from other children.  Is there a  
3     specific you'd like to ask me about?

4           Q     Just the round of the antibiotics.  I  
5     believe in your report you stated that there were six  
6     rounds of antibiotics that William was on, I believe  
7     you said from 1998 to 1999.  There may have been  
8     prescriptions for more.  I believe Dr. Mumper had said  
9     there were nine antibiotics given in the first two  
10    years of life.

11                     If it were nine, or even a few more than  
12    that, would that be unusual in your opinion?

13           A     Based on the clinical descriptions and based  
14    on what we know about variation in practice in the  
15    community, very little can be made of the number of  
16    antibiotics given for what are largely or perhaps  
17    entirely viral illnesses.  Ear infections come from a  
18    variety of causes but almost all are viral.  Some  
19    practitioners will provide more antibiotics and some  
20    will provide less for those things.  Some don't  
21    provide any at all.  So the comparison of children  
22    getting more or less antibiotics is a parameter we  
23    can't interpret because it's based so much on the  
24    practice of an individual and because we know that  
25    most of these illnesses are viral and not responsive

ROBERT S. RUST - DIRECT

2443

1 to antibiotics. That's what I'd say about that.

2 Q Dr. Rust, you had already discussed pica a  
3 little bit. There's some evidence in the record, both  
4 from the medical records filed and from Mr. Mead's  
5 testimony last week that William may have put marbles,  
6 gravel in his mouth, and had some other, there's some  
7 other mention of pica in the record. Do you find that  
8 significant in his case?

9 A As I mentioned, these peculiarities of  
10 mouthing objects or putting them in the mouth, or  
11 rubbing them on the lips are very common in autism.  
12 But we do find the same things in some otherwise  
13 normal children.

14 Q Dr. Rust, from your review of the records is  
15 there any evidence that the biomedical interventions  
16 performed on William treated his autism?

17 A No, there's no evidence that there was an  
18 effective treatment provided.

19 Q We'll go through some of those in a few  
20 minutes.

21 In Dr. Mumper's report she infers that  
22 William's teeth grinding is a sign of mercury  
23 intoxication. What significance to you place on the  
24 teeth grinding?

25 A We have a fancy name for it. We call it

ROBERT S. RUST - DIRECT

2444

1 bruxism. Bruxism is so characteristic of Rett  
2 syndrome as to be almost universal. In autism we see  
3 that very commonly. We don't know the significance of  
4 it, but we find it far more often in autism than in  
5 some other settings. It's not a sign, to my  
6 knowledge, of mercury intoxication.

7 SPECIAL MASTER CAMPBELL-SMITH: Dr. Rust,  
8 I'm going to ask you to spell your fancy name.

9 (Laughter).

10 THE WITNESS: I'm terribly sorry. I hope I  
11 can. B-R-U-X-I-S-M.

12 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

13 BY MS. ESPOSITO:

14 Q We're going to move now to some of the facts  
15 specific to the Jordan King case.

16 Do you agree that Jordan King has autism?

17 A Yes, ma'am.

18 Q In your opinion was Jordan's autism caused  
19 or contributed to by his receipt of Thimerosal-  
20 containing vaccines?

21 A No, ma'am.

22 Q Is your reason the same as what you gave  
23 earlier?

24 A Yes, ma'am.

25 Q There was an issue last week again with the

ROBERT S. RUST - DIRECT

2445

1 citations in the record that I'd like to clear up  
2 regarding a record which documented the timing of  
3 Jordan's loss of speech. In your report it notes that  
4 the father, Jordan's father, was the historian. The  
5 record that you cited to was Exhibit 7, Jordan King  
6 Exhibit 7 at page eight.

7 This is what you see on your screen right  
8 now, and Mrs. King actually came back and testified  
9 about this being her notation.

10 I'd like to draw your attention now, Dr.  
11 Rust, to Jordan King Exhibit 1 at page 141.

12 Is this the record you meant to refer to  
13 when you described that Jordan's father had said that  
14 Jordan's speech had stopped around one year?

15 A Yes, ma'am.

16 Q Did you find anything aside from this record  
17 that included some description from Jordan's father,  
18 did you find anything else concerning in the record  
19 about Jordan's speech?

20 A At this moment I don't recall whether there  
21 was something else. Did I cite something else?

22 Q I'm not sure if you did or not. I think you  
23 stated earlier today that Jordan only had five words.  
24 I think from his mother --

25 A Five to six, I think it said.

ROBERT S. RUST - DIRECT

2446

1 Q It could have been up to ten from his  
2 mother's testimony last week. Is that what you would  
3 expect in a child who stopped speaking at 18 months?  
4 Five or ten words?

5 A No, I think there's abnormality. That's why  
6 I mentioned the fact.

7 Q Would you expect more words from a child  
8 who's speaking up to 18 months?

9 A I think the important thing here is, as I  
10 mentioned, that he stopped communicating. It isn't  
11 the number of words. We have certain interpretations  
12 of things a child may mean to say, but the important  
13 thing is, the mention is of the change in his  
14 communication by the person who knows him best.

15 Q There are some notes in the record that  
16 Jordan was never a people person and he was never an  
17 "I want to be held" baby, as early as three months.  
18 Is that significant to you in terms of his autism?

19 A We take that quite seriously when we hear  
20 about it.

21 Q You mentioned earlier that some children  
22 with autism have splitter skills that are unusual.  
23 According to the record, did you find any of those  
24 splitter skills in Jordan King?

25 A There is a mention of the very thing that it

ROBERT S. RUST - DIRECT

2447

1 seems to me the sense of direction part of it was  
2 mentioned in the record. I believe I recall that.  
3 And musical abilities were also mentioned. These are  
4 fairly common areas of attainment.

5 Q Is that the type of skill that would be  
6 present in someone with mercury intoxication?

7 A As I mentioned, the hallmark includes  
8 hearing problems and motor skill problems. And these  
9 were not manifested by Jordan King.

10 Q There was an amino acid analysis used by Dr.  
11 Green. This would be Jordan King Exhibit 1 at page 12  
12 and 13. Can you tell if Jordan had an amino acid  
13 disorder? I'm going to pull that up for you here.

14 (Pause).

15 A There's no data here on amino acids.

16 Q I think this is just Dr. Green.

17 A When it's suggested there is an amino acid  
18 disorder we of course always check the results of the  
19 amino acids that have been obtained in blood and  
20 urine.

21 Q You stated in your report that looking at  
22 those records, Jordan has no evidence of a known amino  
23 acid disorder.

24 A That's quite correct.

25 Q Does it appear to you that Jordan, through

ROBERT S. RUST - DIRECT

2448

1 your review of the records, that Jordan had any  
2 evidence of pancreatic dysfunction?

3 A I didn't see any evidence of pancreatic  
4 dysfunction.

5 Q Did you review the results of the various  
6 mercury tests performed on Jordan King?

7 A Yes, I did.

8 Q What, if anything, can you conclude from  
9 them?

10 A Mercury testing done in normally accredited  
11 laboratories was always either quite normal or in fact  
12 nothing at all was found. So quite normal results.

13 Q The other laboratories that did some of the  
14 tests on Jordan King, in your report I believe you  
15 said there were astonishing levels of various metals  
16 in the lab results. This would be Jordan King Exhibit  
17 1 at page 55 was the exhibit.

18 What do you find significant on this page?  
19 If you were to accept these results.

20 A This and other records show remarkable  
21 elevations of a broad variety of compounds including  
22 metals at concentrations that we would be very worried  
23 about the expression of the diseases that are known to  
24 be associated with those kinds of things, and it would  
25 raise the question as to how in the world this child

ROBERT S. RUST - DIRECT

2449

1 might have acquired that much in the way of these  
2 compounds. There are many things in the environment,  
3 but we do heavy metal screening on lots of children  
4 for various reasons and we never find anything like  
5 this except in rare instances.

6 I can't quite read this but it seems -- tin,  
7 for example, is shocking. There is tin intoxication.  
8 It's seen almost exclusively in people who spend their  
9 careers for long periods of time working with tin and  
10 tin becomes inhaled, especially when people are  
11 working on tin with hot torches and this sort of  
12 thing. It takes a long time to happen. It's a mid-  
13 career thing in people that get it. And children  
14 absorb tin, if they can get it, very poorly.

15 Tin has the advantage from the standpoint of  
16 intoxication of having a taste that people don't like.  
17 So I think people wouldn't be likely to put this in  
18 their mouth.

19 Q I take it you saw nothing in the records  
20 aside from this result that would make you think  
21 Jordan King had a tin intoxication?

22 A No, ma'am.

23 Q I'd like to move now to the treatment of  
24 autism. From your experience are there any treatments  
25 that seem to improve symptoms in autism?

ROBERT S. RUST - DIRECT

2450

1           A       Yes, as I mentioned, proper understanding,  
2       improvement of sleep, sometimes we can help out with  
3       medications for others, specific indications, as long  
4       as we're very very careful about the dose, because I  
5       mentioned the sensitivity to medication. As long as  
6       we check very carefully afterwards to make sure we've  
7       achieved an affect. There's opportunity in children  
8       with these kinds of problems to multiply medication  
9       in ways which we then can't sort out disease from  
10      toxicity. What I tell families when we try something  
11      is we do one at a time, then the family takes a close  
12      look. If it looks like it's not causing any problem  
13      we increase the dose gradually so that we don't again  
14      complicate things. There's such variation in behavior  
15      in children with autistic diseases that we have to be  
16      very careful as to what the background is.

17                Children tend to come to us when they're  
18      having more problems. The family wants us to help.  
19      We give something and they get better and we may try  
20      to take credit for it, but behavior and many other  
21      manifestations of this disease, as with human behavior  
22      in general, typically follows what we call a sine  
23      wave. A sine wave, as you'll recall, is this thing  
24      that goes up and down and up and down like this. For  
25      all of us things get better and things get worse,

Heritage Reporting Corporation  
(202) 628-4888

ROBERT S. RUST - DIRECT

2451

1 things get better and things get worse. When things  
2 are better, it's fine. If things get worse, we do  
3 something. If it gets better, maybe it's mother  
4 nature doing that. Often it is. So we need to be  
5 very careful about that in confusing us.

6 Then to decide whether something's really  
7 helping, after the family has looked so very  
8 carefully, and sometimes other people, what I tell the  
9 families is nothing should be continued unless you  
10 suddenly say I wish we'd done this before because it  
11 made such a difference. From our vantage point when  
12 we see children that have been treated variously we  
13 can sometimes get a sense of that as well.

14 Does that answer your question?

15 Q I believe it does.

16 A Can you extrapolate from a seemingly  
17 successful treatment to a causative factor for the  
18 underlying autism?

19 A No. I don't think so. Not in my  
20 understanding of this disease process.

21 Q I'd like to discuss some of the treatments  
22 that have been administered to the two children in  
23 these cases, and check to see your understanding of  
24 the efficacy of these treatments for autism.

25 Both of the children received IVIG therapy.

ROBERT S. RUST - DIRECT

2452

1 Is that known to treat or help autism?

2 A It's been tried, as has its cousin,  
3 corticosteroids. Typically they're tried in the  
4 setting of EEG abnormalities. We've had the  
5 opportunity to closely observe children treated with  
6 both forms of therapy without any evidence of  
7 improvement behaviorally or functionally or from the  
8 vantage point of EEG.

9 Q Both of the children in this case were also  
10 on supplements. Have you seen anything that indicates  
11 a supplement improves --

12 A I'd have to provide a very general  
13 statement. There are so many supplements, we don't  
14 hear about most of them, probably. We don't hear  
15 about when they started or stopped most of the time.  
16 So I can't say for certain. We don't have as close an  
17 opportunity to observe.

18 To the extent that there is data, and to the  
19 extent to which families will share with us what  
20 they've been doing, we haven't seen any efficacy for  
21 many different kinds of supplements, but I don't know  
22 whether we've seen the whole list or not.

23 Q What about secretin?

24 A Secretin has been subjected to a very  
25 careful study to see whether it's efficacious. It was

ROBERT S. RUST - DIRECT

2453

1 found not to be efficacious. It's a compound that  
2 continues to be studied and perhaps additional  
3 information will be found.

4 Q What about chelation?

5 A I've seen no evidence that chelation is  
6 helpful in this setting. It is helpful in some other  
7 settings. It's helpful in the case of lead  
8 intoxication at higher degrees. And as an older  
9 pediatrician when we used to see more lead  
10 intoxication than we do now, and as my clinics are  
11 oftentimes on Friday, I had some experience with the  
12 considerable pain that children would experience with  
13 chelation typically, so we'd always know that the  
14 chelation clinic was open because children would be  
15 screaming on their way into the chelation. It did  
16 help somewhat with lead and that's why it was carried  
17 on, and helped with copper as well. But in the  
18 setting of autism I've seen no evidence that it's  
19 efficacious and wouldn't expect for it to be  
20 efficacious because it's not pertinent to the disease.

21 There have been four deaths at least from  
22 chelation therapy, and that's probably what makes me a  
23 little irritable about the subject, in addition to the  
24 pain it causes in children.

25 Q Have you heard of a therapy of putting a

ROBERT S. RUST - DIRECT

2454

1 child in a sauna to sweat it out? Does that help the  
2 symptoms of autism?

3 A It's been around since ancient times, that  
4 approach to things in all cultures, and with the idea  
5 that it might be helpful whether in the sweat lodge or  
6 whatever. It does seem to be helpful to some  
7 individuals with headaches; it helps some individuals  
8 with stress and tension. I see no reason why it would  
9 help in autism because there's nothing to sweat out  
10 except perhaps some of the notions and treatments that  
11 are provided to the child.

12 Q I'd like to direct your attention now to  
13 William Mead Exhibit 15 at page 28. This is a  
14 treatment note from Dr. Green.

15 There's a note here that Dr. Green was  
16 looking at the possibility of doing a reimplantation  
17 enemy, ideally with a colonic delivery system using a  
18 diluted maternal fetal supernate.

19 Are you aware of that as a treatment for  
20 autism?

21 A I'm aware that it's provided to some  
22 children with autism.

23 Q Are you aware of its efficacy?

24 A So far as I know there is no known efficacy.  
25 There's no reason to anticipate that it would because

ROBERT S. RUST - DIRECT

2455

1 there's no known element of the pathophysiology of  
2 autism to which it would address itself.

3 The approach has been around for a long  
4 time. It goes back to Roman times, as a matter of  
5 fact, for a broad variety of illnesses. It continues  
6 to be practiced regularly by some adults as well as  
7 other people in those settings. We don't have any  
8 reason to believe it's going to be helpful in any  
9 particular disease.

10 It used to be a regular feature of  
11 childbirth, the idea that the introitus might be  
12 wider. Some mothers were subjected to enemas for that  
13 purpose. Once it was studied carefully and found to  
14 be a silly idea, it was abandoned. That's been true  
15 of the other indications as well.

16 Q What about the possibility of feeding a  
17 child fermented vegetables? This is further down on  
18 that same exhibit, William Mead Exhibit 15 at 28.

19 A Fermented vegetables are an item of the diet  
20 in large parts of the world and is said to be enjoyed  
21 by people as well. Their benefits are unknown. When  
22 you do ferment vegetables you do have the possibility  
23 of introducing organisms, if the fermented solution is  
24 like that. Sometimes this can be beneficial and  
25 sometimes it can be a negative thing.

ROBERT S. RUST - DIRECT

2456

1           So many people in the room will have enjoyed  
2           and perhaps obtained some benefit from fermented hops  
3           as beer, other people wine and so forth. It also can  
4           be something that in excess can be a problem. So  
5           we've seen it go both directions.

6           I know of no reason why this would have  
7           anything whatsoever to do with autism.

8           Q     Further down on that, it's still highlighted  
9           there as well. Earthworm eggs. Is that known to  
10          treat autism with any success?

11          A     No known benefit that I'm aware of.

12          The Chinese botanical is interesting. We  
13          had a patient that came to us with difficult epilepsy  
14          and a Chinese botanical was introduced and we were  
15          astonished to see how beneficial it was in this  
16          child's epilepsy, so we thought we were onto  
17          something. We sent it to the laboratory and had it  
18          analyzed. It was phenobarbital.

19          Q     What about charcoal capsules? Is that  
20          something that's been known to help in the treatment  
21          of autism?

22          A     The same general idea about charcoal, of  
23          course, is leaching something out of the system. We  
24          don't, I don't have any reason to know that would be  
25          beneficial in autism.

ROBERT S. RUST - DIRECT

2457

1 Q What about oral Baygam which is an immune  
2 globulin. Do you know if that is used --

3 A I have no information whatever about that  
4 subject.

5 Q What about Valtrex, a medication?

6 A I don't know any reason that it would be  
7 helpful here in autism.

8 Q Are you familiar with Eskimo Oil?

9 A I don't know what you mean by that. I have  
10 no idea.

11 Valtrex is used for genital herpes, isn't  
12 it? I don't know why it would be beneficial in this  
13 setting.

14 Q Have you heard of Actos for the treatment of  
15 autism?

16 A No.

17 Q If there was a report of improvement after  
18 these treatments, would you extrapolate from that to a  
19 cause of the child's autism?

20 A If we definitely saw an improvement, I'd try  
21 to sort out what had happened. First you have to know  
22 what's being treated and secondly, you have to know  
23 whether anything else has been involved there. Then  
24 you have to decide what the mechanism is and then  
25 study it. So theory is one thing and observation is

ROBERT S. RUST - DIRECT

2458

1 one thing. Once we get ahold of something and it  
2 looks like it's promising it has to be subjected to  
3 experiments so that we can really understand what's  
4 going on. It needs to be extended to a broader  
5 population oftentimes to really see what's going on.

6 As I mentioned, all of life follows a sine  
7 wave, up and down, up and down.

8 Q Is it standard practice for a physician to  
9 recommend a product to patients and then personally  
10 sell it to them?

11 A In my experience this is considered to be  
12 one of the most important violations of the oath and  
13 the responsibilities that we take as physicians. We  
14 are there to help the sick; to listen without  
15 repeating their complaints; and the idea that somehow  
16 we would keep an office full of Amway products or  
17 something and sell them to our patients would be, for  
18 most of us, considered a grave violation of our  
19 responsibility and taking a grave advantage of  
20 patients. Because it trades in that setting on the  
21 prestige that we have, the reliance that the families  
22 have on us, and this is one of the most, has been  
23 since the beginning of time, one of the most grave  
24 violations of our code of conduct, codes and ethics.

25 Q Back to the list of treatments we have just

ROBERT S. RUST - DIRECT

2459

1 discussed, I take it you don't prescribe any of those  
2 or suggest any of those to your patients?

3 A No, ma'am.

4 Q Do you know if any of them are recommended  
5 by other neurologists within the American Academy of  
6 Pediatrics or other colleagues of yours in the field?

7 A I don't know them all. all the ones that I  
8 know don't use these things. If we want to make  
9 ourselves feel better sometimes we can bring these  
10 things up and have a little laugh about them. Then we  
11 think about the children that are unfortunately  
12 subjected to these things.

13 So I don't know of anybody that does these  
14 things.

15 Q And the reason why you don't do them is  
16 because they don't work

17 A If I had anything I could do to help a  
18 child, I would do it.

19 Q I think you mentioned before that when you  
20 try a treatment on a child you use just that one  
21 treatment at one time, is that right?

22 A It can be too confusing otherwise. There  
23 are times when we do more than one thing in a child  
24 with very significant epilepsy. We may double up on  
25 anti-seizure medications. There are times when we use

ROBERT S. RUST - DIRECT

2460

1 more than one thing. But most of the time, especially  
2 in behavioral medicine, we need to be very careful  
3 about finding out what we're really doing.

4 Q I'd like to show you a statement from Dr.  
5 Green, in a letter from Dr. Green to the Mead family.  
6 This is William Mead Exhibit 5 at page 89.

7 Dr. Green says, "In a sense together we have  
8 to become masters of the multi-varied analysis with  
9 multiple interventions infringing on him  
10 simultaneously or nearly simultaneously."

11 What's your response to that statement?

12 A The way I use the language I'd say  
13 infringing is exactly the right word. We're  
14 infringing on this patient's opportunity to have  
15 carefully studied remedies and infringing on the  
16 opportunity of people to actually understand what in  
17 the world is going on.

18 Medicine has been filled for centuries with  
19 potions and toxins and other kinds of things given to  
20 children or adults or other people, for various  
21 reasons. Oftentimes in association with strange ideas  
22 people have about the gut. These have almost  
23 universally been things that resulted in no  
24 improvement and resulted probably in problems more  
25 than health.

ROBERT S. RUST - DIRECT

2461

1 Q Dr. Rust, you just mentioned the gut. Are  
2 gastrointestinal issues something seen uniquely in the  
3 autism population?

4 A Everybody has gut problems. I guess it's  
5 what it is and how much of it they have. So the data  
6 would suggest that if you look carefully, maybe as  
7 many as 80 percent of children with autism have some  
8 kind of complaint related to the digestive system.  
9 But overwhelmingly in my practice and in the data  
10 that's been most carefully gathered, that's at the top  
11 end of things, and that is the remarkable and so very  
12 uniform issue with regard to certain kinds of things  
13 that won't be eaten under certain conditions.

14 Q Can you describe that a little bit more?

15 A Food that's warm is allowed to go to room  
16 temperature and food that's cold is allowed to melt  
17 and go to room temperature as such a very frequent  
18 thing in autism. I don't understand why it is, but as  
19 I ask about it with other children in the clinic I  
20 don't find the same thing, so it does seem to be a  
21 feature that is peculiar to the autism.

22 There are food textures that are rejected.  
23 There are difficulties with oral medications sometimes  
24 that also are features at the top end of things.

25 At the other end of things we see

ROBERT S. RUST - DIRECT

2462

1 particularly frequent diarrhea in some of our  
2 patients. It doesn't seem to be in association with  
3 abdominal pain or discomfort, but when looked into we  
4 find that like some other children, but particularly  
5 in some children with autism, we see retention of  
6 large amounts of stool. The result of that, the  
7 detection of it can be found in otherwise normal  
8 children because they complain of discomfort. Then  
9 the investigation of the ensuing diarrhea, once you  
10 get a large amount of stool the less-formed liquid  
11 stools tend to traverse around that large amount of  
12 stool and manifest as what seems to be diarrhea. So  
13 you can again get a clue in normal children, because  
14 they tell you about the discomfort they're  
15 experiencing.

16 We don't get, for various reasons, some of  
17 which we know about, some of which we don't, the same  
18 complaint in individuals with autistic problems.

19 What we find when we find it is that the  
20 same sort of thing is often there in the child that  
21 has frequent watery stools, and it's the same feature  
22 of overflow diarrhea around that large stool producing  
23 many liquid stools over a long interval of time. It's  
24 a difficult problem to treat but it can be treated and  
25 it represents a frequently observed thing in our

ROBERT S. RUST - DIRECT

2463

1 gastrointestinal clinic at our hospital.

2 The other interesting feature about it is  
3 the feature of autistic individuals not complaining of  
4 pain. Now in those that don't have language to  
5 complain that is quite understandable. But we have  
6 these peculiar issues of pain intolerance or tolerance  
7 in autism that we also don't understand.

8 I've had a number of autistic children, or  
9 children with autistic features I should really say,  
10 that have broken bones and one doesn't find out until  
11 one looks very carefully.

12 I've had children that have had severe falls  
13 and get right back up from them. Yet on the other  
14 hand a child can have a small cut with bleeding and  
15 become so upset that they sometimes can't be calmed  
16 very quickly, or the place on another bandage on a cut  
17 or a wound can't be tolerated sometimes.

18 So there are unusual sensory features. And  
19 probably the prevalence of this gastrointestinal thing  
20 down below still retention, which is really only found  
21 in about seven or eight percent of children with  
22 autism. But it recurs so much because of the flow  
23 around the stool that it does become a persistent  
24 problem with frequent stools.

25 Q At this time we're going to move to a

ROBERT S. RUST - DIRECT

2464

1 discussion of Dr. Kinsbourne's report. You can get  
2 back to your slide show.

3 Before we do that, though, I take it you've  
4 reviewed Dr. Kinsbourne's report?

5 A Yes, ma'am. I have.

6 Q What's your general reaction to Dr.  
7 Kinsbourne's hypothesis?

8 SPECIAL MASTER CAMPBELL-SMITH: And you're  
9 now on Slide 54?

10 THE WITNESS: Fifty-four.

11 BY MS. ESPOSITO:

12 Q Fifty-five I think has some of your  
13 response, but just off the cuff --

14 A I prefaced my account with the problems that  
15 we run into with deciding what the cause is in the  
16 first place and trying to fit the evidence to it. I  
17 mentioned Tycho Brahe and trying to place everything  
18 around the earth in the solar system. There's lots of  
19 this in medicine where people stick with a particular  
20 thing. And as I mentioned, one of the greatest  
21 figures in medicine and science, Oliver Lowry, said  
22 that when you hit on the right idea it's bound, as  
23 it's been my experience ever since, to be something  
24 that is simple and elegant and unexpected, or usually  
25 unexpected.

ROBERT S. RUST - DIRECT

2465

1           The hypotheses here are incredibly complex  
2           and awkward. They are, most of the data is either not  
3           representative of the papers that are cited as  
4           evidence or there seems to be some distortion of the  
5           data. Other things are offered far ahead of the  
6           availability of any reliable data. So there's very  
7           meager data for these things. He's not to be faulted  
8           for the fact that there's meager data because there  
9           isn't that much data, but there is more data than is  
10          cited and the data that is not in keeping with the  
11          hypothesis is not cited.

12           These kinds of hypotheses are relatively  
13          easy to put together. I don't know how this was put  
14          together except to say that it's awkward. But  
15          sometimes we see in our medical students, or in people  
16          putting together high school projects for science  
17          fairs, that they will go on-line and put a few words  
18          in there and come up with some connection and try to  
19          fit these things together in some way. One gets a  
20          feeling for this, but I don't know that he did it that  
21          way.

22           Prominent countervailing data and theories  
23          are not considered, and the idea, we know a great deal  
24          about the regulation and the interaction of the  
25          systems that are involved and referred to, and there's

ROBERT S. RUST - DIRECT

2466

1 absolutely no apparent understanding of the ways in  
2 which the system actually functions.

3 One example I already suggested, which is  
4 this absolutely necessary interaction between  
5 astrocytes and neurons and the very complicated  
6 business of counter-regulation for excitatory  
7 compounds in the synapse, and no real understanding of  
8 the architecture that's in it as far as I can tell.

9 There is shifting reliance on one or another  
10 portion of the data, and shifting reliance --

11 One convenient thing about an awkward theory  
12 like this is that once you have the idea that they  
13 give you some special susceptibility or there is some  
14 way in which some particular thing can cause a problem  
15 that it's never known to cause and hasn't been  
16 identified as causing pathologically. You can  
17 substitute one thing for another. So we now have  
18 something that seems to be a substitution for prior  
19 suggestions by various people, I believe Dr.  
20 Kinsbourne among them, that measles virus does this.  
21 That is an awkward hypothesis because we know exactly  
22 what measles encephalopathy looks like clinically and  
23 pathologically, and it's not autism.

24 The supportive data seemed to me to be taken  
25 out of context and seemed many times to be impertinent

ROBERT S. RUST - DIRECT

2467

1 to what's going on. It's data selected to support  
2 that hypothesis.

3 Q Moving to Slide 56. It appears you take  
4 issue with Dr. Kinsbourne's hypothesis about  
5 regression and his attempt to set regressive autism  
6 off from classic autism. Can you explain your  
7 thoughts on that?

8 A It's an artificial distinction except to say  
9 that in some children we see an emphasis on parents,  
10 tell us this and we believe them. We see an emphasis  
11 on something declining in the second year, but  
12 sometimes we get reports at variance with one another.  
13 But it's a small difference and once we ask the  
14 questions that I mentioned to you, our former view  
15 that there was in fact this thing as a very discreet  
16 thing has really vanished because we find pre-  
17 regression abnormalities that I've already referred  
18 to.

19 One thing that made these things rather  
20 different from one another is when we used to include  
21 a variety of symptomatic autisms under this heading,  
22 some of which would fall into the classic group and  
23 some of which would fall into the regressive group.  
24 Once those were separated the difference became also  
25 less distinct.

ROBERT S. RUST - DIRECT

2468

1 As to whether there are more overt seizures  
2 in regressive autism, we don't actually know whether  
3 this is true. There are citations to this effect.  
4 What we do know is we do more EEGs and we find more  
5 EEG abnormalities than we have recognized in younger  
6 children, but we don't do EEGs on our children that  
7 come to us with autism in the first year of life.

8 Q Dr. Rust, if you had let's say two six year  
9 old boys, one with what might be termed classic autism  
10 and one with what might be termed regressive autism.  
11 At the age of six, are they going to clinically  
12 present any different from one another?

13 A They don't look any different to me. There  
14 is some variation in individuals, but they don't look  
15 any different to me.

16 The other point about this, seizures and  
17 regressive things, is that overwhelmingly in my  
18 practice and that of others, seizures are not a  
19 feature of toxic conditions. Dysfunction is a feature  
20 of toxic conditions.

21 When we see seizures and don't have an  
22 explanation, the first thing we look for is a  
23 developmental genetically determined condition.

24 Richler is cited in there, there are not too  
25 many citations in there but he cites Richler's paper

ROBERT S. RUST - DIRECT

2469

1 about regressive autism and this is to support the  
2 statement that there are more GI complaints in autism.  
3 In the same paper Richler says the majority of  
4 regressive ASD children had clearly atypical pre-loss  
5 development. This is an example of a piece of  
6 information. If you're citing a paper, you regard  
7 somebody as authoritative in one sense, you must  
8 regard them as authoritative in others. We don't have  
9 any reason to distinguish and pick and choose. But  
10 this is what we call cherry-picking which is sometimes  
11 an aspect, usually an aspect of these kinds of  
12 hypotheses, so we need to respect the individual who's  
13 come up with something we think is important and  
14 listen to the rest they have to say because it's  
15 usually evidence they've looked very carefully. The  
16 kinds of things that were found were social and verbal  
17 IQ and language problems. That would seem to me to  
18 undermine the idea that the MMR vaccine is causing  
19 this combination of things.

20 Q The MMR vaccine or Thimerosal --

21 A Thimerosal, I'm sorry. Any vaccine really.

22 Q Let's move now to Slide 57, your comments on  
23 the GI system.

24 A These are the kinds of data that are  
25 gathered in careful groups. Really one of the leading

ROBERT S. RUST - DIRECT

2470

1 groups in the world is Isabelle Rapin's group. She's  
2 been interested in this since 1961 and has published  
3 extensively. Her data was what set me to thinking  
4 about these things and looking carefully at our  
5 children, and we find the same thing. The same amount  
6 of patients with GI problems. Mostly problems from  
7 above, stool problem abnormalities down below.

8 I think we've stolen the marks on  
9 Isabelle's, the only time I've known about doing this  
10 with her, with this idea about stool retention which  
11 we've now found in so many. We're looking carefully  
12 into this in a prospective way.

13 SPECIAL MASTER CAMPBELL-SMITH: Let me just  
14 ask Dr. Rust, I'm lost with the abbreviation 42  
15 percent DD. Help me.

16 THE WITNESS: Gastrointestinal problems, 70  
17 percent of children with autistic spectrum disorders,  
18 which includes as the Special Master suggested  
19 earlier, a broader variety of individuals needs to be  
20 looked at more carefully in sub-groups. But  
21 developmental delay, 42 percent have gastrointestinal  
22 problems. That's a high number. And in normal  
23 children, the control is 28 percent.

24 So there are lots of children with  
25 gastrointestinal problems. Lots of children that have

ROBERT S. RUST - DIRECT

2471

1 ear infections which are a non-specific feature not  
2 suggestive of vulnerability for autism, so many of  
3 those. But so many children get diarrhea as a result  
4 of being treated for ear infections as so many  
5 children get thrush from being treated for ear  
6 infections. Then as they're treated, because of the  
7 thrush resulting from the antibiotics, they get some  
8 thrush down below, associated with diarrhea and it  
9 gets into a cycle that we frequently see as the  
10 explanation for children that have diarrhea in the  
11 setting of normality, developmental delay or autism.

12 Stool pattern abnormalities, Isabelle's  
13 group found 18 percent in autistic spectral disorders  
14 and four percent of controls.

15 We've looked at our children and have found  
16 a slightly smaller number than that. About seven  
17 percent of children with classic autism or regressive  
18 autism, which we can't readily distinguish from one  
19 another.

20 Q Let's move now to Slide 58 where you appear  
21 to take issue with Dr. Kinsbourne's statement that  
22 there was a previously normal developmental  
23 trajectory. I think you've already somewhat explained  
24 that.

25 A I really have. This issue of increment

ROBERT S. RUST - DIRECT

2472

1 needs to better refined than this. He doesn't support  
2 it with things. And it seemed to me this was set up  
3 for a particular purpose, what we call a straw man.  
4 But if it's truly the fact that incremental changes  
5 occur, then one can't exclude the possibility that we  
6 find is a probability that children have what appears  
7 to be a regression in the second year of life have had  
8 preceding manifestations of illness in the first year  
9 of life.

10 So this seems to be used, I don't know, I  
11 can't get into his mind, but looking at the way in  
12 which the argument is set up, this seems to be support  
13 for the idea that there's some gradual and incremental  
14 aspect to retention of inorganic mercury in the brain.

15 Q Moving now to Slide 59, the systems view of  
16 autism.

17 A I think I've already referred to this a good  
18 deal, but I think again the reason it's included here  
19 is that this is not represented in the formulation of  
20 the hypothesis. This is, the way in which most of us  
21 that see lots of children with autism or spend a good  
22 deal of our careers interested in this disorder try to  
23 understand these things and so as I've mentioned  
24 already, because the hypothesis has to do with  
25 inflammation and intoxication, inflammation of a novel

ROBERT S. RUST - DIRECT

2473

1 sort that we don't know about otherwise, and  
2 information about intoxication of a novel sort that we  
3 don't otherwise know about, that it doesn't take into  
4 consideration the fact that those conditions don't  
5 produce the kinds of injury or the kinds of  
6 abnormality, I should say, that involve these  
7 functional connections.

8 The fact that there are a greater severity  
9 of early injury in autism is suggestive to us that  
10 during those early periods of brain development where  
11 so much is happening so rapidly, that that's when much  
12 more severe illness can present itself. And since  
13 there isn't any exposure to toxins at that point it  
14 would suggest to us that again the likelihood is that  
15 the developmental aspect of the disease is what's  
16 going on here. Rapid periods of development are  
17 periods during which more severe disease presents  
18 itself, and subsequently lesser degrees of injury.

19 SPECIAL MASTER VOWELL: What time are you  
20 talking about with regard to that second bullet?

21 THE WITNESS: The intrauterine environment  
22 being the most severe interval for those things. We  
23 have numerous examples of that.

24 BY MS. ESPOSITO:

25 Q Moving now to Slide 60, autistic regression.

ROBERT S. RUST - DIRECT

2474

1           A     Again, this seems to be at variance with the  
2     idea that there is an incremental development of  
3     disease that was asserted earlier.  It's at variance  
4     with what we really now know, once we've been looking  
5     carefully about additional stages of deterioration in  
6     autism.  I mentioned in particular deterioration  
7     during the second decade of life which is a very  
8     troublesome period for that.  And certainly at  
9     variance with the hypothesis that then is developed  
10    later on that there is ongoing injury that represents  
11    itself not only in ongoing changes in the system, but  
12    an ongoing manifestation being the novel idea about  
13    hyperexcitability in the brain.

14                He does say in the same paragraph that  
15    autism may become more severe, and that would seem to  
16    me also not to be self-limiting.  Then there's the  
17    issue of if the regression is self-limiting why it is  
18    that children might get benefit from chelation and  
19    other kinds of things if the injury's already been  
20    produced.

21                There is a false assertion that the medical  
22    literature is almost devoid of attention to the  
23    mechanism of regression in autism.  There is an  
24    enormous literature on this subject and considerable  
25    attention to understanding this very important disease

ROBERT S. RUST - DIRECT

2475

1 and its results.

2 Q Moving now to Slide 61.

3 A There is an inaccurate statement that  
4 autistic regression is shocking. This seems to put a  
5 little emotional aspect in the particular paragraph  
6 and then it can't be mistaken for mental retardation  
7 or developmental delay. This is considerably at  
8 variance with my own experience that families do  
9 notice these things but wonder about them for some  
10 time oftentimes. These are not the sort of thing,  
11 because families do notice things, that would have  
12 been overlooked in the past. Families would either  
13 early or later have brought them to our attention.

14 The differences that we see in these  
15 children, as I mention now, is a long list of things  
16 we can ask about. And there are things that were  
17 overlooked in the past, but nonetheless the function  
18 of children with autism is something that we've known  
19 about for a long time. We've given it wrong labels in  
20 the past. This had to do in part with  
21 institutionalization. It had to do in part, in  
22 considerable part, with our inattention to these  
23 manifestations and our willingness to use labels  
24 inappropriately. We're far more sophisticated now.

25 But it does, in my own personal experience,

ROBERT S. RUST - DIRECT

2476

1 and in the experience of many of us, and in my  
2 continued observations about clinicians who refer  
3 patients to me, to see that we are labeling patients  
4 better than we used to and may of us believe the  
5 seeming increase in numbers of cases of autism is  
6 related to our much increased ability to diagnose.

7 Every year I diagnose many children with  
8 autism, as I mentioned, who have been overlooked by  
9 other clinicians as having a very obvious case of that  
10 disease.

11 Q Your last point there, you say there's no  
12 reason to argue that the genetic explanation is  
13 inadequate and therefore an environmental factor must  
14 be implicated.

15 I want to ask you a little bit about  
16 differential diagnosis. If you're trying to figure  
17 out the cause of some type of disorder and you create  
18 a list, let's say, with two items on it. And you're  
19 able to cross one of them off. Does that mean that the  
20 one that's left on the list is the cause of the  
21 underlying disorder?

22 A No, it certainly doesn't. It sometimes  
23 does, we get lucky sometimes, and some diseases are  
24 pretty obvious to us so neurologists take great pride  
25 in making the smallest list possible. Once they've

ROBERT S. RUST - DIRECT

2477

1 made the list they don't just sit back and put it on  
2 the wall. The test for it. And it's our pride that  
3 sometimes we can say something right off the bat.

4 It's not as if other people haven't noticed.  
5 Especially in autism, when I see a child, based on a  
6 few quick observations, placing my hand on the head of  
7 the child, then ask a few more questions, and diagnose  
8 autism, they've been through three physicians or four  
9 physicians and I ask the mother, you knew this was  
10 autism, didn't you? She says yes, she did. So the  
11 mothers sometimes know. We sometimes know because of  
12 certain clues. But if we have an idea about  
13 something, it's our obligation then to test for it.  
14 For all those things that we have tests we go ahead  
15 and do it. Some make long lists for these tests, and  
16 some make short lists.

17 But we don't have an explanation for many  
18 conditions. There are lots of things that we deal  
19 with every day. We don't know what causes most  
20 cerebral palsy. We don't know what causes 85 percent  
21 of mental retardation. That doesn't stop us looking  
22 for those things and it doesn't cause us to conclude  
23 that we could make something up on the spot and say  
24 that causes all of them.

25 Q Let's move now to Slide 82. I'm sorry, 62.

ROBERT S. RUST - DIRECT

2478

1           A     A citation of Rutter is used to support the  
2 suggestion that awareness in changing criteria cannot  
3 account for anything like the actual rise of autism  
4 rates.

5                     What he actually says is available data  
6 mostly prevalence, few of incidence, but no good  
7 evidence that the overall rates have soared. So this  
8 is a distinction between knowing what the real  
9 incidence of a disease is and knowing what the  
10 prevalence in our own populations, based on what we  
11 recognize as the disease is. And now we recognize  
12 more and more of it, so actually we're getting closer  
13 to the idea of what the incidence is and that  
14 incidence is higher not because the disease is  
15 increasing, most of us believe, still requires some  
16 more proof that has to be further refined, as all  
17 hypotheses do. But the evidence, as we look at it,  
18 favors this, that the incidence is higher than we  
19 thought it was because we didn't look carefully  
20 enough.

21                     If you look at a population in the country,  
22 I don't know why Swedes do such good medicine. Maybe  
23 it's the long winters and nothing else to do, but they  
24 look at their diseases so carefully, and only a one  
25 percent rise in the incidence of autism in the Swedish

ROBERT S. RUST - DIRECT

2479

1 population since the 1970 data. They have some of the  
2 best data on these kinds of things.

3 We do have an increase in autism diagnosis  
4 as a symptomatic variety and that's related to the  
5 only very recently recognized fact that our children  
6 with sever prematurity have autism as well. It's one  
7 of the other features. They also have motor disease  
8 and other things, but definitely have features that  
9 are those that we look for in autism. It's a  
10 symptomatic variety. And because we have more  
11 children that survive severe prematurity, we see more  
12 of that neurologically handicapping condition.

13 Q Let's move now to Slide 63. Your critique  
14 of Dr. Kinsbourne's citation of the Herbert article.

15 A The cited source seems to take a pretty  
16 balanced view and says that autism is a  
17 neurobiologically based and highly genetic condition  
18 entailing the action of environmentally responsive  
19 genes. Emphasis is placed in the review on the fact  
20 that the 135 genes are involved with regions pertinent  
21 to autism and remain to be evaluated as possible  
22 causes. And the statement that it is important to  
23 consider the gene environment interaction as a  
24 possibility did not lead to the conclusion that a  
25 particular environmental influence could be found to

ROBERT S. RUST - DIRECT

2480

1 cause autism.

2 It's quite incorrect for Dr. Kinsbourne to  
3 state that in many individuals with autism there is no  
4 viable alternative diagnostic option other than the  
5 involvement of post-natal environmental insult. This  
6 is not true at all, I can say based on my experience,  
7 and to suggest the possibility, I don't know the truth  
8 of it. Perhaps he doesn't see many children with  
9 autism.

10 The same can be said of other processes now  
11 known to be entirely genetic such as Rett syndrome.  
12 Again, the original idea that lasted for some time  
13 that this was caused by ammonia intoxication based on  
14 a faulty lab result, based on not testing the  
15 hypothesis, and based on the satisfaction with ease of  
16 coming to the conclusion about what causes what.

17 Q Let's move now to Slide 64 where you discuss  
18 Dr. Kinsbourne's explanation of inorganic mercury.

19 A He says that it's a cause, this point, it's  
20 caused by, I think there have been prior views, is  
21 caused by inorganic mercury breakdown, a breakdown  
22 into inorganic mercury. If this is the case, since  
23 ethyl mercury also breaks down and we know what ethyl  
24 mercury looks like when it's in sufficient quantities  
25 to cause injury, we know that it takes a very

ROBERT S. RUST - DIRECT

2481

1 considerable quantity to do that, as I've suggested in  
2 the concentration that occurs in the fetus --

3 Q You're talking about methyl mercury?

4 A I'm sorry, methyl mercury. Did I say ethyl?

5 Q I think you did.

6 A I'm terribly sorry. With methyl mercury, we  
7 know what that looks like. It takes a considerable  
8 amount, as I mentioned, concentrated in the fetus  
9 preferentially, unfortunately, but once you get that  
10 amount we know what that looks like. It breaks down  
11 into inorganic mercury. And the changes and  
12 differences between these compounds at various  
13 concentrations I would not think, we don't know this  
14 for sure because it's not been carefully studied,  
15 would not produce different forms of injury because  
16 sensitivities should be the same for inorganic  
17 mercury. It needs to be tested as well.

18 The hypothesis that an immune response  
19 somehow changes this pathology is a novel one for  
20 which there is no information that I'm aware of, and I  
21 looked very hard to see whether that's the case.

22 Then assessed the ideas about the immune  
23 response itself, found that there was no support for  
24 this novel hypothesis which as I recall Dr. Kinsbourne  
25 takes credit for. And the suggestion of sub-acute

ROBERT S. RUST - DIRECT

2482

1 ongoing injury once he gets into this portion of the  
2 discussion seems to me completely at variance with the  
3 idea that there's a shocking suddenness and a self-  
4 limiting aspect to autism.

5 Q We'll move now to Slide 65 which is titled  
6 glial cells and the brain.

7 Dr. Rust, have you published anything on  
8 astrocytes in the past?

9 A Yes, I have. It's an old interest of mine,  
10 in particular the developmental aspects of astrocytes,  
11 what their functions were, how they worked  
12 biochemically, what they did in relationship to other  
13 cells in the brain. This was particularly in  
14 relationship to neurons and to oligodendriglial cells  
15 which have remarkably interesting relationships in the  
16 developing brain that I've already referred to in  
17 part.

18 Q Does Dr. Kinsbourne's characterization of  
19 astrocytic and microglial changes in the brain, is  
20 that consistent with what you know about it?

21 A Not at all. Nor is it consistent with what  
22 I know about inflammation. Inflammatory illnesses in  
23 the central nervous system have been a preoccupation  
24 of mine since the mid '80s. I've collected some of  
25 the largest collections of the known inflammatory

ROBERT S. RUST - DIRECT

2483

1 diseases of children, and both speak on this subject  
2 and publish on this subject. It's a difficult one,  
3 but we know a good deal about how these conditions  
4 behave, both clinically and pathologically, and we  
5 know again, increasing amounts about what astroglial  
6 cells, astrocytes or microglial cells do both in  
7 inflammation in brain injury and now this recent and  
8 very interesting business that's related to the  
9 function of these cells in brain development.

10 If you have injury such as you have with  
11 methyl mercury, then microglial cells appear in order  
12 to clean up the injured cells. They do that regularly.  
13 They do that in inflammatory conditions as well.

14 We don't fully understand microglial cells.  
15 There's still a lot of mystery tied up in them and  
16 there's still lots of things to study about them. So  
17 this novel idea is one that somebody might choose to  
18 do experiments to prove. Perhaps Dr. Kinsbourne would  
19 be interested.

20 One of the many explanations for the  
21 presence of microglia found in, well, it's a novel  
22 idea is what I'm trying to say.

23 There is increasing evidence of the presence  
24 of inflammatory cells as a very important and normal  
25 element of brain development in terms of how the brain

ROBERT S. RUST - DIRECT

2484

1 develops. Perhaps Dr. Kemper who knows much more  
2 about that than I do, will say something about he.

3 Q Perhaps he will.

4 Let's move on to Slide 66. I think this  
5 appears to be sort of a general response that you have  
6 to Dr. Kinsbourne's hypothesis. What about this is  
7 striking to you?

8 A He cites in support of sustained  
9 neuroinflammation, the paper of Vezzani and Granata.  
10 This is work that was carried on in an entirely  
11 different setting, one that we understand in an  
12 entirely different way, and for which we've had  
13 information since the late 1970s. This has nothing to  
14 do with mercury, it has nothing to do with autism, and  
15 what this has to do with is what we now understand  
16 very well about the natural activities demonstrated  
17 experimentally in terms of the development of an  
18 epileptic focus. Again, something that has nothing to  
19 do with what we're talking about here.

20 So their work isn't in any way applicable to  
21 what's going on here.

22 What we know about is that if you stimulate  
23 particularly susceptible cells in the hippocampus,  
24 this is originally the work of Tom Sutula who trained  
25 at my institution. If you for a long period of time

ROBERT S. RUST - DIRECT

2485

1 provide an external stimulus to neurons, so you do  
2 this by placing a wire and providing a regular pulse  
3 of current. This is not because neurons have decided  
4 somehow to take it on themselves to have impulses,  
5 because as I mentioned, there are so many exquisite  
6 regulatory mechanisms that prevent that. And they're  
7 so able and so redundant that you have to do this over  
8 and over again, the stimulus, before you can cause  
9 them to begin to break down and produce a state where  
10 the control mechanisms don't work as well and you can  
11 produce an epileptic focus. That's what Vezzani and  
12 Granata are talking about.

13 So there's external stimulus, not exogenous  
14 stimulus. So it just takes your breath away how this  
15 is being applied here.

16 It's ignored that their conclusion is that  
17 the changes are related to genetic transcriptional  
18 activation which is exactly what has come to be  
19 understood in this experimental model.

20 So it's the turning on and turning off of  
21 genes here once again, that tissue injury occurs, and  
22 the subsequent work by Dr. Dicther in Philadelphia and  
23 others has shown that this regional injury in very  
24 susceptible tissue with a very special circumstance  
25 not of neurons taking it upon themselves to be

ROBERT S. RUST - DIRECT

2486

1 excited, but stimulating them over and over again with  
2 a noxious stimulus is the failure to control highly  
3 specific difficulties in elevations of potassium.

4 You can injure the region with excitatory  
5 amino acids as well, which is something that Dr.  
6 Kinsbourne seems to refer to vaguely, but this is a  
7 particular thing that has a particular genetic and  
8 particular biochemical abnormalities. It's required  
9 30 years of work for this to actually work its way out  
10 to be understood, and it's because people, when Tom  
11 Sutula had the initial idea, lots of people thought  
12 that this was a silly idea, too.

13 I suppose if I say this about Dr.  
14 Kinsbourne's ideas here, perhaps I would. But it  
15 takes work to prove these things. You can't just go  
16 out and say I think this is a pretty good idea. And  
17 it took ten years for Tom Sutula to demonstrate what  
18 was going on. The result of that was generating the  
19 data that I've already cited about long loop  
20 connections. Because epilepsy, when it arises, is one  
21 of those examples as well.

22 It doesn't reach any conclusion at all about  
23 whether the presence of cells associated with  
24 inflammatory responses, to make a point of this, is  
25 beneficial or dilatory.

ROBERT S. RUST - DIRECT

2487

1 Q Let's move on now to Slide 67 where Dr.  
2 Kinsbourne stated that there's dramatic support for  
3 his hypothesis.

4 A He cites Bailey, and they identify gliosis  
5 in brains of individuals with autism. This is a non-  
6 specific finding. Again, it's something about which  
7 Dr. Kemper knows a great deal more than I do so  
8 perhaps I shouldn't go into it, but I know that in  
9 brain diseases in particular, of a broad variety, we  
10 see these especially in some conditions that arise  
11 from a genetic vantage point. The paper said that the  
12 cause and time of onset of autism is not known, and  
13 that the finding of gliosis was an inconsistent  
14 finding, and that the cause of gliosis and brain  
15 damage was unspecified, and it specifically stated  
16 that the findings cannot be assigned to any specific  
17 possible causative event or process.

18 This seems to me a balanced view and cannot,  
19 in my view, be regarded as anything like dramatic  
20 support for this novel combination of toxins and  
21 inflammation as the cause of autism. They don't  
22 discuss anything about that at all.

23 Q Let's move down to Slide 68 with the Hurtado  
24 --

25 A There's this paper by Lopez Hurtado and

ROBERT S. RUST - DIRECT

2488

1 Prieto. Only some parts of the brain are studied and  
2 again, Dr. Kemper knows so much more about this than I  
3 do. So particular areas, these are speech areas were  
4 looked at. There was some focally increased density  
5 of glial cells noted in association with a decrease in  
6 neuron density in a particular area. Lipofuchsin was  
7 present there which is a pretty non-specific thing,  
8 and these were individuals with autism.

9 The age -- He states that the age of injury  
10 was seven to 44 years of age which is interesting. I  
11 don't know whether the paper tells us that there is  
12 any difference in the amount of lipofuchsin or gliosis  
13 over those ages. I don't know the answer to that.  
14 But we know that lipofuchsin which can be found in the  
15 brains of otherwise normal individuals, gradually may  
16 increase as an aspect of growth and development for  
17 reasons that aren't clear. And a 44 year old  
18 individual is quite interesting, I think, because --

19 Q Let's move to the next slide. Slide 69.

20 A Where did he get Thimerosal from?

21 Q Slide 69.

22 A We don't know exactly when he died, but one  
23 can gather from the paper somewhere in the early '60s.  
24 So where did his Thimerosal come from if he has these  
25 changes? Where did his vaccines come from? We had

ROBERT S. RUST - DIRECT

2489

1 very few back then. Most were not invented at that  
2 point. We had tetanus and things like that.

3 As I mentioned, lipofuchsin is non-specific.  
4 The changes were most striking, this is in the paper,  
5 not Dr. Kinsbourne's use of the word, in layers II,  
6 III, V and VI, which is interesting in relationship to  
7 Rett syndrome, and a genetically determined cause of  
8 autistic syndrome. Similar changes are seen in Down's  
9 syndrome. They're seen in Alzheimer and Parkinson's  
10 disease. They likely have at least in part a genetic  
11 basis. And schizophrenia which has some clinical  
12 overlap.

13 Q Let's move now to Slide 70. The Friedman  
14 citation.

15 A The following paragraph, this is Dr.  
16 Friedman and his group, says they've demonstrated  
17 ongoing active disease in the cerebral gray matter of  
18 individuals with autism.

19 SPECIAL MASTER HASTINGS: Can you slow down  
20 a little bit, Doctor?

21 THE WITNESS: I'm terribly sorry. My  
22 students tell me I do that, too.

23 SPECIAL MASTER HASTINGS: Especially when  
24 you read word for word from the slides, you're going  
25 pretty fast.

ROBERT S. RUST - DIRECT

2490

1 THE WITNESS: I'll try my best. Remind me  
2 again, please, sir.

3 Ongoing active disease in the gray matter of  
4 individuals with autism. There should be another  
5 quotation marks there.

6 To the contrary, to my reading, these are  
7 indirect imaging studies in fact, something with which  
8 I'm quite familiar. They say that there is possible  
9 decreased cellularity. They don't tell us about  
10 ongoing active disease in gray matter, and this is  
11 consistent with, as they put it, delay in neuronal  
12 development or maturation. That's something quite  
13 different from what is said in the report.

14 They concluded that autism manifested, and  
15 this is their quote, "abnormal developmental  
16 processes" and they say nothing more than that, by my  
17 reading. autism

18 BY MS. ESPOSITO:

19 Q Let's move now to Slide 71, the Vargas and  
20 Pardo citation.

21 A Yes. The work of Drs. Vargas and Pardo. I  
22 think they're with the Hopkins Group, concerning  
23 evidence of microglial and astroglial activation.  
24 Something again that's very new in this area except in  
25 certain kinds of diseases. A broad variety. We know

ROBERT S. RUST - DIRECT

2491

1 a lot about it. But the implication seems to be that  
2 Dr. Pardo notes that longstanding inflammatory changes  
3 occurred in the setting of other neurodevelopmental  
4 abnormalities, probably as part of an active plastic  
5 response without any decrease in astrocytes.

6 To the contrary, the stating here is with  
7 GFAP which is a marker for astrocytes and showed that  
8 they were increased and he concluded that these  
9 findings are inconsistent with the potential toxic  
10 effect on astrocytes by neurotoxins or a toxic  
11 material. The reason for that is if you have  
12 intoxication and it kills or maims astrocytes you're  
13 going to see a decline in GFAP, the marker for  
14 astrocytes.

15 He properly emphasizes the innate wing of  
16 the neuroinflammatory response is not associated with  
17 infiltration of activated T or B cells which seem to  
18 be the kind of process that Dr. Kinsbourne is meaning  
19 to refer to.

20 Q Dr. Rust, have you read the letter that Dr.  
21 Pardo wrote to Dr. Kemper?

22 A I did.

23 Q Is that included in the slide on, Slide 71?  
24 Is that what you --

25 A Yes. Whether at this point it came from the

ROBERT S. RUST - DIRECT

2492

1 paper or from the letter, I actually can't recall.

2 Q Let's move to 72. It's a continued  
3 discussion of that letter, or of the Pardo group's --

4 A He says there are a suite, I don't know what  
5 that is, but I suppose a group of elevated pro-  
6 inflammatory cytokine levels in CSF. He says this is  
7 evidence of brain inflammation.

8 This is a very very complicated subject. It  
9 needs to be addressed very carefully. There is  
10 balance between cytokines in the nervous system, some  
11 are pro and some are anti-inflammatory. These  
12 cytokines serve a number of different functions and  
13 these include not only inflammatory diseases, but  
14 likely aspects of normal brain development as implied.

15 So they are important actually  
16 neurobiologically in normal brain homeostasis, and not  
17 necessarily representative of a condition that's  
18 causing inflammation.

19 We find these not only in the brain but  
20 elsewhere in the body. It's easy for us sometimes if  
21 we do a large look for either antibodies or cytokines  
22 of various sorts to find these in a broad variety of  
23 diseases and we sometimes don't understand whether  
24 they're positive or negative.

25 Dr. Pardo appears to be well aware of the

ROBERT S. RUST - DIRECT

2493

1 homeostatic functions of cytokines and chemokines and  
2 mentions these and makes it clear that his studies did  
3 not confirm a toxic inflammatory basis for any of his  
4 observations, or that they represent any deleterious  
5 process, but they could as well represent a non-  
6 specific process of repair.

7 I believe that's from the letter.

8 Q I believe on Slide 73 you seem to summarize  
9 the same idea there.

10 A So there's abundant evidence of the presence  
11 not only of cytokines and chemokines but of specific  
12 antibodies in brain tissue and CSF in a broad variety  
13 of neurological conditions that we know to be  
14 genetically determined, Rett syndrome being one among  
15 them that's very important here. Tuberos sclerosis  
16 as well, and other conditions such as Parkinson's  
17 disease.

18 So in those conditions where we don't  
19 recognize anything to do with inflammation or  
20 intoxication, we have to think about these things  
21 serving some other function. And whether positive or  
22 negative, we don't know.

23 Q Moving now to Slide 74.

24 A The basic argument doesn't seem to be very  
25 helpful. He turns to Aschner's hypothesis of

ROBERT S. RUST - DIRECT

2494

1 astrocytic injury as a source of neuronal injury or  
2 neuronal dysfunction. This is an intermediate step in  
3 the pathophysiology of autism, so somehow the fact  
4 that the astrocytes, appreciating perhaps as I do  
5 their importance to the neurons, once they begin to  
6 fail in their function that the neurons begin to do  
7 things on their own.

8 So Dr. Aschner's work I don't know fully. I  
9 do know his work on manganese toxicity and  
10 mitochondria which as far as I know is not relevant to  
11 what we're talking about here.

12 I didn't have the opportunity to see the  
13 report for very long from Dr. Kinsbourne, but was  
14 unable to find the paper cited from the Brazilian  
15 Journal of Medical and Biological Research with regard  
16 to the argument, so I don't know what that said. With  
17 some trepidation, as I suggest, I base my comments on  
18 Dr. Kinsbourne's interpretation. He seems to implying  
19 an affect of glutamine, he says glutamine. Now  
20 whether that's just a misstatement or not, there's  
21 another misstatement apparently with regard to  
22 pyramidal cells and Purkinje cells, so this may have  
23 been a slip of the pen. I'm known to do them myself,  
24 and all of us are.

25 But glutamine is a non-toxic substance.

ROBERT S. RUST - DIRECT

2495

1 It's put into the region of the neurons so it can be  
2 taken up and changed into glutamate. Maybe I'll show  
3 that.

4 I want to show a little bit of my own work,  
5 but I'll go through it quickly I hope so I won't take  
6 up too much of your time. But this hypothesis is one  
7 that I think has lethal problems in terms of  
8 scientific support.

9 There is some basis of this on the article  
10 by Bezzi and others that astrocytic cell death is the  
11 cause of the ensuing neuronal dysfunction. And  
12 afterwards, sustained for a long term, hyperexcited  
13 neuronal state which again is at variance with the  
14 idea of all at once, nothing first, and no ensuing  
15 development of autism.

16 Q Slide 75 is a little more specific to the  
17 Bezzi article.

18 A As he reads the experimental conditions in  
19 the Bezzi experiments, as in almost all studies, as I  
20 mentioned, of viable neurons you have to have healthy  
21 astrocytes to have neurons in the first place. There  
22 are only very special circumstances where you can have  
23 neurons in isolation.

24 The injury here is not produced by chronic  
25 inflammation at all. It's produced by the

ROBERT S. RUST - DIRECT

2496

1 introduction of freshly activated microglial cells.  
2 This is a very important thing for us to know about.  
3 We know about the very important thing of what we call  
4 bystander injury.

5           Once you produce a specific response of some  
6 sort, you can produce bystander injury once you  
7 activate the immune system in a particular way.  
8 Therefore you can injure cells that were not initially  
9 implicated and whether this is what's going on here,  
10 we don't know for sure because additional work is  
11 necessary.

12           The third and final point I want to make is  
13 that the end point in this experiment wasn't glial  
14 injury. The end point was neuronal hyperexcitation --  
15 No, it wasn't glial injury and it wasn't neuronal  
16 hyperexcitation with the proposed idea of glutamate  
17 flow, and I don't know what that is, but it was  
18 neuronal cell death. Then I ask why this might have  
19 occurred.

20           Q     So if there were a chronic astrocyte  
21 malfunction or astrocyte death, that would cause the  
22 death of the neuron. Is that what you're saying?

23           A     I can show you the reasons why that might  
24 happen.

25           Q     On the following slide? Yes, sir.

ROBERT S. RUST - DIRECT

2497

1 To the extent we can minimize the  
2 observation -- Number 76 is the slide we're on now.

3 A Part of the interaction, and this is the  
4 interaction that involves glutamate and glutamine.  
5 It's highly regulated both at the level of the neuron  
6 and at the astrocyte and it's intended to provide the  
7 precursor for glutamate.

8 If the neuron becomes exposed to too much  
9 glutamate or contains too much glutamate, the process  
10 shuts down. It's in the way of glutamine being  
11 uptaken by the neuron. There is some emerging  
12 evidence, but very preliminary evidence, about what  
13 happens in terms of the glutamate pore. There  
14 probably is also a highly regulated situation in the  
15 astrocyte as far as release of glutamate, but it's  
16 much too early to know how pertinent that is to the  
17 proposed model of disease here.

18 Q Does it seem to you that Dr. Kinsbourne is  
19 focusing on the glutamate kind of in isolation without  
20 regard to the rest of the system?

21 A Well, he doesn't mention any of the rest of  
22 the system, if that's what you mean.

23 Q The next slide, is that important to your  
24 discussion here?

25 A Well, this is --

ROBERT S. RUST - DIRECT

2498

1 Q Number 77.

2 A -- what I mentioned about the astrocytes and  
3 this is, astrocytes early on are loaded with glycogen  
4 which is a source of glucose. We know during that  
5 interval, we have very good reason to believe I should  
6 say, know is perhaps too strong a word. But the  
7 evidence is very strong that the presence of this  
8 energy resource serves several different purposes.  
9 One is producing intermediates for growth and  
10 development in the brain; the other is glucose to  
11 support cells that don't have the capacity that  
12 astrocytes do to accumulate and utilize this primary  
13 source of energy in the brain, glucose.

14 Q Slide 78. What are we looking at here?

15 A There was mention of the sheathing that  
16 occurs with the astrocyte and the neuron. This is  
17 also a very important and new area that's progressing  
18 very rapidly. These are artist's conceptions but the  
19 information is very strong in support of these things  
20 and with regard to the functional elements that I'll  
21 mention. It's proven.

22 One interesting thing is that what many  
23 people call the neural synapse, and this is, we know  
24 about the synapse, but what many people call the  
25 immune synapse which is communication between immune

ROBERT S. RUST - DIRECT

2499

1 cells. We've always known that at least two or three  
2 different immune cells talk to each other in producing  
3 an immune response.

4 But there is increasingly abundant evidence  
5 that this very closely resembles what we call the  
6 neuro synapse, at least implying in a way that is a  
7 little loose so I'll acknowledge that, there may have  
8 been a very primitive association between the immune  
9 things and neurologic things. Perhaps that's why the  
10 systems we're beginning to appreciate have so much to  
11 do with one another. But nonetheless, what's shown  
12 here if you look at the neural synapse is that the  
13 attachment between two cells at the synapse, this is  
14 where the glutamate finds its way to communicate  
15 between cells, is tightly connected with adhesion  
16 molecules, but between the two neurological elements.

17 Small amounts of glutamate can be released  
18 in this region and those small amounts of glutamate  
19 produce exquisite signals. The receiving cell of this  
20 signal can dial up or dial down the sensitivity of  
21 this glutamate. If there's too much glutamate, it  
22 dials way down. It loses receptors and it doesn't  
23 remake them and push them back to the surface.

24 So this is a dynamic system that the point  
25 is, it's highly regulated. It is possible to injure

ROBERT S. RUST - DIRECT

2500

1 it but not so far as we know because of glutamate  
2 necessarily in flow from some other cells that happen  
3 to be in the vicinity. The usual idea here is this  
4 has to do with this tightly regulated and enclosed  
5 neural synapse.

6 Again, the same thing appears to be true  
7 with regard to the very same kinds of exquisite  
8 regulation to the immune synapse which is meant to  
9 bring to mind to us that the immune system very highly  
10 regulates itself, whether it's with inflammation or  
11 whether it's with the normal developmental functions  
12 or whether it's in terms of cleaning up after some  
13 injury that it performs in the nervous system.

14 Q Dr. Rust, you may have already gone over  
15 this, but if there were to be too much glutamate  
16 released from the end of the cell, what would be  
17 expected as regards to the neuron?

18 A The exquisite part of this is the GABA  
19 regulation, down-regulation that occurs so that you  
20 limit the amount of glutamate released at that point.  
21 So there's inhibition that comes in several different  
22 kinds. There's long term and short term and other  
23 things that happen. But this is involved in learning  
24 and it's involved in normal function of the nervous  
25 system, and development, and it's highly regulated.

ROBERT S. RUST - DIRECT

2501

1 And it's regulated at the level of the astrocyte as  
2 well, although that's an area about which we know  
3 somewhat less than we know about neurons at this  
4 point.

5 Q If that regulating system were not in place  
6 and there was too much glutamate, would that cause a  
7 neuron to die?

8 A Yes. And again, it takes a long time to do  
9 that. As I mentioned from the Sutula model and  
10 others, you have to stay at it and stay at it to cause  
11 the remodeling to produce an epileptic situation. But  
12 that's right.

13 Q Do you agree with Dr. Kinsbourne's statement  
14 that autistic behavior is precisely what one would  
15 expect if the brain's excitation inhibition ratio were  
16 skewed in favor of excitation as occurs in  
17 hyperglutamatergic states? Do you agree with that  
18 statement?

19 A Well, I'd have to know more about what he  
20 means by that. It's a rather general statement. It  
21 seems to be applied to the notion that children with  
22 autism have manifestations of a hyperexcitable state.  
23 At least that's what I recall. And I don't know that  
24 this is true at all.

25 I mentioned we've got to be very careful

ROBERT S. RUST - DIRECT

2502

1 about what we conclude about what children with autism  
2 are doing, and so I think that's a problem.

3 But I would go on to say that if he's  
4 referring to the fact that the GABAergic side of  
5 things is having problems, which we know happens in  
6 Rett syndrome, and may well happen in autism because  
7 the systems that are involved involve GABA, maybe  
8 that's something. It needs to be tested.

9 But nothing to do with the leak of glutamate  
10 or flow of glutamate that I'm aware of. What that  
11 does is produce injury. The most active cells will  
12 then be injured and die.

13 Q If Dr. Kinsbourne's hypothesis were true,  
14 would you expect the deficit seen in an autistic  
15 patient to get progressively worse over time, based on  
16 his model?

17 A Yes, because the only way we understand that  
18 model as working would be if we're causing  
19 hyperexcitation over long intervals of time, then  
20 that's the sort of thing the Sutula model involves,  
21 and what that does is remodel things to produce  
22 epilepsy or it kills cells, one or the other.

23 So what we would expect to see happening, as  
24 we see in epilepsy for example where hyperexcitability  
25 is an issue, we see progressive tissue injury. What

ROBERT S. RUST - DIRECT

2503

1 that produces is changes in motor function, changes in  
2 intellectual function, changes in other functions that  
3 the brain is intended to do, and the production of  
4 worsening epilepsy.

5 That's not what we see in autism. We do see  
6 some progressive issues with regard to EEG changes and  
7 epilepsy. We don't understand those things yet and we  
8 don't know whether they have anything to do with this  
9 hypothesis. But if we see that sort of worsening in  
10 other situations, which we do see in a variety of  
11 epilepsies, we call them epilepsy partialis continuum,  
12 because of the continuous hyperexcitability. What we  
13 see absolutely in those cases is progressive injury to  
14 the brain. A non-specific sort of regional injury is  
15 the typical thing that we see. And we don't see that  
16 in autism. Injury that is neighborhood injury, injury  
17 that produces clinical signs which are motor and  
18 intellectual signs, and we don't see that in autism.

19 SPECIAL MASTER CAMPBELL-SMITH: Ms.  
20 Esposito, let me ask. I'm getting the eyeball that  
21 suggests that we might be getting close to the hour we  
22 had designated to break. Are you at a point that's a  
23 natural breaking point?

24 MS. ESPOSITO: I'm very close to it.

25 SPECIAL MASTER CAMPBELL-SMITH: Okay.

ROBERT S. RUST - DIRECT

2504

1 MR. MATANOSKI: We could perhaps just break  
2 down now and then come back briefly. That way some of  
3 these slides that may not be referred to, we can turn  
4 them out. So I think this is probably a natural  
5 breaking point.

6 SPECIAL MASTER CAMPBELL-SMITH: Having  
7 decided that this is now a natural breaking point, we  
8 are going to break.

9 Let me ask if we want to do a compromised 45  
10 minute lunch break or if we want the entire hour, not  
11 knowing how much longer Direct is to go.

12 MR. MATANOSKI: I think Direct is going to  
13 be probably very brief when we get back.

14 SPECIAL MASTER CAMPBELL-SMITH: Okay. Is  
15 that a move in favor of a full hour for lunch?

16 MR. POWERS: A full hour, yes, Special  
17 Master. We'd appreciate that.

18 SPECIAL MASTER CAMPBELL-SMITH: Okay. Then  
19 we are in recess until 2:45.

20 MR. MATANOSKI: Thank you.

21 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

22 (Whereupon, at 1:43 p.m., the hearing in the  
23 above-entitled matter was recessed, to reconvene at  
24 2:45 p.m. this same day, Wednesday, May 21, 2008.)

25 //

1 A F T E R N O O N S E S S I O N

2 (2:45 p.m.)

3 SPECIAL MASTER CAMPBELL-SMITH: For those  
4 who are with us, please be seated. We're awaiting the  
5 return of Respondents.

6 (Pause).

7 SPECIAL MASTER CAMPBELL-SMITH: Thank you,  
8 Dr. Rust. I did notice you were adjusting, I assume  
9 turning off your electronics.

10 THE WITNESS: Yes, ma'am. I apologize for  
11 being late.

12 SPECIAL MASTER CAMPBELL-SMITH: Ms.  
13 Esposito, are you prepared to resume your Direct  
14 Examination?

15 MS. ESPOSITO: Yes, thank you.

16 DIRECT EXAMINATION (Cont'd)

17 BY MS. ESPOSITO:

18 Q Dr. Rust, before the lunch break we were  
19 going over some of the slides towards the end of your  
20 slide presentation. I believe most of those slides if  
21 not all of them related to your study that you did in  
22 1991 about astrocytes, is that correct?

23 A That's correct. And other cells as well.

24 Q Do you believe it's necessary to go through  
25 those slides or --

ROBERT S. RUST - DIRECT ( CONT'D )

2506

1           A     No, I don't. I can summarize it very  
2     quickly.

3           Q     Please do.

4           A     The idea here was to look to see how during  
5     development and with maturation cells in different  
6     sources, that included neurons and astrocytes in  
7     particular, how they expressed and utilized enzymes  
8     for various purposes to see how they interact with  
9     each other.

10                   Now I already implied that the astrocytes  
11     are remarkably prepared to store glucose as glycogen  
12     and then to break it down and give it neurons and to  
13     other cells in order to support them when they were  
14     doing other tasks.

15                   So basically all those slides do is to  
16     demonstrate how much in the way of this enrichment is  
17     found in the astrocytes and how little in neurons and  
18     in other cells.

19                   So from the standpoint of supporting,  
20     providing energy to neurons so they can do their work,  
21     and from the vantage point of eliminating things that  
22     might cause problems for the neurons. And from the  
23     vantage point of the repair and synthesis and all  
24     those things that neurons do, it's the astrocytes that  
25     do that.

ROBERT S. RUST - DIRECT ( CONT'D ) 2507

1 The implication for which there is abundant  
2 evidence is that if you damage or destroy the  
3 astrocytes, the neurons will not be able to function.

4 So the idea that you can somehow eliminate  
5 astrocytes and then have neurons get out of control is  
6 actually quite wrong, because in order for a neuron to  
7 become hyperexcitable, it's going to have to have  
8 additional support of energy which can only come from  
9 the astrocyte. It comes in five or six different  
10 ways. And so a damaged astrocyte is not going to be  
11 able to support that function.

12 So what will happen and does happen is that  
13 neuronal function will diminish and then stop. That's  
14 why we have to grow the neurons in the presence of  
15 astrocytes except in very special conditions, and even  
16 there it doesn't last for very long that you can do  
17 that.

18 So the point there was with regard to the  
19 idea that somehow something happens to astrocytes and  
20 caused inflammation and the neurons then go on for  
21 long periods of time being hyperexcitable, and this is  
22 not possible. That's what that information is about.

23 Q Thank you.

24 In Dr. Kinsbourne's report on page 20 he  
25 says, "Autistic symptomatology can be classified into

ROBERT S. RUST - DIRECT ( CONT'D ) 2508

1 that which exemplifies the effects of hyper arousal  
2 and that which represents an attempt to escape from  
3 such effects or fend them off."

4 Do you have any comment on that particular  
5 sentence?

6 A It's speculation. As I mentioned, we've got  
7 to be very careful. We've made so many errors over  
8 time in trying to decide why individuals with autism  
9 do what they do. And much of the time we simply don't  
10 know. That's the aspect of strangeness that I  
11 suggested, not meaning to be disrespectful to people  
12 with autistic features, it's just that it appears  
13 strange to us as perhaps we do in return.

14 But to first of all presume that this  
15 represents a particular state of arousal or state of  
16 anxiety or state of something else is something we can  
17 very easily make an error concerning. I think that  
18 these kinds of judgments and speculation and  
19 theorization about these things is best made by people  
20 who see a great many children with autism because  
21 somebody that sees one or two is going to be in the  
22 same situation as the person who might accost a family  
23 about their autistic, the child with autistic  
24 features, and they present my card saying you don't  
25 understand what's going on here. So that's what

ROBERT S. RUST - DIRECT ( CONT'D )

2509

1 that's all about.

2 And we don't know that these are  
3 hyperexcitable states, and we don't know that it's  
4 some particular difficulty about dealing with  
5 stimulation. We catalog and collect these things and  
6 try to understand them and we try to understand which  
7 are any different than what we might see in other  
8 individuals and which are age related.

9 But this merges into the dangerous territory  
10 of speculation based on perhaps inadequate  
11 information. The more individuals you see with autism  
12 not only the more you can refined you get about what  
13 you're saying, but the more appreciation and wonder  
14 you have about what they can do well and that sort of  
15 thing.

16 Q On page 22 of his report Dr. Kinsbourne says  
17 that "Over time stereotypies lower neuro excitation  
18 levels."

19 Do you agree with that statement?

20 A There's not one shred of evidence to suggest  
21 that that's true. We see stereotypies in perfectly  
22 normal children, and they can be quite complex, and we  
23 don't have any idea why they happen. Those are  
24 children whom we can talk to about it.

25 All of us have little ticks and things we do

ROBERT S. RUST - DIRECT ( CONT'D ) 2510

1 when we get anxious, and it's possible that anxiety is  
2 an element. We just don't know that.

3 But anxiety, there is a sympathetic  
4 discharge that comes with that that involves one  
5 particular portion of the brain in individuals that  
6 are so anxious that their heart rate goes up and so  
7 forth, and other systems respond.

8 To say that somehow this, which again is  
9 brought on episodically, might represent the result of  
10 an ongoing inflammatory state with hyperexcitation  
11 with the loss of regulation, meaning it should happen  
12 all the time and should not be related to a particular  
13 episode with whom someone is dealing, seems to me to  
14 not make any sense.

15 So as long as we see that you can have a  
16 cause and effect as in all human behavior, then the  
17 probability there is the regulatory mechanisms and  
18 systems and reactions are all in place. Some people  
19 have higher gain on one system or another system than  
20 somebody else. And again, individuals with autism are  
21 individuals. We don't see a uniform presence of  
22 stereotypies, we don't see a uniform presence of  
23 heightened states. We see variations just as we do in  
24 the folks we call normal. And yet our attention is  
25 drawn to the children that are doing something that's

ROBERT S. RUST - DIRECT ( CONT'D ) 2511

1 troubling to us or to the family.

2 A long view on individuals with autism will  
3 tell you that they are individuals. What we put  
4 together is a system of problems that are so uniform  
5 with autistic individuals, but there are other things  
6 going on.

7 Q I believe we may have been over this, but I  
8 want to be very clear. If inorganic mercury is the  
9 cause of this process that Dr. Kinsbourne is  
10 proposing, and if inorganic mercury accumulates in the  
11 brain over time, would patients with autism be  
12 expected to get progressively worse over time if this  
13 hypothesis is correct?

14 A That seems to me to be what he's talking  
15 about in one portion of the report. In another  
16 portion he seems to imply that this happens once and  
17 that's it. So I think those are at variance with each  
18 other.

19 But if the implication is that we have  
20 steady accumulation of a toxic element that's setting  
21 off this reaction, one would anticipate that the  
22 stimulus would increase over time and that would be a  
23 steady process of deterioration in function and one  
24 would think that in such instances where we have other  
25 examples of things that cumulate and cause problems

ROBERT S. RUST - DIRECT ( CONT'D ) 2512

1 you'd have progressive loss of function of some one or  
2 another sort or many sorts.

3 So it would be a progressive course of  
4 deterioration that one would anticipate seeing with  
5 this model which is quite at variance to what we see  
6 in autism. Because as a rule, depending on what the  
7 state of a child initially early on, as a rule  
8 individuals with autistic features improve over time.  
9 This is quite striking and there are still  
10 considerable problems, but there is steady  
11 improvement.

12 Because that improvement is especially with  
13 regard to educational goals and language doesn't  
14 necessarily, often doesn't keep up with the increased  
15 demands made on a child, then we may see things that  
16 seem to fall away. But if you look closely and if you  
17 talk to the families, you find out that the child is  
18 making progress. This is important, a positive side  
19 with all children to find out about. It's  
20 disappointing that it may not be as quick or that  
21 interventions to achieve it may not be as good as it  
22 might be.

23 But the general course is variable, but we  
24 also see children, whether they have something that  
25 appears regressive or whether they have something that

ROBERT S. RUST - DIRECT ( CONT'D )

2513

1 appears to be classic autism, we see children, for  
2 reasons we don't understand, that get almost or  
3 sometimes entirely better at four or five years of  
4 age. That again includes children that have a  
5 regressive appearance.

6 I don't know how that can be accounted for  
7 in the hypothesis because it's much more readily  
8 accounted for by the tripping of a switch in the  
9 developmental cascade which is fortunately moving in a  
10 direction of recovery rather than not.

11 SPECIAL MASTER VOWELL: Dr. Rust, when you  
12 say they get better, you are saying get better in the  
13 absence of the therapies that you indicated there was  
14 no support for.

15 THE WITNESS: Entirely in the absence of  
16 those therapies, yes. Thank you.

17 BY MS. ESPOSITO:

18 Q On page 23 of his report Dr. Kinsbourne  
19 states that his hypothesis is presented in light of  
20 advances in the science of autism. Do you find that  
21 his hypothesis is at all consistent with the science  
22 of autism?

23 A No, I don't.

24 Q Dr. Rust, to conclude here, I'd like you to  
25 summarize your main criticisms of Dr. Kinsbourne's

ROBERT S. RUST - DIRECT ( CONT'D )

2514

1 theory. If you could distill them down into a few  
2 main points, what are your criticisms of his  
3 hypothesis?

4 A Well, I suggested at the outset that awkward  
5 theories that put things together in a strange way  
6 that nobody has anticipated, and where most of the  
7 elements are either made up or drawn in odd ways from  
8 other people's observations, tend to be wrong. And it  
9 especially tends to be wrong when the hypothesis is so  
10 broad that at the center of it the thing that's  
11 alleged to be the cause could be anything that you  
12 want. It could be a measles infection, it could be a  
13 toxin, it could be anything that you want to put in  
14 there, you just have to make slight adjustments. This  
15 is why I provided the example of Tycho Brahe and the  
16 universe. That's one part of it.

17 A second part of the criticism is that so  
18 much of what's said doesn't make scientific sense.  
19 This is a grave problem because as I've suggested,  
20 there is no apparent understanding of what advances  
21 have been made over some 30 years now, and whether  
22 those in the last year or two might have been  
23 overlooked. But especially with regard to the  
24 impertinence of the epilepsy aspects of this, these  
25 don't make sense. So there's that problem as well.

ROBERT S. RUST - CROSS

2515

1           There is the inconsistency in the theory  
2           with regard to implications in one place that this  
3           happens and then it's over; and the other that it's a  
4           progressive set of issues. There is what I regard as  
5           cherrypicking, picking little pieces from the paper  
6           and ignoring the rest of it and in some instances I  
7           think misrepresenting what the paper says.

8           There is, especially with regard to  
9           describing children with autism, a very striking  
10          failure to understand exactly what goes on in those  
11          children, and the very willfulness to assign as the  
12          person who happens to be walking by a child with  
13          autism in a supermarket, to assign what they regard as  
14          being the reasons why a child behaves in a certain  
15          way.

16          So these are the things that I find  
17          problematic.

18          MS. ESPOSITO: Thank you.

19          SPECIAL MASTER CAMPBELL-SMITH: Petitioner's  
20          counsel, you may conduct Cross.

21          MR. POWERS: Thank you, Special Master.

22                                   CROSS-EXAMINATION

23          BY MR. POWERS:

24          Q        Good afternoon, Dr. Rust. My name is Tom  
25          Powers. I'm one of the attorneys representing the

Heritage Reporting Corporation  
(202) 628-4888

ROBERT S. RUST - CROSS

2516

1 Petitioners generally, but also particularly William  
2 Mead and Jordan King in this matter.

3 A I'm very pleased to meet you, sir.

4 Q I'm pleased to meet you too.

5 MR. POWERS: Just as sort of a housekeeping  
6 matter, the slides that we ended with before the lunch  
7 break that were then referred to in summary, are we  
8 referring to slides that sum up a paper that you did  
9 in 1991? What slides were those? I just want to make  
10 sure that we're all speaking the same language about  
11 what was summarized in terms of the exhibit number.

12 THE WITNESS: Yes, sir. Oh, you want to  
13 know the numbers of them?

14 MR. POWERS: If you can give me the  
15 beginning page number of what Ms. Esposito and you  
16 were describing as a summary that you were not going  
17 to get into in detail.

18 SPECIAL MASTER CAMPBELL-SMITH: We concluded  
19 on Slide 78, if that's any guidance.

20 MR. POWERS: That was my understanding too.  
21 The first slide that I didn't hear testimony about  
22 specifically was Slide 79, the carbon nanotubules  
23 slide.

24 THE WITNESS: You're very observant. That  
25 slide concerns another way in which we seem to be

ROBERT S. RUST - CROSS

2517

1 understanding that these cells communicate with one  
2 another, and this is a very new thing here. It's that  
3 there are not only these synaptic communications and  
4 not only pores that are various regulated, but there  
5 may be these very tiny tubules that allow cells to  
6 speak to one another.

7 BY MR. POWERS:

8 Q Let me interrupt you.

9 In my questions to you I'm going to ask you  
10 specific questions and I'm going to ask you to answer  
11 the question. My only question was, is Slide 79 the  
12 first slide in the series that you meant to summarize  
13 in response to Ms. Esposito's question when we came  
14 back from lunch?

15 A I left that out of my summary.

16 Q Okay, thank you.

17 Dr. Rust, if I recall you appeared as an  
18 expert witness in an earlier case in the autism  
19 omnibus proceedings, is that correct?

20 A Yes, sir. I think that was case number two.

21 Q That was a case where the young boy's name  
22 was Yates Hazlehurst. It was a hearing I think in  
23 Charlotte, North Carolina in October last year. Does  
24 that sound right?

25 A I had forgotten it was October, but it was

ROBERT S. RUST - CROSS

2518

1 in Charlotte.

2 Q That hearing was about the idea that  
3 Thimerosal exposure combined with MMR exposure could  
4 result in the features of autism. Does that comport  
5 with your recollection of the theory?

6 A Yes, sir. It sure does.

7 Q The theory in that case also specific to the  
8 measles virus is that the measles virus could serve as  
9 a source of inflammation in the brain and that  
10 subsequent neural inflammation would express itself as  
11 symptoms of autism. Is that a fair summary of your  
12 understanding of what the case was about?

13 A That's my recollection.

14 Q In preparing for that case I know Dr.  
15 Kinsbourne was not a witness in that case. In  
16 preparing for that case did you have an opportunity to  
17 review Dr. Kinsbourne's expert report from the Cedillo  
18 matter, another omnibus autism proceeding that was  
19 conducted in June of 2007?

20 A No, sir. I didn't.

21 Q Did you receive and have a chance to review  
22 a transcript of Dr. Kinsbourne's testimony in the  
23 Cedillo matter in advance of your testimony in the  
24 Hazlehurst matter?

25 A No, sir. I didn't.

ROBERT S. RUST - CROSS

2519

1 Q Between the Hazlehurst proceeding and the  
2 preparation of your expert report in this case, are  
3 you aware that there was yet another MMR/Thimerosal  
4 combined theory case heard? Are you familiar with  
5 that?

6 A I don't know anything about it.

7 Q So do you recall seeing anything that would  
8 have been a reference to the Snyder case, an expert  
9 report from any of the Petitioners' experts or a  
10 transcript of the testimony in a case captioned  
11 Snyder?

12 A I don't remember anything. I forget lots of  
13 things, but I don't think so. I'm sure the lawyers  
14 would know.

15 Q And I'm not going to ask them because  
16 they're not there on the stand, so this is all to the  
17 best of your recollection.

18 So you didn't see any of the materials as  
19 best you can recall that might have been generated by  
20 the Petitioner's side in these cases up until the time  
21 you completed a report in the case that we're here for  
22 today. Is that right?

23 A Except for the Hazlehurst material. I have  
24 a hard enough time getting through what's given to me  
25 anyway. I don't look for extra trouble.

ROBERT S. RUST - CROSS

2520

1 Q I want to walk through some of the things  
2 that you talked about in your slides today. One of  
3 the first things I wanted to inquire about if I can  
4 find my page here, is on Slide 12. To make things a  
5 little easier for the court reporter, I'm sticking  
6 right into the slide presentation that's Respondent's  
7 Trial Exhibit 8. This would be page 12 of Exhibit 8.

8 I don't think we have that slide loaded in  
9 our computer right now, so I'm going to have to just  
10 refer to the paper. As long as the Special Masters  
11 have it and you have it. Do you have it in front of  
12 you there, Doctor?

13 A Yes, I do.

14 Q I notice at the top where it says regressive  
15 autism there is a claim here that 80 percent, it says,  
16 "80 percent retrospectively abnormal." Eighty percent  
17 of what?

18 A Eighty percent of children that I encounter  
19 and some other people have encountered.

20 Q What other people?

21 A I can provide a citation, but not off the  
22 top of my head now. I perhaps should have done so.  
23 But we have our own ongoing study of this so it's  
24 about 80 percent --

25 Q This ongoing study, who does this involve?

ROBERT S. RUST - CROSS

2521

1 Who's the "we" involved in the study?

2 A Myself, a resident, and a medical student.

3 Q Is this a study that has been submitted for  
4 publication?

5 A No, sir.

6 Q Is this a study that's been subject to peer  
7 review?

8 A No, sir.

9 Q Do you have anything here today that you can  
10 show the Special Masters to describe the methodology  
11 of this study, the sample size, cases, controls?

12 A Nothing today, sir.

13 Q Are there any other things you would rely on  
14 aside from this unpublished, un peer reviewed  
15 anecdotal description that you've given that would  
16 support this figure of 80 percent of autistic children  
17 are retrospectively abnormal?

18 A I believe I could provide you with a  
19 reference from the literature. But I can't do it  
20 right now. I'd be happy to do it in the future.

21 Q Do you anticipate having an opportunity to  
22 further testify in these cases and provide the  
23 information you're not providing here today?

24 A I don't know what's going to happen in the  
25 future.

ROBERT S. RUST - CROSS

2522

1 Q If 80 percent are retrospectively abnormal,  
2 that means 20 percent of them are not retrospectively  
3 abnormal. Am I doing my math right?

4 A Yes, sir. That's what I would arrive at  
5 too.

6 Q So that 20 percent of the people, even in  
7 retrospect, and looking -- I'm assuming you're  
8 consciously looking for early appearances of  
9 abnormality. Am I right about that assumption?

10 A Yes, sir.

11 Q So even with looking hard in a population of  
12 children, in 20 percent of the people who present as  
13 regressive, you don't find any early abnormalities,  
14 correct?

15 A That's correct, sir.

16 Q During the proceedings MyLinda King and  
17 William Mead testified. Were you here to hear their  
18 testimony?

19 A No, sir. I wasn't.

20 Q Did you listen in on the dial-in line to  
21 hear their testimony?

22 A No, sir. I don't know how to do that.

23 Q Did you download the audio file that was  
24 available to listen to their testimony?

25 A No, sir. I didn't.

ROBERT S. RUST - CROSS

2523

1 Q Have you ever met the parents and taken a  
2 history from them?

3 A No, sir. I haven't.

4 Q You described early in your testimony  
5 spending time with the family and asking a lot of  
6 questions is critical to assessing the symptomology of  
7 autism, wasn't that your testimony?

8 A That's correct, sir.

9 Q It's particularly important in trying to  
10 identify retrospectively the possible appearance of  
11 early symptoms. You emphasized that point, did you  
12 not?

13 A Yes, sir. I did.

14 Q So with respect to these two families, that  
15 opportunity is something that you never took advantage  
16 of. Again, didn't appear to hear them live, didn't  
17 listen in live, and didn't listen to the audio  
18 download. You never had a chance to ask those  
19 questions, right?

20 A Well, I wouldn't have the opportunity to ask  
21 those questions because I'm not their physician, of  
22 course.

23 Q But you would have had an opportunity to  
24 hear the history as it was presented under oath here,  
25 correct?

ROBERT S. RUST - CROSS

2524

1           A     I suspect I would if I knew how to do it,  
2     but I don't.

3           Q     Also on the same slide, number 12, there is  
4     an electrophysiological profile. And they say in  
5     Cross-Examination you're never supposed to ask a  
6     question you don't know the answer to, but in this one  
7     I've got to. What is that? What is this profile that  
8     you're talking about?

9           A     It's an over-blown way to suggest that EEG  
10    is what we do. Some people have done other things  
11    than that. But as I also suggested, the problem with  
12    observations of that sort is that we tended to do EEGs  
13    more on children that have a seemingly aggressive form  
14    of the disease than others. So it remains a soft  
15    piece of information.

16          Q     Whether it's a soft piece of information or  
17    not, is this a piece of information that appears in  
18    the peer reviewed published scientific literature?

19          A     Yes, sir. There is at least one such  
20    citation. I think more than one.

21          Q     Any clue off the top of your head what that  
22    might be? I'm not trying to quiz you, but having the  
23    science in front of us to evaluate is important. I'm  
24    just trying to figure out where it is here.

25          A     You're quite right in emphasizing that

ROBERT S. RUST - CROSS

2525

1 importance. I should have done so, but I didn't.

2 Q You also say that the ensuing course does  
3 not distinguish classic from regressive. That's the  
4 last point on the same slide.

5 Is what you're saying here that assuming  
6 there is a regression and looking out into the future,  
7 if you look at sort of the end points a few years down  
8 the road of classic versus regressive. Are those the  
9 two things you're comparing?

10 A Yes, sir.

11 Q You're saying that the end point at any  
12 point in time post-regression, you really don't see a  
13 difference in the outcomes. Is that a fair summary of  
14 what this is meaning?

15 A Yes, sir.

16 Q What does distinguish the regressive versus  
17 the classic is what happens before the regression,  
18 isn't that right? I mean that's the definition of  
19 regression. You have different beginning points in a  
20 classic case and a regressive case, correct?

21 A As I mentioned, 80 percent of the children  
22 that seem to be regressive have a beginning point  
23 that's quite similar to the ones that are classic.

24 Q Let's focus on the 20 percent that don't.  
25 The 20 percent that make the difference.

ROBERT S. RUST - CROSS

2526

1 In the 20 percent that don't show those  
2 abnormalities, what distinguishes them is what happens  
3 before the regression. Is that a fair statement?

4 A Not necessarily. So to presume that  
5 something happens and then there's an ensuing event is  
6 a dangerous thing to do, unless you have a reason to  
7 think that something can cause something or know that  
8 it can.

9 Q I'm not talking about causation, you're  
10 actually putting the cart before the horse that I'm  
11 trying to ask you about. Isn't it true that the  
12 difference between, the distinction between regressive  
13 and classic is that in regressives there's a course of  
14 normal development before the regression? Isn't that  
15 the distinction?

16 A As I say, in 80 percent of the cases that I  
17 see, it's not normal development preceding it. So the  
18 20 percent seem to have had a perfectly normal  
19 development before some change that might occur in the  
20 second year of life.

21 Q Turning to page 13, Slide 13. We talk about  
22 multi-incidence autistic families.

23 In the two families here have you seen any  
24 evidence, and we're talking about the King family and  
25 the Mead family. do you see any evidence whatsoever

ROBERT S. RUST - CROSS

2527

1 of multi-incidence autism spectrum disorders in either  
2 one of these two families?

3 A I'm not aware of any history of that sort.

4 Q And when you say you're not aware of, you  
5 reviewed the medical records, correct?

6 A Yes, sir.

7 Q You reviewed the therapeutic and treatment  
8 records, correct?

9 A Yes, sir.

10 Q So are you aware that in either one of these  
11 families there is any, this is the parental testimony,  
12 that there is no evidence of autism or autism spectrum  
13 disorder in either side of either of these two  
14 families?

15 A No, sir. I'm not.

16 Q Do you know, do William or Jordan, either  
17 one of those young boys have siblings?

18 A I don't recall. I know I knew when I looked  
19 at the records, but I don't recall at this point. I  
20 know they're about ten years old now, but I don't know  
21 whether they have siblings.

22 Q I can tell you, and honestly you can trust  
23 me on this one, William Mead has an older sister;  
24 Jordan King has a younger brother. And as far as you  
25 know there's nothing to indicate that either one of

ROBERT S. RUST - CROSS

2528

1 those siblings has any neural developmental disorder  
2 at all.

3 A I'm sure I must have noted that in the  
4 records, but it didn't stick in my head I'm afraid.

5 Q So you didn't see anything in the records,  
6 and certainly nothing that you noted in your report.  
7 I'm asking because I didn't see it anywhere in the  
8 report.

9 A Didn't see what in the report?

10 Q You didn't see anything that would suggest  
11 there was familial --

12 A No, sir. I would have noted it had I noted  
13 it.

14 Q There was also a discussion about how often  
15 regressive autism early symptoms are missed in  
16 families where the child, the subject child, is a  
17 first born. Do you remember that testimony?

18 A Yes, sir. I do.

19 Q Are you aware that William Mead was the  
20 second born child in the Mead family?

21 A You've just told me that. I'm sure I must  
22 have noted it when I looked at the records, but I see  
23 so many records.

24 Q How many records of children did you review  
25 in preparing the report? You say you review a lot of

ROBERT S. RUST - CROSS

2529

1 records. How many medical records did you review in  
2 order to prepare your testimony today at all? I mean  
3 obviously you're looking at the King and the Mead  
4 records, but did you look at other medical records to  
5 prepare for your testimony today?

6 A I look at dozens of medical records every  
7 day, that have nothing to do with the case, of course.

8 Q That's what I just wanted to make sure of.  
9 We're not talking about other records that might  
10 involve other children here.

11 You mention on page 15, there's a genetic  
12 contribution, and I'll pause for a second so folks can  
13 get to page 15, on the genetics of autism.

14 There is a genetic contribution of greater  
15 than 90 percent. What do you rely on for that figure?

16 A Well, I put a question mark as to whether  
17 that's true or not. This has been asserted by people  
18 but it remains to be proven. This is one of those  
19 points that needs to be proven.

20 Q One of the ways one can determine genetic  
21 contribution is through studies of twins and siblings,  
22 is that correct?

23 A Yes, sir.

24 Q There have been studies that have been  
25 published of both monozygotic and dizygotic twins.

ROBERT S. RUST - CROSS

2530

1 Are you aware of those studies?

2 A Yes, sir. I am.

3 Q Based on your familiarity with those  
4 studies, what is the concordance rate generally among,  
5 and we'll first talk about monozygotic, are those  
6 identical twins?

7 A Yes, sir.

8 Q If you're looking at monozygotic identical  
9 twins, what's the concordance rate of autism in those  
10 studies as you understand them?

11 A I don't have that figure in my head this  
12 afternoon.

13 Q How about dizygotic fraternal twins?

14 A It's smaller than monozygotic.

15 Q Do you have estimates? At any point do they  
16 approach greater than 90 percent?

17 A No sir.

18 Q Do you know how close to 90 percent they get  
19 or don't get?

20 A I don't recall.

21 Q No idea?

22 A I have an idea, but I don't recall.

23 Q And there's no citation to put any number on  
24 this. It's just a question.

25 So on the first point, the question mark

ROBERT S. RUST - CROSS

2531

1 should be after the statement that says genetic  
2 contribution greater than 90 percent. Is that where  
3 the question mark should be?

4 A Well, I thought it covered the subject to  
5 put it where it was.

6 Q I couldn't tell. I just want to be clear so  
7 I'm working with the right information as you  
8 presented it.

9 In these studies that show concordance  
10 rates, can you describe for the Special Masters the  
11 specific chromosome sites or the specific gene  
12 locations of these abnormalities that would contribute  
13 to the appearance of autistic symptoms?

14 A There's a fairly long list of genes that  
15 will produce autistic symptoms. I mention several of  
16 them here. Particularly Angelman syndrome that has  
17 such striking autistic features, and as well Rett  
18 syndrome that I emphasized this morning.

19 Q And if you look at the known specific  
20 genetic defects, about what percentage of total autism  
21 cases can be ascribed to the known specific genetic  
22 anomalies?

23 A What I mentioned was that identifiable  
24 causes are seen in perhaps somewhere between eight and  
25 12 percent.

ROBERT S. RUST - CROSS

2532

1 Q So that would mean that 88 to 92 percent do  
2 not have identifiable causes?

3 A Yes, sir. Not yet anyway.

4 Q Exactly. We're talking about the state of  
5 what we know right now. So 88 to 92 percent that are  
6 supposedly genetic, we don't know what those are right  
7 now.

8 A Yes, sir. Just like cerebral palsy and  
9 mental retardation.

10 Q It's also mentioned here about dysmorphia.  
11 About three-quarters of the way down. What is  
12 dysmorphia as you mean it to apply here?

13 A Dysmorphia is unusual features of  
14 appearance. Things that, as you examine a patient,  
15 may set them apart from other individuals. This can  
16 be in the face or it can be abnormalities elsewhere in  
17 the body.

18 Q By these, I want to make sure, again with my  
19 lay person's understanding, these are like the finger  
20 digit ratio discrepancies and facial features, things  
21 like that?

22 A It's other things as well. In autism, for  
23 example, it's length of fingers and other kinds of  
24 things.

25 Q In either William Mead's case or Jordan

ROBERT S. RUST - CROSS

2533

1 King's case, did you identify any dysmorphic features  
2 that would be consistent with how you're using the  
3 term here?

4 A I didn't see them. But according to the  
5 records there were no such features.

6 Q In fact Jordan in particular got a very  
7 thorough genetic workup. Do you recall reading that  
8 in the record?

9 A Yes, sir. And in addition I was able to see  
10 both gentlemen on tapes. I didn't see anything.

11 Q So no evidence of dysmorphic features  
12 whatsoever as far as you could see.

13 A Not where I could see or what I could read  
14 from the record.

15 Q Let's turn to page 17, Slide 17. This is a  
16 slide entitled Rett syndrome.

17 In what gender does Rett's syndrome appear?

18 A In either boys or girls.

19 Q Is there a difference in the distribution of  
20 Rett syndrome across gender?

21 A Yes, sir. It's overwhelmingly girls.

22 Q When you say overwhelmingly, about what  
23 percentage of Rett syndrome children are girls versus  
24 boys?

25 A So far as we currently know, it's well over

ROBERT S. RUST - CROSS

2534

1 95 percent. The issue as to whether boys with mental  
2 retardation are under-diagnosed is something that  
3 people don't know the answer to.

4 Q When you come down under here, there's a  
5 first point, phase of apparent regression, usually at  
6 five to nine months. Then there's a little note under  
7 there that says "closer look". Preceding  
8 abnormalities of head size. What's being discussed  
9 there?

10 Actually, before I even ask that, this is  
11 Dunn, Brain Development?

12 A Yes, sir.

13 Q Is that a peer-reviewed, published journal  
14 article?

15 A Yes, sir.

16 Q It's not just an abstract that was presented  
17 as a poster somewhere?

18 A It is an abstract because of the S preceding  
19 the 38.

20 Q Okay. I'm sorry, so it is or it isn't?

21 A It is an abstract.

22 Q It is an abstract. So is the full text  
23 manuscript of this yet peer reviewed and published as  
24 far as you know?

25 A No, sir. It's not.

ROBERT S. RUST - CROSS

2535

1 Q It's not. Okay.

2 So in this unpublished, non-peer reviewed  
3 citation, what do you mean when you say "preceding  
4 abnormalities of head size"? Or what did they mean as  
5 you understand it I guess is the better question.

6 A Right. Rate of head growth was what Davis  
7 Dunn had mentioned in this particular abstract.

8 Q And what was the rate of head growth that's  
9 being described?

10 A Heads were smaller, and then accelerated in  
11 their growth.

12 Q I'll break it down. How small did they  
13 start off? Over what period of time did they get big?  
14 And where did they end up? Does that make sense?

15 A It does make sense. I don't know that I can  
16 provide an exact answer to that. But typically it was  
17 over a matter of months. That's what they were  
18 talking about, because most of the girls had their  
19 regression at, as I say, five to nine months,  
20 somewhere in there. But what centile and so forth, I  
21 don't recall.

22 Q These were all girls in this study?

23 A All girls.

24 Q The end point of tracking the head  
25 circumference, how far into their lives did it go?

ROBERT S. RUST - CROSS

2536

1 Did it end at the nine months that's being referred to  
2 here? Did it extend out beyond that? What's your  
3 best recollection?

4 A My best recollection is that it continued  
5 until sometime after the child was diagnosed, but not  
6 a long time.

7 Q Do you know what the mean age of diagnosis  
8 was?

9 A I don't remember. It seems to me it was the  
10 second half of the first year of life, but I don't  
11 remember.

12 Q I'm sorry. The second half of?

13 A Second half of the first year of life, but I  
14 don't remember for sure.

15 Q I want to turn to page 18. You've got a  
16 slide that talks about the cortical development  
17 through three decades. If I recall, you were talking  
18 about some genetic errors when you were discussing  
19 this particular slide. You were talking about how  
20 genetic errors can switch on and off at all these  
21 different stages of brain development.

22 A Both are possible. People think  
23 particularly about the failure to switch on at a  
24 particular phase, or a failure to cause a particular  
25 gene that might cause problems to turn off or to

ROBERT S. RUST - CROSS

2537

1 modify the product as expressed by a gene. Those are  
2 the kinds of things it would cover.

3 Q Gene expression, and particularly if it's a  
4 functional expression, can gene expression be  
5 influenced by environmental factors in general? As a  
6 general proposition?

7 A It's possible.

8 Q So it's possible that once's genetic  
9 predisposition one way or another can be affected by  
10 an environmental intervention at some point where that  
11 gene's going to be expressed, correct?

12 A There are examples of exactly that.

13 Q So at least at that level you would concede  
14 that there is, or agree. I don't know if it's  
15 conceding. Agree there's a gene environment  
16 interaction that can determine physiological outcomes  
17 in human beings. Is that correct?

18 A If you change it to maybe, I would both  
19 agree and concede.

20 Q So it's possible.

21 A Anything is possible, sir.

22 Q I don't want to make it, we're not pulling  
23 it out of the blue. You would agree that there is a  
24 scientifically reasonable basis for concluding that  
25 there are gene environment interactions that can

ROBERT S. RUST - CROSS

2538

1 determine somebody's physiological outcome. You would  
2 agree with that.

3 A You have appropriately qualified what I  
4 said.

5 Q So for example, obesity. Are you familiar  
6 with research showing that obesity often has, appears  
7 to be, an association with some genetic contributing  
8 factors? Correct?

9 A It's an interesting question. There is some  
10 of that, and some is environment as well.

11 Q Right. Because even if you have a genetic  
12 predisposition to obesity, if you're deprived of food  
13 you will not have your genetic obesity coding  
14 expressed as obesity, correct?

15 A That's correct, sir. yes.

16 Q I want to turn to page 20. This is the Rett  
17 knockout mouse. Quite the photo. It's like a diving  
18 board mouse, as best I can tell there. That's the  
19 page that we're on.

20 A I had hoped to be able to click it on and  
21 show you, but I couldn't get it to work.

22 Q So this idea of a knock out. Can you  
23 explain exactly what that refers to? It doesn't just  
24 mean that the mouse is going to land on its head.  
25 You're talking about something else, and I was

ROBERT S. RUST - CROSS

2539

1 wondering if you could explain it a little bit.

2 A Nowadays people can take a particular  
3 genetic segment and inter-collate it into the genome  
4 and cause the expression of that. This has become a  
5 fairly easy thing to do, apparently.

6 Q And so this fairly easy thing to do, that's  
7 what happened with the mice and that's where people  
8 identified this particular genetic anomaly in Rett's,  
9 is that correct?

10 A No, it was identified previously, and then  
11 once they knew what it was they could put it into the  
12 genome of mice. That's what was done.

13 Q Has there been a similar knockout gene  
14 identified for any other variation of an autism  
15 spectrum disorder as far as you know?

16 A I believe that there has been for other  
17 diseases that have autistic features. I could not  
18 give a list of them to you just now, but I'm pretty  
19 certain that there are others.

20 Q And these are for autism spectrum disorders.  
21 Is that your understanding? That people have  
22 developed knockout genes that when they're inserted  
23 into somebody's genomic material would produce  
24 symptoms of autism spectrum disorder?

25 A I think it's likely, but I can't tell you

ROBERT S. RUST - CROSS

2540

1 for absolute certain. These things happen in the  
2 hundreds every day, apparently. But it's likely that  
3 there are such things out there.

4 Q But if this happened you don't have any  
5 evidence here that you could bring to the Special  
6 Masters or to share with us?

7 A Well, I could do so if I had the time to do  
8 it, or the opportunity. What tends to happen is that  
9 once a particularly important example of a disease  
10 process renders it a knockout mouse, folks tend to  
11 gang up on that model both because of the expense of  
12 the initial development and because the idea is that  
13 they can jointly and together provide much more  
14 understanding. That's what's happened with Rett.

15 Q The citation here to Watson et al. Do you  
16 have an exhibit number to that so that we could refer  
17 to it and we could refer the Special Masters to the  
18 text of that somewhere in Respondent's list of  
19 materials submitted for this hearing?

20 A The entire citation is there.

21 Q My question is can you give us the exhibit  
22 number where it appears in the record of these  
23 proceedings?

24 A I don't know anything about an exhibit  
25 number.

ROBERT S. RUST - CROSS

2541

1 Q I ask because I looked on the list that the  
2 lawyers for y'all's side of the case provided and I  
3 didn't see this cited and I didn't see an exhibit  
4 number, so I thought maybe you had that. But you  
5 don't?

6 A No, sir. I wasn't asked for one.

7 Q Let's look at page 22. This is a slide  
8 that's entitled functional correlates. I just wanted  
9 to ask, what do you mean by functional correlate?  
10 What are you correlating a function to in this slide?

11 A Some of these sentences or these  
12 observations correlate things to a mechanistic sort of  
13 thing, so that's what it's intended to say. In fact  
14 you're quite right in saying I haven't correlated in  
15 the way we usually do that, some clinical thing to it.

16 These are really correlates between a gene  
17 issue and the particular problem that may be  
18 experienced as a result of it.

19 Q And again, I've asked this question on a  
20 number of slides but I'm just trying to interpret this  
21 material. On this first bullet point, methylated  
22 cytosine-guanine dinucleotides, are you intending here  
23 to report an observation of your own? Or is this a  
24 report of something that's appeared in the scientific  
25 literature?

ROBERT S. RUST - CROSS

2542

1           A     This has appeared in the scientific  
2 literature.

3           Q     Where in the scientific literature?

4           A     This observation should be from the  
5 Greenberg Lab or from Baylor. I don't know which one.

6           Q     I saw something in here about the Greenberg  
7 -- Here it is on the next page, page 23. The  
8 Greenberg Lab. That's the page that we're on right  
9 now. It looks like there's some sort of bench  
10 research experiment going on.

11          A     That's correct, sir.

12          Q     And it's being conducted by a lab at Boston  
13 Children's which is Boston Children's Hospital?

14          A     That's correct, sir.

15          Q     Is this information that has been published  
16 in the scientific literature?

17          A     Yes, sir. It has.

18          Q     Where has that been published?

19          A     I'm afraid I don't have that information in  
20 my head. It's easy to come by.

21          Q     Can you describe the experiment that they  
22 were doing here?

23          A     What they did was to look at genetic  
24 expression to see what happened in the knockout mouse,  
25 is my recollection. They found a target site for

ROBERT S. RUST - CROSS

2543

1 activation that was associated with abnormal dendritic  
2 arborization in the experimental model.

3 Q And was this in mice or rats?

4 A I think it was a knockout mouse. I couldn't  
5 say absolutely certainly, but I believe that's what it  
6 was.

7 Q And it was to determine whether inserting a  
8 particular piece of genetic material into a mouse  
9 would do something about the dendrite?

10 A That's the importance of a knockout mouse.  
11 It's to really understand the mechanism of the  
12 disease. And the importance of these observations was  
13 to show how genetic expression could produce such a  
14 wide variety of changes and how these changes take  
15 place over time.

16 So the message of these sequential slides  
17 was to suggest that in development we can see various  
18 things that happen that both determined how the  
19 pattern of onset's going to be and what might alter  
20 that over time.

21 Q You mentioned things, certain things  
22 happened over a certain period of time. In this  
23 experiment, what things happened over what period of  
24 time?

25 A My recollection is of the development of

ROBERT S. RUST - CROSS

2544

1 abnormal dendritic arborization. The period of time  
2 that it took is something I don't recollect. I  
3 thought I was giving too much information but I wasn't  
4 giving enough, apparently.

5 Q Was there an effort in this experiment to  
6 correlate what was going on in these mice to human  
7 behavior?

8 A The importance here was, first of all,  
9 because the knockout mouse does manifest features of  
10 Rett syndrome, these include isolation, they include  
11 gaze issues, they include stereotypies, features that  
12 we see in Rett syndrome, quite strikingly. So the  
13 issue here was to understand what sequential events  
14 might account for abnormal development.

15 Q So it was the same type of mouse using the  
16 same genomic knockout material that was used in that,  
17 several slides earlier with the head diving mouse?

18 A That's my recollection. Yes.

19 Q Let's turn to page 24. There is a heading  
20 at the top that says "Autism: Neuropathology" and  
21 there's a note that says "n=5". Now typically when  
22 one see n and a scientific reference that's the number  
23 of subjects in a study?

24 A It says nine, I believe.

25 Q Nine, I'm sorry.

ROBERT S. RUST - CROSS

2545

1 A That's the number of subjects in this  
2 particular study.

3 Q What were the subjects?

4 A These are human brains.

5 Q And neuropathology, are these autopsy  
6 studies?

7 A Well, people don't volunteer their brains  
8 for these things.

9 (Laughter).

10 Q I understand. But there are sometimes  
11 people who have head surgery for strokes, and you can  
12 take biopsies, and I don't want to be presumptuous.  
13 So these are autopsies and there are nine autopsied  
14 brains.

15 A Yes, sir.

16 Q How old were the subjects at the time that  
17 they died?

18 A Most, and I can't tell you specifically in  
19 this study. You're keeping me on my toes. But people  
20 don't tend to die of autism, so these tend to be older  
21 individuals.

22 Q Now what study was this?

23 A I think this was Dr. Kemper or Dr. Bauman,  
24 but I don't remember for sure.

25 Q So if we wanted to analyze and have a

ROBERT S. RUST - CROSS

2546

1 conversation with you about the methods and the number  
2 of subjects and information about the underlying  
3 pathological results, we don't know what paper we can  
4 refer to to have that conversation with you?

5 A It's likely that you could have an even more  
6 stimulating conversation with Dr. Kemper.

7 Q So odds are it's Dr. Kemper?

8 A I think so.

9 Q I think we'll have a chance to have that  
10 conversation tomorrow with Dr. Kemper.

11 A I anticipated that you would.

12 Q But on here there's no citation and there's  
13 no description of the methods or the data analysis  
14 involved in that study, correct?

15 A No. Next time I'll have to double the  
16 amount of information that I provide and keep people  
17 enthralled.

18 Q Page 27. This says "Pathology of Autism".  
19 I want to make sure I'm tracking this correctly.  
20 Earlier you were talking about Rett's. On this slide  
21 are you making a distinction between the pathology of  
22 Rett's and the pathology of autism?

23 A This is the pathology of individuals with  
24 autism.

25 Q Does it include people with Rett's?

ROBERT S. RUST - CROSS

2547

1 A I don't believe it does.

2 Q Does it or does it not? You prepared the  
3 slide and you knew what you were saying. So does it  
4 include people with Rett's or does it --

5 A I don't believe it does.

6 Q Okay. Is this referring to the same study  
7 that was referenced that you think might have been a  
8 Dr. Kemper study?

9 A I think this is Courchesne from California.

10 Q I'm just trying to follow what we're -- It's  
11 not that you have to provide all that information in  
12 here, but if we at least know the citation we can then  
13 be looking at the methods and all. You don't have to  
14 explain it in your testimony, but it's very helpful to  
15 be able to analyze what you're saying with reference  
16 to the underlying literature.

17 So you believe this is one of Dr.  
18 Courchesne's?

19 A I believe that's right.

20 Q He's got a number of papers that are out  
21 there dealing with, as you know, the brain pathology  
22 of autism. Do you know particularly which publication  
23 you're talking about here?

24 A I'm afraid I don't, and this may, it does  
25 represent I think a sampling from several different

ROBERT S. RUST - CROSS

2548

1 sources.

2 Q And your slide is a sampling from several  
3 different sources?

4 A Yes, sir.

5 Q At one point, what I wrote down as you were  
6 describing this, one little note I made is you were  
7 having a discussion about long connections versus  
8 short connections in the brain?

9 A Yes, sir.

10 Q As the early brain develops, say the first  
11 couple of months after birth, is it fair to say that  
12 the axons of a lot of the neurons are actually  
13 migrating and making connections to the brain?

14 A As the neuron migrates to its ultimate place  
15 it leaves a trail behind it and then these things are  
16 modified over time. So the cells begin to talk to one  
17 another and there's arborization that takes place, and  
18 elimination of arborization with development. In  
19 addition to those changes there's a development of  
20 these long connections.

21 The state of that information is  
22 particularly advanced with functional studies. What  
23 you I'm sure understand is that this is very difficult  
24 work and that's why there are so many papers out there  
25 that one must wonder a little bit about and it's the

ROBERT S. RUST - CROSS

2549

1 reason you're asking where it came from, and it's the  
2 right thing to do.

3 So in terms of the notion about long  
4 connections and short connections, this is a summary  
5 of a considerable amount of information. It's far  
6 beyond the stage of being made up, and it's far beyond  
7 the stage of being entirely theoretical because it is  
8 in keeping with what information is available.

9 Now different areas of the brain are  
10 different from one another in terms of how you study  
11 them. There is particular ease with studying the  
12 cerebellum and its connections and there's a great  
13 deal of difficulty in studying things like the migdala  
14 or cortex. And the observations that are made, as I  
15 say, are very tedious, and rewarding when they're  
16 done. And yet more information needs to be obtained.

17 Now more --

18 Q Let me interrupt you. I think you're  
19 getting a little afield from the question I had here  
20 which is about axons and whether the long connections  
21 and short connections involve the development of axons  
22 throughout the brain. So I'll ask that question  
23 again.

24 Is there anything about long connections and  
25 short connections that involve the movement of axons

ROBERT S. RUST - CROSS

2550

1 of neurons throughout the brain?

2 A Well the axons don't move around the brain.  
3 They develop and lengthen. That's a simpler answer  
4 than I thought you were asking.

5 Q Yes, it was that simple.

6 A I'm very relieved.

7 Q My understanding is that astrocytes,  
8 astroglial cells, play a really important role in the  
9 movement of neurons throughout the brain, is that  
10 correct?

11 A They don't move throughout the brain. They  
12 move in a particular trajectory. This can be  
13 interrupted or changed by events such as damage to the  
14 brain early on. But I showed a slide but perhaps  
15 didn't convey the fact that that migration is along  
16 the radial glial fibers, so these, the route that's  
17 assumed is one that's supported by a glial element  
18 that then involutes and so the stretching out is along  
19 that sort of thing. There's division at the inner  
20 areas, and then the cells form different from each  
21 other and migrate.

22 Q Are you referring to the radioglia that  
23 start early on? Do the radioglia then evolve into  
24 astrocytes or astroglia?

25 A No, those radioglia involute with time, so

ROBERT S. RUST - CROSS

2551

1 we have other cells as well.

2 I wish the medical students asked questions  
3 like yours. This is a very interesting subject and  
4 I'm glad you're interested. But cells that divide  
5 divide in various ways at the initiating zones that  
6 are deep in the brain and we end up with a variety of  
7 cells that are involved in the migration.

8 Q You mentioned that this process can get  
9 interrupted and it can get interrupted by events.  
10 What sort of events can interrupt this process of  
11 neuronal migration?

12 A The important observations were made in the  
13 mid 1920s by Pierre-Marie, and then in 1949 by, I'm  
14 blocking on his name now. Wonderful. A Russian  
15 neuropathologist. But where a stroke could cause,  
16 early stroke could cause migration to be abnormal, and  
17 associated tangles of cells that don't get where they  
18 need to be. This can happen for other reasons too,  
19 and it can happen for genetic reasons too.

20 Q And actually a stroke is a good example  
21 because there are a number of things that can cause a  
22 stroke, correct?

23 A There are quite a number of things that can  
24 cause a stroke.

25 Q Sometimes a stroke can be caused by an AVM,

ROBERT S. RUST - CROSS

2552

1 an arterial venus malformation. Is that correct?

2 A Sure.

3 Q And an AVM often is a congenital condition,  
4 something that one is born with.

5 A Yes, sir, it may be.

6 Q A percentage of the people in this room are  
7 walking around healthy with AVMs in their brains,  
8 correct?

9 A I'd hate to worry anybody about it.

10 Q But it's true, isn't it?

11 A The numbers would suggest that perhaps  
12 nobody in this room.

13 Q But if there were just a few more people  
14 we'd be bumping up against that statistic.

15 A Yes, sir.

16 Q Now strokes can also be caused by non-  
17 congenital factors. Head trauma, correct?

18 A That's possible.

19 Q Hypertensive events?

20 A That's a more common cause.

21 Q Drugs and toxins that can cause ischemia or  
22 acute hypertension, those can cause a stroke, correct?

23 A Yes, sir.

24 Q However that stroke is caused, whether it's  
25 congenital or environmental, it can interrupt a

ROBERT S. RUST - CROSS

2553

1 migration of neurons at a key point in development if  
2 it happened, correct?

3 A Well, a good many of those things would be  
4 off the list as far as being causes for migrational  
5 problems.

6 Q But they could cause problems in the brain,  
7 correct?

8 A Yes, but not migrational problems.

9 Q And if you look at the pathology of a  
10 stroke. If one was to look on biopsy, for example,  
11 post-surgery of a stroke, and one saw blood profusion  
12 and dead tissue in that pathology, you can't  
13 necessarily tell from that pathology whether it was an  
14 AVM that caused it or if it was a toxic exposure. You  
15 can't tell necessarily what caused it just based on  
16 that pathology, can you?

17 A Oh, you usually can.

18 Q You can't always though, can you? Often the  
19 stroke wipes out the evidence of its own cause.

20 A Well, chiefly that's because we can't look.  
21 We don't go in and biopsy or anything like that, so we  
22 have imaging that will tell us something. It's the  
23 imaging that leaves us with some uncertainty. The  
24 clinical situation may then be helpful to us. But  
25 there are many times when we don't really know what

ROBERT S. RUST - CROSS

2554

1 brought on these things.

2 Q So my question again is, you cannot  
3 necessarily tell from the pathology post-stroke what  
4 actually caused the stroke itself?

5 A In the instances where you do have  
6 pathology, usually you can. I'd say the overwhelming  
7 number of times you can get some idea about this, and  
8 that's because the only way you're going to get at it  
9 is because somebody's died from a stroke. So you'll  
10 have a considerable amount of information.

11 Q But unless they die from the stroke you're  
12 not going to be able to get that information?

13 A Fortunately for the person who didn't die,  
14 and unfortunately for the progress of science. The  
15 former outweighs the latter.

16 Q Understood. Particularly if you're in that  
17 situation yourself.

18 SPECIAL MASTER CAMPBELL-SMITH: Dr. Rust, I  
19 just want to ask, the particular trajectory to which  
20 you referred along which neurons moved, is that  
21 reflected on your exhibit Slide 77, to the left of the  
22 page? Is that the diagram to which you were  
23 referring?

24 THE WITNESS: Yes, Special Master. That's  
25 exactly right.

ROBERT S. RUST - CROSS

2555

1 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

2 You can proceed.

3 BY MR. POWERS:

4 Q That slide, just to jump ahead then.

5 Eventually I'd be getting there but it's good that you  
6 raise it. At the top it says brain surface. And for  
7 the record and the reporter, we're on page 77. It's  
8 the panel on the left. The top of the slide says  
9 "Astrocytic Glycogen".

10 Is the top where it says "brain surface", is  
11 that the cortex?

12 A Well, the layers, the evolving layers of  
13 cortex here.

14 Q So the process you're describing here is  
15 brain development as the cortex is building?

16 A That's correct.

17 Q At about what time in life would be captured  
18 by this schematic diagram? Of a human life. I assume  
19 we're talking about humans in this diagram.

20 A It would be true of other species as well.  
21 This is sort of an artistic representation. It  
22 doesn't give us all the information we need. It's  
23 intended, well, the pictures on the other side are  
24 intended to show us the accumulation of glycogen which  
25 is so striking in these astrocytes, and it was used

ROBERT S. RUST - CROSS

2556

1 for a long time to identify the radioglial fibers so  
2 people would know where things were migrating. Nobody  
3 seemed to care much how it got there or what it did,  
4 and that's why I started doing my work.

5 Q Again, please, I'm not trying to nag, but  
6 focus on the question.

7 Is there a period of time in a child's life  
8 that you believe is captured by this schematic? Is  
9 this prenatal? Is it gestation week 40 through month  
10 two? Can you put some timeframe on it? That's all  
11 I'm trying to figure out.

12 A This is relatively early brain development.  
13 But as I mentioned, brain development continues to  
14 take place in terms of at least changes in  
15 arborization and that sort of thing for as many as  
16 three decades. This slide likely represents very  
17 early childhood.

18 Q Postnatal?

19 A Or prenatal.

20 SPECIAL MASTER CAMPBELL-SMITH: Would that  
21 be neonatal?

22 THE WITNESS: Neonatal, prenatal or  
23 postnatal.

24 BY MR. POWERS:

25 Q On page 37. Page 37 is the page you had

ROBERT S. RUST - CROSS

2557

1 referenced before and it has graphic representations  
2 here. I just wanted to first get oriented as to  
3 exactly what we're looking at.

4 It looks like a cross-section of brain. On  
5 the left panel as one looks at it it's a control; and  
6 on the right it's ASD. What kind of image is this  
7 again?

8 A The image that you're seeing there is an MRI  
9 scan. The top.

10 Q Is this a functional MRI?

11 A This is data generated for a functional MRI.

12 Q Excuse me?

13 A It's data generated from a functional MR  
14 spectroscopy.

15 Q And can you describe again, because I just  
16 missed it, what these circles are? There's a circle  
17 on the control and there's a circle on the ASD. What  
18 do those circles represent?

19 A These are areas of activation given a  
20 particular task. I can't recall what the task was,  
21 but they're simply representative of a body of  
22 information that's been generated to show that with a  
23 particular task, a very isolated task, you may see  
24 activation in a particular brain area. And a co-  
25 activation in other areas. So these represent

ROBERT S. RUST - CROSS

2558

1 activation in regions in the temporal lobe and the  
2 inferior frontal lobe, and so forth.

3 Q Do you know what activities are being  
4 measured in these slides?

5 A This represents increased brain activity.  
6 It can be gotten by functional imaging or it can be  
7 gotten by PET imaging. So there are several different  
8 ways to look to see what tissues are activated.

9 Q And I guess what I'm trying to get at is  
10 that there's a difference -- I shouldn't assume this.

11 Is there a difference in the activity as  
12 captured by the MRI in the control brain versus the  
13 ASD brain?

14 A Well the circled area that doesn't have  
15 activation as a target for a particular task is what  
16 is being shown there.

17 Q Is it the autistic brain that has the lack  
18 of activation?

19 A That's right. That's what's intended to be  
20 represented there. There's, of course, a lot of work  
21 in this area, and the slides merely are meant to show  
22 that we can actually look at the systems related  
23 function with this technique. It's not something I  
24 do, but it's something that people can do.

25 Q Is this electrical activity? Or is it

ROBERT S. RUST - CROSS

2559

1 something like a hemodynamic MRI where you can see  
2 sort or blood flow to an area?

3 A For PET it's blood flow increases that are  
4 done.

5 Q Okay.

6 Do you know if these are boy or girl brains?

7 A I'm afraid I can't tell you, either for the  
8 control or for the autistic spectrum disorder. The  
9 likelihood is that they're age matched boys.

10 Q Do you know if either one of these  
11 particular, either the case or the control, had  
12 epilepsy?

13 A I can't tell you the answer to that.

14 Q Do you know if the ASD brain, if that was a  
15 child who had regressive autism?

16 A I can't tell you the answer to that, too.  
17 Although this kind of data has been generated for --  
18 Well, I'd better be careful about this one. I know  
19 it's been generated for autistic disorders, but I  
20 can't tell you for sure whether people have been  
21 careful about that distinction.

22 SPECIAL MASTER CAMPBELL-SMITH: I just want  
23 to be clear, Dr. Rust. You used activity a couple of  
24 times, and activation. These are two presumably age-  
25 matched children, possibly boys, a control and an

ROBERT S. RUST - CROSS

2560

1 autistic, who are doing the same activity.

2 THE WITNESS: That's right. These tend to  
3 be very very specific tasks that either individual  
4 might be able to perform, and I don't know what the  
5 task was here.

6 SPECIAL MASTER CAMPBELL-SMITH: In the  
7 autistic child, what we see in that sort of gap area  
8 that you addressed, the more open area, more white  
9 area in the black and white slide, is a lack of blood  
10 flow, as you further described?

11 THE WITNESS: In these studies, I can't tell  
12 you for sure. Typically with these kinds of studies  
13 one could compare blood flow to areas that are  
14 designated, not with a PET scan but with imaging  
15 studies, so that you co-register, is what people call  
16 it, to get one area. Then you can put it on a picture  
17 where you can show where it is on an image that people  
18 can understand more readily.

19 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

20 MR. MATANOSKI: If I may interrupt, just for  
21 a housekeeping matter.

22 Mr. Powers, you got a copy of the color  
23 slides, right? Are you working off that now?

24 MR. POWERS: I am not. I'm working off my  
25 marked-up copy.

ROBERT S. RUST - CROSS

2561

1 MR. MATANOSKI: In the lunch break we were  
2 able to get color copies. I know we gave you one,  
3 but you're working off the marked up copy. I was just  
4 wondering if it was easier for everyone since these  
5 particular slides that we were just referring to were  
6 color slides, and we don't have it up on the monitor  
7 right now. but we can bring it up. If it will be  
8 easier, we do have the color copies.

9 MR. POWERS: I didn't have any other  
10 questions about that slide. I don't know if the  
11 Special Masters need --

12 SPECIAL MASTER VOWELL: I don't need a color  
13 copy.

14 SPECIAL MASTER CAMPBELL-SMITH: I don't need  
15 a color copy.

16 MR. MATANOSKI: We were going to take care  
17 of that matter after, substitute them.

18 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
19 We're just dealing with gray right now. Different  
20 shades.

21 (Laughter).

22 MR. POWERS: A little bit of black and  
23 white, but a lot of gray.

24 BY MR. POWERS:

25 Q I'm going to put the slides aside for a

ROBERT S. RUST - CROSS

2562

1 little bit and ask you a few other questions here.

2 There has been discussion about William  
3 Mead's head circumference. You had one citation in  
4 your report that you're now saying it's a different  
5 piece of material that you're relying on, a different  
6 piece of evidence. What I want to ask is, do you  
7 recall from your review of the medical literature what  
8 his, not percentage, but just what William Mead's head  
9 circumference was at birth?

10 A I think it was reflected in the 38 week mark  
11 on the other piece of information. I don't remember,  
12 but I think that's right.

13 Q Scott, if you could pull that up. We might  
14 even want to just use Exhibit 1, page 3.

15 SPECIAL MASTER CAMPBELL-SMITH: I think  
16 that's Exhibit 1, page 4.

17 MR. POWERS: Exhibit 1, page 4 is the birth  
18 record. I was trying to go from memory and it doesn't  
19 always work, so I sympathize with the doctor up there  
20 too.

21 BY MR. POWERS:

22 Q With Mr. Graham's assistance, we've  
23 determined it's Exhibit 1, page 31.

24 Dr. Rust, do you see that on the monitor in  
25 front of you there?

ROBERT S. RUST - CROSS

2563

1 A Yes, sir.

2 Q We're going to blow it up for you. If you  
3 could focus on the upper left hand highlighted area.  
4 You see this is William Mead's birth record. It talks  
5 about his condition upon admission.

6 Do you see the line where it says HD? I'm  
7 assuming that means head?

8 A Uh huh. Yes, I do.

9 Q There's a 14/36. Would that be 14 inches or  
10 36 centimeters?

11 A It could be, sir.

12 Q So 36 centimeters. Do you know where at a  
13 gestational age of 39 weeks, which is what his growth  
14 chart showed, do you know what percentile that would  
15 place his head circumference?

16 Now you've said he started off in the 60th  
17 percentile.

18 A Yes, sir.

19 Q Are you familiar with Dr. Menkes' Child  
20 Neurology textbook?

21 A I'm quite familiar with it, sir.

22 Q I don't know if we can put this up on the  
23 chart, but on page four of the 7th edition of Dr.  
24 Menkes' Child Neurology book it actually shows a head  
25 circumference chart.

ROBERT S. RUST - CROSS

2564

1 Can we put this on an overhead?

2 Maybe there's an easier way. I wonder if I  
3 could show it to the witness and you can identify  
4 where this would be in terms of percent.

5 SPECIAL MASTER CAMPBELL-SMITH: Hold on for  
6 the document camera.

7 (Pause).

8 BY MR. POWERS:

9 Q So Doctor, if we were to look, 36, 34 is the  
10 median is that correct?

11 A Yes, sir.

12 Q And 36 is about one full standard deviation  
13 above the median, is that correct?

14 A Yes, sir. It is.

15 Q So one full standard deviation above the  
16 median, that would place his percentile more in the 80  
17 percentile than it would in the 60 percentile,  
18 correct?

19 A If it were a reliable measurement.

20 Q If what was a reliable measure?

21 A The measurements provided here.

22 Q But we're assuming, you were not relying in  
23 any other measure, were you, in your review of the  
24 medical records and your formation of your opinion  
25 about his head growth?

ROBERT S. RUST - CROSS

2565

1           A     There's a particular problem with head  
2     circumference at birth.

3           Q     What is that particular problem?

4           A     A child's just passed through the birth  
5     canal, so we find that those measurements are  
6     unreliable for us. There can be edema, there can be  
7     overlapping sutures, and that sort of thing. So for a  
8     variety of reasons the child during the first few  
9     weeks after birth will begin to express a head  
10    circumference that's more reliable for us.

11          Q     His trajectory of head size, if you're  
12    saying he started at 60, so where in the peer review  
13    published medical literature do you extrapolate  
14    backwards from something that you just said is roughly  
15    in the 80 percentile to something that's in the 60th  
16    percentile? Can you direct us to where that backwards  
17    extrapolation would be made?

18          A     It was in the head growth chart.

19          Q     I'm just trying to figure out where the 60  
20    percent comes from, because that just doesn't appear  
21    in the -- When you look at it and compare it to what's  
22    right there in Dr. Menkes' textbook.

23          A     We had an illustration of the measurement.  
24    I thought we used it during the testimony. It was the  
25    60th percentile.

ROBERT S. RUST - CROSS

2566

1 Q So it is your testimony then that you  
2 believe he was in the 60th percentile.

3 A As I mentioned, we don't rely on the head  
4 circumference at birth because there are so many  
5 features that influence that. The child has passed  
6 through the birth canal. This can produce edema and  
7 other changes. Elongation and other kinds of changes  
8 that make the head circumference at birth unreliable  
9 for us.

10 Q But in your expert report you describe that  
11 he went from 60 to 95 percent in the first four months  
12 of his life, and you implicated what sounded like a  
13 very serious list of medical problems that might be  
14 indicated by that.

15 Do you recall describing that in your expert  
16 report?

17 A I don't think I implicated a serious  
18 collection of things, but at least I don't remember  
19 it, but the trajectory is important for two reasons.  
20 First of all, again, we can't rely on the birth head  
21 circumference because of the fact that it's after a  
22 period of trauma that the child's experience. So what  
23 we look for is both the rise and the fall.

24 There are a number of serious things that  
25 can cause the head circumference to continue to

ROBERT S. RUST - CROSS

2567

1 enlarge. We've tended not to worry about that too  
2 much until it's greater than the 95th, 100th  
3 percentile, and even there we sometimes follow it for  
4 an interval.

5 But more important to us is the unexplained  
6 decline after that time. So it's this hump of up and  
7 down which doesn't correspond to the child's growth in  
8 other ways. And children have no reason to have their  
9 head get smaller. There's no explanation for such a  
10 thing physiologically. So we see an increase and then  
11 a decrease.

12 Q So again you're sticking to the testimony  
13 that despite whatever it says in the Menkes chart and  
14 on the first medical record, that it was at 60  
15 percent.

16 A Again, we had a measurement that was at the  
17 60th percentile. That's what I'm relying on.

18 This seems to me it was at a one month, or  
19 something like that. It looked like it was oriented  
20 around one month after delivery on the head  
21 circumference chart.

22 Q You're not saying that this number, this 36,  
23 was one month after birth.

24 A No, sir. This is at birth, I presume --

25 Q I'm just trying to keep track what record

ROBERT S. RUST - CROSS

2568

1 you're talking about.

2 A That would be a very slow nurse.

3 Q Way beyond the standard of care.

4 A Yes, sir. But diligent, nonetheless.

5 Q Let's go ahead and pull that slide down.

6 In your experience can an encephalopathy  
7 result in autistic regression?

8 A I haven't identified such a thing in any of  
9 my children.

10 Q Are you familiar with any pediatricians who  
11 have diagnosed a child with encephalopathy followed by  
12 regressive autism?

13 A I don't know of particular cases.

14 Q Have you reviewed the literature in order to  
15 identify any cases like that?

16 A There's something I might have overlooked,  
17 but it's not been my experience. The definition of  
18 autism in those cases is very important.

19 Q If the definition was regressive autism,  
20 would that be significant?

21 A You have to know what criteria they used for  
22 that diagnosis.

23 Q You mention in William Mead's records pica,  
24 that you recall something in his records about him  
25 eating things that were not typically food. Marbles,

ROBERT S. RUST - CROSS

2569

1 I think it was. Do you recall at what age --

2 A Marbles or stones. I don't know which one  
3 it was.

4 Q Or maybe both.

5 A It could have been both.

6 Q Do you recall at what age that behavior was  
7 noted to have occurred?

8 A I don't recall, sir.

9 Q Do you recall that it was after one year of  
10 age?

11 A It seemed to me that it was around one year  
12 of age but I don't know that for certain.

13 Q But you can't describe anything in the  
14 medical record that you base that statement on in your  
15 direct testimony?

16 A I believe that's the only basis that I might  
17 have had.

18 Q You also mention in Jordan's records that  
19 you notice what you call splitter skills. What  
20 splitter skills were you referring to again?

21 A That was the musical interest and so forth.  
22 So these are the things that were described.

23 Q When did they emerge? Do you recall?

24 A I'm a bit confused, because a case that was  
25 withdrawn had so much music in it. I can't remember

ROBERT S. RUST - CROSS

2570

1 for sure.

2 Q You're the one who's testifying on these  
3 individual cases, so I honestly don't know what you  
4 were relying on, so that's why I'm asking you these  
5 questions.

6 Do you recall that Jordan King's household,  
7 both parents were musicians? Does that ring a bell?

8 A I think I do know that.

9 Q And that Jordan King helped actually build  
10 marimbas which are a musical instrument the family  
11 played?

12 A Now I remember. That's right. Yes.

13 Q So that's the child we're talking about.  
14 That's Jordan King.

15 Q What about his musical skills do you recall  
16 in terms of what skills he acquired and when he  
17 acquired them?

18 A What I recollect is, again, there's another  
19 child that was in this, a child that had lots of music  
20 I think. But it seemed to me both the interest in  
21 music and the interest in performing music was  
22 something quite striking. It has to be interpreted  
23 within the setting of opportunity and other genetic  
24 things, which is the genetic capacity to do music, but  
25 at least it represented the possibility of a splitter

ROBERT S. RUST - CROSS

2571

1 skill.

2 Q The question was do you recall when he  
3 acquired those skills?

4 A It seems to me it was quite young. It seems  
5 to me it might have been at the end of the first year  
6 or second year.

7 Q Did you discuss splitter skills in your  
8 individual case report in Jordan King, as best you  
9 recall?

10 A I don't remember whether I did or not.

11 Q Do you recall sitting there, or do you have  
12 any notes that could direct us to the records where  
13 you identified splitter skills and the time that they  
14 appear?

15 A It seems to me it was based on videotapes.

16 Q Do you have any notes about what you were  
17 referring to in the videotapes?

18 A I do have some notations, I believe, in my  
19 office but I don't have them with me.

20 Q So as you sit here today you can't direct  
21 the Special Masters to anything in the record that's  
22 been developed in this case identifying what skills  
23 might have appeared, when they appeared, and the  
24 progress of those skills over time? You can't  
25 identify any of that for us?

ROBERT S. RUST - CROSS

2572

1           A     Splitter skills have to be placed within a  
2     context, too. And musical parents and so forth could  
3     have another, both genetic and opportunistic aspect to  
4     it.

5           Q     I understand that. But I'm just trying to  
6     get the functional, the evidentiary context I guess is  
7     what I'm looking for.

8           A     Yes.

9           Q     And there's nothing that you can illuminate  
10    beyond what you already testified to on Direct, is  
11    that correct?

12          A     Just my memory.

13          Q     Are there environmental cases of some cases  
14    of autism that you're familiar with?

15          A     There are prenatal ones.

16          Q     Right. So that would include Thalidomide?  
17    Is that a recognized prenatal cause of autism?

18          A     It doesn't really produce an autistic  
19    syndrome. It produces limb shortening and motor  
20    problems and other kinds of things.

21          Q     So your testimony based on your recollection  
22    of the literature is that Thalidomide prenatally is  
23    not associated with autism?

24          A     People have described an association, and  
25    the question is whether that's accurate or not. I

ROBERT S. RUST - CROSS

2573

1 haven't looked at that carefully enough to know for  
2 certain.

3 Q So you don't have an opinion one way or the  
4 other other than you know other people have proposed  
5 it.

6 A It's on the list of things that people talk  
7 about. They don't talk about it, it's listed in  
8 books, et cetera.

9 Q Terbutaline exposure, prenatally?

10 A I believe that's right.

11 Q Valproic acid exposure prenatally?

12 A That's questionable. What we tend to see  
13 with valproic exposure prenatally are problems of ten  
14 neural tube development.

15 Q Would you describe those problems as  
16 manifesting symptoms of autism once the child is born?

17 A I don't know that in a carefully examined  
18 child with criteria applied, that that would be the  
19 case. We can see some significant brain problems in  
20 children, but it tends to be a neural tube problem.

21 Q Maternal rubella. Is that associated --

22 A That's the most important one. It was a  
23 considerable problem until the vaccine became  
24 available.

25 Q How about postnatally? Viruses that are

ROBERT S. RUST - CROSS

2574

1 involved in the appearance of autism after a child is  
2 born. Are you familiar with any instances of those?

3 A Not in my personal experience. Again it's  
4 the issue of autistic features, or features people  
5 might mistake for autism in association with  
6 infections. So I'm not aware of such things.

7 Q Borna Virus, for example. Is that anything  
8 you recall from the scientific literature that's been  
9 associated at least with the appearance of autism in  
10 children postnatally?

11 A Borna Virus is one of those funny things.  
12 It appears in several settings. I've never seen a  
13 case. I don't know, not having read the particular  
14 cases, whether these are autism or not.

15 Q How about malaria? Childhood exposure to  
16 malaria and associations with autism. Are you  
17 familiar with any literature on that subject?

18 A I'm quite familiar with the literature on  
19 childhood malaria or early infantile malaria. And it  
20 doesn't produce autism.

21 Q It does or does not?

22 A It does not. It produces severe  
23 encephalopathy.

24 Q Is it an encephalopathy that can later  
25 present with the symptoms of autism?

ROBERT S. RUST - CROSS

2575

1           A     Autism should be excluded in such cases  
2     because of the severity of the motor sensory and  
3     intellectual problems.

4           Q     Are there any other either prenatal or  
5     postnatal environmental exposures that you would  
6     associate with the appearance of autism?

7           A     I suspect there may be one or two other  
8     prenatal ones. I'm not aware of postnatal ones.

9           Q     Would you agree that in genetic  
10    predispositions or genetic susceptibilities can  
11    interact with environmental exposures to produce  
12    autism in some number of cases?

13          A     I don't know that, other than in the  
14    prenatal situation, that that happens.

15          Q     In 2007, I think it was in April. April  
16    17th, April 18th, 2007, the Institutes of Medicine  
17    hosted a two-day meeting in Washington, D.C. on  
18    environmental implications in the development of  
19    autism. Are you familiar with that meeting?

20          A     Yes.

21          Q     Did you attend that meeting?

22          A     No, sir.

23          Q     Did you receive any of the materials after  
24    that meeting?

25          A     I reviewed some of the materials after that

ROBERT S. RUST - CROSS

2576

1 meeting.

2 Q I think the IOM actually put the proceedings  
3 together in a book. They didn't do a report, but they  
4 assembled things in a volume for distribution. Did  
5 you review that volume?

6 A No, sir. Certain excerpts from it, but not  
7 the entire thing.

8 Q Do you recall what excerpts you reviewed?

9 A This was some time ago. What I read, what I  
10 can tell you was what I read suggested that it's very  
11 important for us to look more carefully at the  
12 possibilities and there was a considerable amount of  
13 reflection on whether or not there might be the sort  
14 of things that you're implying, the interaction of  
15 genes and environment after birth. And people said as  
16 they have frequently, with some importance, we need to  
17 look.

18 Q And certainly it's a viable enough  
19 possibility, scientifically and medically, that it  
20 merits attention, or that it merits a look, as you  
21 say. Is that correct?

22 A That's exactly what I said earlier today.  
23 Theory is one thing, and doing the work to find out  
24 whether it's true is another.

25 Q In your discussions of regressive autism,

ROBERT S. RUST - CROSS

2577

1 ultimately do you believe that there is a regressive  
2 sub-type of autism? By that I'll define a child who  
3 does not have, even retrospectively, any  
4 abnormalities, who then develops at some point in the  
5 second year of life, the symptoms of autism. If  
6 that's the definition of regressive autism, do you  
7 believe that that sub-type of autism actually exists?

8 A I don't have any such children in my large  
9 population, but I/'d have to qualify that by saying  
10 that in the years, in the more distant past when I  
11 didn't ask enough question, it's possible I saw such a  
12 thing but didn't recognize it.

13 But as I've carefully paid attention to the  
14 children, I haven't seen a meaningful distinction  
15 between the two groups.

16 Q One of the articles that the Petitioners  
17 filed here, is Petitioner's Master Reference 154. And  
18 we're going to put that on the screen. We're going to  
19 look at page two -- Well, I'll give the Special  
20 Masters both references.

21 The exhibit reference is page 19 of 23 on  
22 Exhibit 154. The text is page 284. We're going to go  
23 to page 19.

24 If you look, there's no way to read it right  
25 now. We're going to blow it up. If you look at the

ROBERT S. RUST - CROSS

2578

1 bottom quarter of the page there's a paragraph that  
2 begins with italics, Rutter, down lower than that.  
3 And if you look at the last two sentences of that full  
4 paragraph, --

5 A Which page is that, sir?

6 Q It's page 19 of the exhibit. If you look at  
7 the bottom right hand corner of the pages, Doctor,  
8 you'll see page 1 of 23, 2 of 23. This is page 19 of  
9 23.

10 A I have 154. Does that mean something to  
11 you, sir?

12 Q That's the exhibit number. And if it helps,  
13 if you look at the top left of each page there's the  
14 actual manuscript number. The one I'm looking at is  
15 284.

16 A That's what I'm looking at.

17 Q Okay.

18 I can tell yo, this was a symposium that was  
19 recorded in 2003, and it involved a lot of experts on  
20 autism who were meeting and speaking.

21 By any chance, did you attend this in 2003?

22 A No, sir.

23 Q And the paragraph that begins, "rutter", is  
24 I assume Sir Michael Rutter?

25 A I would presume so.

ROBERT S. RUST - CROSS

2579

1 Q At the bottom there's a discussion going on  
2 here among the participants about regressive autism.  
3 He says, "There is convincing evidence that there are  
4 other children who are perfectly okay for the first 18  
5 months or so. What is the implication of this  
6 difference and how might this be tackled?

7 Would you agree or disagree with Sir Rutter  
8 about that there is convincing evidence that there are  
9 children who are autistic but are perfectly okay for  
10 the first 18 months. Do you agree or disagree?

11 A The example he provides is a home movie.  
12 These can be helpful to us, but it's certainly not the  
13 only thing that we need. We need to ask a series of  
14 important questions, as I mentioned to you. So I'm  
15 not convinced by this observation. Had I been there  
16 and had I been motivated to do so I would have asked  
17 what questions were asked of this family.

18 Q He says the home movies are just an example,  
19 because earlier on in that paragraph he says that it's  
20 well documented that in perhaps a quarter of cases  
21 there is regression. Do you agree with Sir Michael  
22 that in about a quarter of the well documented cases  
23 there's evidence of regression?

24 A I've tended to rely on my own experience in  
25 these matters, especially when I've devoted the

ROBERT S. RUST - CROSS

2580

1 attention that I do to these things, and to say I  
2 don't see it, but perhaps it happens in Britain. I  
3 don't know.

4 Q Fair enough. I was just asking if you  
5 agreed with his observation and your answer is that in  
6 your experience you do not agree with his observation,  
7 is that fair?

8 A It's not been my experience.

9 Q Have you reviewed the scientific literature  
10 to explore this issue of whether or not regressive  
11 autism can appear after a sustained period of  
12 completely normal development? You've described your  
13 experience, but have you reviewed the literature to  
14 see what other people have assessed in terms of this  
15 phenomenon.

16 A I have, sir. It hasn't been comprehensive,  
17 but it's been a pretty wide review.

18 Q In talking about astrocytes, shifting gears  
19 again, going from regression to astrocytes.  
20 Astrocytes among the functions they perform in the  
21 brain, do they absorb excess glutamate? Extra  
22 cellular glutamate?

23 A They do. It's a very important function.  
24 And then they recycle it as glutamine.

25 Q So there's sort of a cycle there and the

ROBERT S. RUST - CROSS

2581

1 astrocytes are important to mediating that cycle, is  
2 that right?

3 A They're in the midst of at least eight or  
4 nine cycles of that sort.

5 Q Another thing they do is they, as I  
6 understand it, generate glutathione as an antioxidant  
7 for use by the neurons.

8 A Or themselves if they need it.

9 Q My understanding also, and correct me if I'm  
10 wrong, is that the neurons typically don't produce  
11 very much if any of their own glutathione, is that  
12 right?

13 A Nor do oligodendrocytes.

14 Q Which is another form of the glial cells.

15 A Yes, sir.

16 Q Oligodendrocytes, those are the glials that  
17 do the myelin sheathing, correct?

18 A Yes, sir.

19 Q So you has astrocytes, oligodendrocytes, and  
20 microglia.

21 When you say oligo in some of your slides,  
22 are you talking about oligodendrocytes?

23 A Yes, sir.

24 Q Okay. I'll use that term. It will be a  
25 little bit easier.

ROBERT S. RUST - CROSS

2582

1           So the oligos deal with myelin sheathing  
2           primarily. Is that their main function?

3           A       That's their main function, that's correct.

4           Q       And the microglia serve as the part of the  
5           brain's innate immune system, sort of the phagocytes  
6           or macrophage function in the brain.

7           A       Many of us feel we haven't begun to  
8           understand the functions of the microglial cells  
9           because they're so various, and especially in their  
10          pathological expression in conditions where there are  
11          various kinds of inflammation. We don't yet  
12          understand exactly what they do some of the time, but  
13          yes indeed, they're involved not only in innate but  
14          reactive immunity.

15          Q       And in some of the research that's looking  
16          into those there's particular focus on the effect that  
17          metals have on microglial cells in the brain. I think  
18          it's University of Southern Mississippi, they're  
19          looking at molybdenum. Are you familiar with any of  
20          that work?

21          A       I thought that work was out of Tennessee,  
22          but --

23          Q       Tennessee, yeah. And manganese is being  
24          looked at.

25          A       Manganese has been looked at, especially

ROBERT S. RUST - CROSS

2583

1 those heavy metals with regard to extrapyramidal  
2 diseases.

3 Q Mercury has been examined, at least in  
4 primates, correct?

5 A Yes, sir. It certainly has.

6 Q Are you familiar with the studies that have  
7 been subject to an awful lot of conversation in these  
8 hearings so far, the adult monkey studies by Dr.  
9 Charleston and Dr. Burbacher and their group in the  
10 University of Washington?

11 A Yes, sir. I certainly am.

12 Q Is it your understanding of those studies,  
13 involving again the adult monkeys, that upon  
14 administration of methyl mercury, those studies showed  
15 that inorganic mercury was deposited in the brains of  
16 those adult monkeys after exposure to methyl mercury.  
17 Do you recall that?

18 A Methyl and ethyl and inorganic itself were  
19 administered.

20 Q We're talking about the adult money studies.  
21 I'll represent to you that the adult, because this is  
22 again, really, it's not a quiz. I just want to get  
23 your understanding. The adult money studies were  
24 methyl mercury and inorganic mercury exposure. We're  
25 not talking about the ethyl yet that will come with

ROBERT S. RUST - CROSS

2584

1 the infant monkeys.

2 A Certainly I know that inorganic mercury in  
3 particular was administered intravenously. And that's  
4 right.

5 Q Your understanding would be that the methyl  
6 mercury that was administered to the adult monkeys  
7 eventually ended up, some fraction of that, in the  
8 monkeys' brains as inorganic mercury, Hg<sup>++</sup>. Is that  
9 your recollection?

10 A They're not the only people to have  
11 demonstrated that. And as I mentioned in my  
12 discussion, methyl mercury and ethyl mercury both go  
13 to inorganic mercury.

14 Q The inorganic mercury in the brains of those  
15 adult monkeys tended to, it was predominantly found in  
16 microglia and astrocytes, correct?

17 A Yes, sir. That's right.

18 Q It was found in neurons but at much much  
19 lower levels than in the glial cells, correct?

20 A Yes, sir.

21 Q And they found pathological evidence of  
22 activated microglia.

23 A Yes, sir.

24 Q Proliferation of microglia.

25 A Yes, sir.

ROBERT S. RUST - CROSS

2585

1 Q So that means both the microglia that were  
2 there had changed shape, they sort of had that amoeba  
3 shape, and their morphology actually changed, and they  
4 could see that, correct?

5 A Yes, sir.

6 Q And there were more of them. So when I say  
7 proliferated, there were actually more of them and  
8 they were in a different shape than they would have  
9 been when they were quiescent, correct?

10 A That's correct.

11 Q The astrocytes showed evidence of inorganic  
12 mercury content and the numbers of astrocytes in the  
13 later exposed groups were lower. Do you recall that?

14 A I don't recall that piece of information.

15 Q But some of the astrocytes in some of the  
16 monkeys showed decreased numbers of astrocytes at the  
17 end when the monkeys were sacrificed.

18 A It may be true. This is a difficult  
19 problem, though, in terms of counting numbers. We  
20 talked about this in relationship to markers such as  
21 GFAP. But I don't remember that at this point, but  
22 I'm sure it must be true if you say so.

23 Q If only everything I say can be so reliable.  
24 I try to do the best I can, but that's what we're  
25 talking about with these studies.

ROBERT S. RUST - CROSS

2586

1 Now in the 2005 monkey study, is this the  
2 one in your recollection that involved the infant  
3 monkeys where they got Thimerosal containing vaccines?  
4 Do you remember that study by Dr. Burbacher?

5 A Yes, sir. I do. I don't remember all the  
6 details, but I certainly remember the study.

7 Q Would you understand that study to show that  
8 ethyl mercury exposure via Thimerosal containing  
9 vaccines resulted in the deposition of inorganic  
10 mercury in the brains of the infant monkeys?

11 A Yes, sir. I do recall that.

12 Q Do you also recall that a greater fraction  
13 of ethyl mercury ended up as inorganic mercury in the  
14 brain than did the percentage of methyl mercury end up  
15 in the --

16 A By a factor of 2.1 to 1 or something like  
17 that. Yes.

18 Q So in the adult monkey studies, inorganic  
19 mercury in the brain was associated with an  
20 inflammatory process of some kind.

21 A That's the right way to put it.

22 Q Then in the infant monkey study, and I just  
23 don't know if you're following the progress of the  
24 work that the group is doing, but only half the brains  
25 were actually examined in the paper that came out in

ROBERT S. RUST - CROSS

2587

1 2005, and the other half of the brains, there's been  
2 testimony about this. I don't know if you've heard  
3 any of the testimony. That they're looking to  
4 identify whether the inorganic mercury from the  
5 Thimerosal containing vaccines in infants ended up in  
6 particular cells in the brain. Are you aware of that  
7 anticipated publication?

8 A No, sir. I wasn't aware of that.

9 Q So the adult monkey studies and the baby  
10 monkey studies together, if this other study came out  
11 showing that the inorganic mercury derived from  
12 Thimerosal containing vaccines actually ended up in  
13 glial cells, particularly astrocytes and microglia,  
14 that might provide evidence of a neuroinflammatory  
15 process at least in an infant primate. Correct?

16 A As I say, of a process, what that's caused  
17 by and what it's directed at of course is unknown.

18 Q Would you agree that viral inflammation is  
19 being considered as a possible cause of some forms of  
20 autism in some children?

21 A I'm aware that is among the things that Dr.  
22 Kinsbourne has considered, for example.

23 Q Would you agree that it is among the things  
24 that the Vargos/Pardo/Zimmerman group at Johns Hopkins  
25 is considering?

ROBERT S. RUST - CROSS

2588

1           A     It is one of the things that they have  
2     considered, that's correct.

3           Q     And they're considering it seriously enough  
4     that they're even looking into potential studies  
5     involving the administration of anti-inflammatories as  
6     a therapeutic response to the possibility that  
7     neuroinflammation might be associated with autism  
8     symptoms. Is that correct?

9           A     I hadn't been aware that they planned to do  
10    that, but it seems like a very interesting thing to  
11    do.

12          Q     You mentioned in one of your early slides,  
13    it wasn't a reference to a particular study by Dr.  
14    Courchesne?

15          A     Yes, sir.

16          Q     But I did want to refer to one that has been  
17    introduced into evidence here, and this is  
18    Petitioner's Exhibit 104. Again, I don't know if  
19    you've listened in on any of the proceedings, but if  
20    you have this is another one of those studies that has  
21    been cited and discussed several times.

22                 If you look on the monitor, do you see a  
23    paper there called "Autism at the beginning" and then  
24    it goes on with a longer subtitle?

25          A     Yes, sir. I do see it.

ROBERT S. RUST - CROSS

2589

1 Q We're going to look to page 584 of the text  
2 there. For the transcript and for the Special  
3 Masters, this is page eight of the exhibit. Text page  
4 584 of the study.

5 If you can find that page, Doctor, and then  
6 look up to me so I know that you've found that page.  
7 Okay. And I'm going to quickly ask you to look back  
8 down at the page and look at the bottom right hand  
9 corner, the last full paragraph. And it goes on to  
10 the next page. From page 584 to 585, or from exhibit  
11 page 8 to exhibit page 9.

12 A What am I meant to do?

13 Q We're going to pause here for a technical  
14 moment to get this in front of you so it's readable.

15 What I'm going to do is ask you to read that  
16 and I'm going to have a question for you.

17 A I'd have to start before that, of course.

18 Q I'm just going to -- Wait until you hear the  
19 question. You may be able to answer it just based on  
20 this section.

21 A Am I meant to read or listen to it?

22 Q Have you had a chance to read that  
23 highlighted paragraph?

24 A No, sir. I just got it.

25 Q Okay. Go ahead and read it.

ROBERT S. RUST - CROSS

2590

1 (Pause).

2 A I finished that portion, sir.

3 Q Okay. So my question is, do you agree that  
4 some of these neuronal changes that take place in the  
5 brains of autistics, might be as Dr. Courchesne says,  
6 citing to the Vargas group, are these things that  
7 could be triggered by adverse events such as those  
8 that ignite the neuroinflammatory reaction? Would you  
9 agree with that statement that adverse events such as  
10 those that can ignite neuroinflammation can explain  
11 some of the pathological changes in the brains of  
12 autistic people?

13 A Well, it's one of several possible  
14 explanations.

15 MR. POWERS: I have no further questions.

16 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

17 Any Redirect?

18 MR. MATANOSKI: Yes, there will be. But if  
19 we could take the afternoon break at this time.

20 SPECIAL MASTER CAMPBELL-SMITH: It's getting  
21 close to time. I have 4:36. How long would you like?

22 MR. MATANOSKI: Five after? would that be  
23 permissible?

24 SPECIAL MASTER VOWELL: A half hour?

25 MR. MATANOSKI: I'm sorry, I meant to run to

ROBERT S. RUST - CROSS

2591

1 the next five.

2 SPECIAL MASTER VOWELL: I'm glad I'm not the  
3 only one that has trouble with math.

4 (Laughter).

5 SPECIAL MASTER CAMPBELL-SMITH: Fifteen  
6 minutes. Let's, if we round up to 4:40. Let's come  
7 back at 4:55. Just shy of 5:00 o'clock.

8 MR. MATANOSKI: Thank you.

9 SPECIAL MASTER CAMPBELL-SMITH: Thank you.  
10 (Whereupon, a short recess was taken).

11 SPECIAL MASTER CAMPBELL-SMITH: Please be  
12 seated as quickly as you can.

13 And just a housekeeping note that during our  
14 break, if you might step away from the microphones  
15 it's like backstage. Excitement and revelation on the  
16 microphones. We're still live. So just a note to  
17 all, stay away from the live microphones during  
18 recesses.

19 SPECIAL MASTER HASTINGS: Unless you want  
20 your conversation to go --

21 SPECIAL MASTER CAMPBELL-SMITH: To be  
22 broadcast.

23 SPECIAL MASTER VOWELL: Broadcast to us back  
24 in Chambers.

25 MR. POWERS: Is this a podcast --

ROBERT S. RUST - REDIRECT

2592

1 (Laughter).

2 SPECIAL MASTER CAMPBELL-SMITH: Ms.

3 Esposito, are you ready to conduct Redirect?

4 MS. ESPOSITO: Yes, thank you.

5 REDIRECT EXAMINATION

6 BY MS. ESPOSITO:

7 Q Dr. Rust, if Dr. Kinsbourne's hypothesis is  
8 true, would it apply to regressive and classic autism  
9 alike?

10 A It certainly should. It perhaps should  
11 apply more to the classic variety because it does seem  
12 to be greater early vulnerability.

13 Q When you said before that you did not see a  
14 meaningful distinction between the classic and  
15 regressive autism, and this was in reference to the  
16 slide that opposing counsel put up from Dr. Rutter,  
17 can you explain what you meant by that?

18 A It's what I discussed earlier with regard to  
19 the early history of the child and the ensuing outcome  
20 and the appearance of the child at a particular age.  
21 There is a difference, of course, because the parents  
22 are telling us that the child's lost skills and that  
23 seems to happen at a variety of ages and with no clear  
24 association with any particular life circumstance.

25 So there are children that seem to lose

ROBERT S. RUST - REDIRECT

2593

1 something that they acquired previously.

2 Q You were asked about the Charleston adult  
3 money study. Do you recall that?

4 A I was asked about it, yes.

5 Q In that study there were very large doses of  
6 inorganic mercury given to the monkeys, is that right?

7 A They seemed to me to be very large, and not  
8 only very large but given very repetitively over a  
9 long interval.

10 Q Do you recall if there were any clinical  
11 symptoms that resulted from the monkeys being given  
12 large doses?

13 A So far as I know there are no description of  
14 any clinical deterioration in the monkeys until the  
15 time they're sacrificed.

16 Q Nothing that resembled autism that you  
17 recall from that article?

18 A No. I don't think there was anything.

19 Q If you could assume that inorganic causes of  
20 glial activation would deposit, let me rephrase that.

21 If there were other exposures to mercury in  
22 a patient's life, if they're otherwise exposed to  
23 different types of mercury, would you see any  
24 difference in the glial activation from one type of  
25 mercury over another type of mercury?

ROBERT S. RUST - REDIRECT

2594

1           A     No. The difference demonstrated in those  
2 studies with regard to the amount of mercury  
3 accumulating over a short interval, I might say, in  
4 those studies, from the breakdown of ethyl as compared  
5 to methyl mercury, I think it's 2.1 to 1 or something  
6 like that. Given the doses it's not particularly  
7 meaningful. It's a difference, but it's perhaps not a  
8 meaningful one. Then the question is if we waited  
9 over a longer interval, since the presumption would be  
10 that methyl mercury taking a little longer to break  
11 down would ultimately equal the deposit of the  
12 inorganic mercury, it shouldn't be any different. And  
13 we also had the additional important contributions  
14 environmentally to all of us with regard to mercury.

15                     So in comparison to those environmental and  
16 especially in comparison to the amount of mercury in  
17 vaccines, for example, the doses given, especially to  
18 those adult macaques were astronomical and daily for I  
19 think three months.

20           Q     If one were to suppose that inorganic  
21 mercury were the cause of autism, could you say for a  
22 certainty that it was from the vaccine or any vaccines  
23 given to that person?

24           A     No, because again we have these other  
25 exposures.

ROBERT S. RUST - REDIRECT

2595

1 Q With regard to the Vargas article, do you  
2 know if that group concluded that neuro inflammation  
3 was the cause of autism?

4 A No, they didn't. They simply described a  
5 change that they observed by somewhat indirect  
6 methodology and whether that was of a response that  
7 was protective or a response that was something other  
8 than that is not known.

9 But one certainly must think about the  
10 possibility that if it's representative, an issue  
11 where the nervous system was being challenged in some  
12 way, it might well be protective. It could be related  
13 to architectural changes, could be related to other  
14 things. So there are lots of possibilities. Maybe  
15 more refinement in technique is very important in  
16 those kinds of studies, as with others.

17 MS. ESPOSITO: Thank you.

18 SPECIAL MASTER CAMPBELL-SMITH: Any Recross?

19 MR. POWERS: No Recross.

20 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

21 I believe my colleagues have some questions.

22 SPECIAL MASTER VOWELL: I do, and I'll try  
23 to be clear, Dr. Rust.

24 If we take your figure of 90 percent  
25 concordance in identical twins in terms of autism in

ROBERT S. RUST - REDIRECT

2596

1 one twin and significant autistic like symptoms in the  
2 other twin even if they don't reach the diagnosis of  
3 autism. That's the 90 percent figure from your slide.

4 THE WITNESS: I think the 90 percent was  
5 referring to a genetic contribution estimated.

6 SPECIAL MASTER VOWELL: Okay. and we've  
7 heard in other testimony or in articles that we've  
8 read, a concordance, a different concordance, but  
9 let's say there's a 60 percent to 90 percent  
10 concordance rate. Those seem to be the ranges we've  
11 heard.

12 THE WITNESS: Yes.

13 SPECIAL MASTER VOWELL: How do you account  
14 for the other ten percent, if we're looking at what  
15 appears to be a strongly genetic explanation?

16 THE WITNESS: That's a very important  
17 question. One would expect to see the disease express  
18 itself in both children.

19 SPECIAL MASTER VOWELL: Like Huntington's,  
20 for example.

21 THE WITNESS: With identical twins. That's  
22 right. That's typically the way things present  
23 themselves.

24 So it's a little puzzling, as I, it's more  
25 than a little puzzling, and it's an important

ROBERT S. RUST - REDIRECT

2597

1 question. Other factors seem to influence risk and  
2 perhaps they're not yet fully understood. If the  
3 genetic trait were to come from a particular parent,  
4 one would still presume that the imprinting effect  
5 would be the same on both children. If the genetic  
6 trait were passed on to both children by the same  
7 father.

8 There can be some differences in gene dose  
9 between children as I understand it. It's not an area  
10 I know a great deal about.

11 SPECIAL MASTER VOWELL: So we should address  
12 this to a geneticist, perhaps.

13 THE WITNESS: I think you'll get a more  
14 reliable answer.

15 SPECIAL MASTER VOWELL: Let me just ask this  
16 question, and you may not know.

17 I understand that Rett's is a genetic  
18 feedback.

19 THE WITNESS: Yes, ma'am. Yes, Special  
20 Master.

21 SPECIAL MASTER VOWELL: Ma'am is all right.

22 You've drawn parallels between brain  
23 abnormalities in Rett's children and behavior in  
24 Rett's children and behavior in brain abnormalities  
25 and ASD kids, among many other parallels you drew.

ROBERT S. RUST - REDIRECT

2598

1 THE WITNESS: Yes, Special Master.

2 SPECIAL MASTER VOWELL: Is Rett's 100  
3 percent concordant?

4 THE WITNESS: I believe that it is, but I'm  
5 not sure. It's another important question, especially  
6 relative to the prior question. But I believe that  
7 that's true.

8 The counseling in these matters is done by  
9 geneticists and I may be wrong on that point.

10 SPECIAL MASTER VOWELL: Assume for the  
11 purposes of this question that the loss of language or  
12 the loss of words is real in some percentage of what  
13 we call regressive autistic children. What would  
14 account for that loss of words? Is there anything you  
15 are aware of?

16 THE WITNESS: It would seem to me, it's the  
17 same thing that accounts for it, it's likely to be  
18 something similar to what accounts for it in Rett's  
19 syndrome because that's what we see in the little  
20 girls as well.

21 SPECIAL MASTER VOWELL: The loss of words.

22 THE WITNESS: They have words, and then they  
23 disappear overnight. Or seemingly overnight. That's  
24 among the things that the model, it is hoped will give  
25 us some understanding of. But it's quite a striking

ROBERT S. RUST - REDIRECT

2599

1 phenomenon, so it does happen in Rett's.

2 SPECIAL MASTER VOWELL: But we don't know  
3 yet what causes it in Rett's.

4 THE WITNESS: Not so far as I know. It's an  
5 area developing so rapidly that almost by the week or  
6 the month we get something new.

7 SPECIAL MASTER VOWELL: You talked about the  
8 phasic, the sine curve of the generation. Do you have  
9 any idea what generates that?

10 THE WITNESS: I probably was saying that  
11 confusingly. I was speaking about life itself. It  
12 goes up and down. We see this all the time, whether  
13 it's headaches or epilepsy or behavior or other kinds  
14 of things.

15 The point I was trying to make there is that  
16 when the problems are treat and we start some  
17 treatment and they get better, we're willing to take  
18 the credit for it. And then when they get -- We see  
19 this in epilepsy all the time. Things get worse and  
20 we give a higher dose and they seem to get better. We  
21 do this for a while, and then we see the pattern goes  
22 on even when we're at high doses.

23 This is not everybody, but it's some people.  
24 Then we begin to realize that sometimes life just does  
25 that and perhaps we shouldn't take credit sometimes.

ROBERT S. RUST - REDIRECT

2600

1           So certain treatments if administered to  
2           somebody, even if we think it's outrageous and is  
3           outrageous, it may appear to produce an effect that's  
4           valuable. Then if we see that it comes and it goes  
5           like that, whether it's our orthodox treatments or the  
6           ones we regard as unorthodox, we really need to sit  
7           back and figure out what it is we're really doing with  
8           those children. Then we need to assign, in a  
9           carefully designed group, the odds of making a child  
10          better to say look, we really know what we're doing  
11          with this because we can so much increase the  
12          likelihood this child will not have this or another  
13          problem.

14                When we do such studies, such as we do for  
15          drugs for very severe epilepsy, this is a particularly  
16          important comparison that we see with one of the worst  
17          kinds of seizures that occur in early childhood called  
18          Lennox-Gastaut. We see about a 50 percent likelihood  
19          that a very good drug is going to decrease the number  
20          of seizures meaningfully. In those studies a placebo  
21          does so in about 15 percent.

22                So we've got to be careful in two  
23          directions. One is we've got to consider the  
24          possibility that something outrageous might be true,  
25          and we've got to consider the possibility that whether

ROBERT S. RUST - REDIRECT

2601

1 it's our treatment or other people's treatment, the  
2 report of improvement may simply be related to this  
3 change over time.

4 SPECIAL MASTER VOWELL: My question was a  
5 little different than that, but let me follow up on  
6 that.

7 When we're looking at something like Lennox-  
8 Gastaut, we're looking at a discernible event, a  
9 seizure. In most cases you can tell whether someone  
10 is having a seizure or not, particularly in that  
11 syndrome, correct?

12 THE WITNESS: Yes, Special Master.

13 SPECIAL MASTER VOWELL: It's not the type of  
14 seizure you need to put them on an EEG in order to see  
15 it.

16 THE WITNESS: That's correct.

17 SPECIAL MASTER VOWELL: And we have a  
18 placebo effect there.

19 THE WITNESS: We seem to. Again, whether  
20 it's things going in the opposite direction or things  
21 are just getting better for that child, which is the  
22 likely explanation.

23 SPECIAL MASTER VOWELL: And when you are  
24 dealing with more subtle behavioral concerns, then you  
25 introduce an element of possible reporting bias.

ROBERT S. RUST - REDIRECT

2602

1 THE WITNESS: It makes it very troublesome.  
2 There is some reporting bias problem likely when we're  
3 doing those seizure studies and somebody's hopeful for  
4 an improvement and the counting of seizures may not be  
5 quite so diligent. We don't know that to be true, but  
6 we do see this in terms of treating behavior for  
7 children with early childhood behavior disorders,  
8 attention deficit and so forth. We seem to see more  
9 positive reports when the teacher's aware of the  
10 treatment as compared to not being aware of the  
11 treatment. Everybody wants them to get better.

12 SPECIAL MASTER VOWELL: Let me go back to my  
13 earlier question then. What I heard you say in the  
14 Hazlehurst trial was something to do with switching  
15 from one part of the brain to another at various types  
16 of -- In other words when we're born our brain is  
17 functioning at a very primitive level. Other parts of  
18 our brain come on-line as we grow. That I think is  
19 illustrated by your slide that took the brain from  
20 birth to --

21 THE WITNESS: Exactly.

22 SPECIAL MASTER VOWELL: So is that  
23 considered one of the explanations for loss of skills  
24 or regression?

25 THE WITNESS: It is. The idea that, and

ROBERT S. RUST - REDIRECT

2603

1 that was the point I was attempting to make. We have  
2 these ensuing signals that over time turn on or turn  
3 off a particular gene. For that matter, that  
4 responsible turn-on other sorts of things. It  
5 happens, such as activation of cells that are formed  
6 in the elaboration or elimination of arborization or  
7 connections of various sorts.

8 So these things, some of the most striking  
9 observations have to do with this issue of brain  
10 growth at different intervals and why in the world  
11 that's taking place.

12 I mentioned that with regard to autism in  
13 the first year of life. Wonderful studies that were  
14 done quite a few years ago showed that with early  
15 adolescence brain size increases rather dramatically  
16 within what space is available in the skull at 13, 14,  
17 15 years of age, followed by a stage during which that  
18 then goes away. This is likely, all the developmental  
19 changes that mark adolescence, the good and the bad of  
20 it, and things get reorganized and arranged. It may  
21 take some kids longer than others, but things come  
22 back on-line with regard to different kinds of control  
23 and so forth, and people discover what they want to do  
24 in the mean time, so that goes way back to studies at  
25 the NIH that Charlie Kennedy did back in the '70s.

ROBERT S. RUST - REDIRECT

2604

1 SPECIAL MASTER VOWELL: Does this switching  
2 have to have an external trigger? Can the trigger be  
3 in the gene itself?

4 Obviously in adolescents you have some  
5 triggers, hormonal changes that may influence that or  
6 may not. But I'm looking at, thinking of Huntington's  
7 where there does not appear to be an external trigger.  
8 It appears to be an internal trigger.

9 THE WITNESS: That's a very correct  
10 observation.

11 Things such as hormones can play a  
12 particularly important role. So hormonal changes for  
13 women in the second decade, aspects of immune  
14 function, brain function and vulnerability may change  
15 with regard to the endocrine axis changes and do  
16 change in favor of having the ability to have  
17 children. This is a change in both the immune system  
18 and endocrine system.

19 AS to whether external things modify these  
20 things, this is very tantalizing for people to  
21 understand. We know that with regard to the visual  
22 system, visual stimuli in training the system. It not  
23 only can train it but it can change the way it  
24 functions based on visual changes.

25 So if somebody puts on glasses that invert

ROBERT S. RUST - REDIRECT

2605

1 their vision and keep them on, the system will turn it  
2 back over again, so something happens to modify and we  
3 don't understand it. It's been known for a long time.

4 Functions that are apropos of the particular  
5 developing system likely can make a big difference.

6 We know this with regard to music so children that  
7 have musical experience to a considerable degree  
8 before eight or nine years of age, have enlargement of  
9 the plana temprali on the non-dominant side, which is  
10 the enlargement that accounts for perfect pitch, which  
11 is a mixture of probably both of genes and experience.

12 So some kinds of things can do this, but  
13 it's probably not every environmental stimulus.

14 SPECIAL MASTER VOWELL: And I have one final  
15 question. You talked about several treatments for  
16 autism that are touted on the internet or other places  
17 that you do not consider effective. You consider  
18 there is no evidence for them to be effective.

19 THE WITNESS: Yes, Special Master.

20 SPECIAL MASTER VOWELL: You did not address  
21 one that we've heard a great deal about and that's the  
22 gluten-free, casein-free diet.

23 THE WITNESS: Yes. It's been around for  
24 quite a while. This is related to the recurring issue  
25 of leaky gut, and called various things over time.

ROBERT S. RUST - REDIRECT

2606

1 The concept largely dismissed by specialists in the  
2 area, but the gluten-free diet is tried for these  
3 things.

4 We know a little bit about gluten as causing  
5 neurological problems very rarely, and we know that  
6 there are occasional individuals that develop  
7 unsteadiness because of gluten. And we know there are  
8 some people with migraines who have a worsening  
9 migraine with gluten. But with a gluten-free diet in  
10 those individuals, we've tried it. We never see the  
11 headaches going away entirely and we don't know  
12 whether the modest improvement that takes place is  
13 pharmacological or psychological. But we do know that  
14 in certain individuals we can see some unsteadiness.

15 Still there are people that might argue we  
16 don't know this for absolute certainty with regard to  
17 gluten and ataxia, and they'd be right. We don't know  
18 for absolutely certain.

19 SPECIAL MASTER VOWELL: It sounds like  
20 you're not rejecting that one out of hand as having  
21 some impact on neurological improvement.

22 THE WITNESS: My own experience has been  
23 that we don't see any benefit in the cases that come  
24 to be, which has never included one of those cases  
25 where ataxia seems to result from --

ROBERT S. RUST - REDIRECT

2607

1 SPECIAL MASTER VOWELL: I think that's all  
2 my questions. Thank you very much, Dr. Rust.

3 THE WITNESS: Thank you very much, Special  
4 Master.

5 MR. POWERS: I did have a follow-up.

6 SPECIAL MASTER VOWELL: I think we've got  
7 some more questions.

8 MR. POWERS: I'm sorry.

9 SPECIAL MASTER VOWELL: I'm not the only one  
10 with questions this time.

11 SPECIAL MASTER HASTINGS: I just have a  
12 couple. One was a follow-up on your description, I  
13 think you called it a sine curve, the curve in  
14 response to Special Master Vowel's questions, you  
15 mentioned that that's the way life goes in general.

16 Did you also say earlier that that applies  
17 to the symptomology of autism? That there are natural  
18 fluctuations. Was that the implication of what you  
19 were saying?

20 THE WITNESS: I raised the analogy with  
21 regard to treatments and whether they're effective,  
22 but I think as with all people, individuals that have  
23 autistic features, have things that go up and down  
24 over time. This can be a very difficult problem,  
25 especially in the second decade of life with regard to

ROBERT S. RUST - REDIRECT

2608

1 how we treat things. Adolescents, on top of other  
2 things, seems to make some management problems so very  
3 difficult. And because we still have a great deal to  
4 learn about what's going on at that point without  
5 coming to some really glib conclusion about why these  
6 things happen.

7 But it seems to me that they do go up and  
8 down. So we're especially helped by the fact that the  
9 mothers, typically the mothers of these individuals,  
10 become so very good at sorting things out, and very  
11 observant. So often as with many difficult problems,  
12 the fathers end up leaving.

13 We try to map these things out over an  
14 interval so we can see between the mother and myself,  
15 if we're really making a difference, if we're making  
16 things worse, and see where we get.

17 Sometimes we need to bring the young man  
18 into the monitoring unit to see whether we can  
19 identify something electrical or something else that  
20 might be causing problems. And in that way we've come  
21 to have some better understanding of certain things  
22 that happen.

23 SPECIAL MASTER HASTINGS: The other  
24 question, I want you to clarify for me, in Slide 56  
25 and probably a couple of other slides in that same

ROBERT S. RUST - RE CROSS

2609

1 range, you used the term "classic autism". Tell me  
2 what you, how you define "classic autism".

3 THE WITNESS: Typically we define classic  
4 autism as a child that manifests the disease from  
5 early on, and typically in isolation from a particular  
6 identifiable cause. Those are the children that we  
7 tend to call classic. They've been called that  
8 because they have as many features that satisfy the  
9 diagnostic criteria. And because they haven't  
10 experienced an obvious regression.

11 The difficulty with those children, since  
12 we're identifying them very early on, is it may be  
13 more difficult to identify something regressive in the  
14 first year of life, although I don't think it's that  
15 difficult usually.

16 SPECIAL MASTER HASTINGS: Let me interrupt  
17 you because I think you answered the question. You're  
18 making a distinction there between classic versus  
19 regressive. Someone that didn't regress.

20 THE WITNESS: Yes, Special Master.

21 SPECIAL MASTER HASTINGS: Are those both  
22 subsets of autistic disorder? The narrow category?

23 THE WITNESS: Yes, sir. That's how they  
24 used.

25 SPECIAL MASTER HASTINGS: Thanks. That's

ROBERT S. RUST - RE CROSS

2610

1 all the questions I have.

2 THE WITNESS: Thank you, Special Master.

3 SPECIAL MASTER CAMPBELL-SMITH: I think my  
4 range of questions has been touched upon.

5 Thank you, Dr. Rust.

6 THE WITNESS: Thank you, Special Master.

7 SPECIAL MASTER CAMPBELL-SMITH: Mr. Powers?

8 RE CROSS-EXAMINATION

9 BY MR. POWERS:

10 Q Just a couple of quick questions, Doctor, to  
11 follow up on what Special Master Vowel was asking  
12 about with triggers. The finely tuned sequence of  
13 genetic on and off switches that are going on.

14 In that finely tuned orchestration of  
15 genetic signals, is it possible for environmental  
16 factors to interfere first with the activation of the  
17 gene's message itself? Is that possible? Can an  
18 external factor switch off a gene that was going to  
19 switch on, or switch on a gene that was going to  
20 switch off at a particular time? Can that happen?

21 A This is the sort of theory that's raised  
22 with regard to rubella embryopathy.

23 Q Can it happen?

24 A It's possible. And the same thing with  
25 regard to cerebellar abnormalities in premature

ROBERT S. RUST - RE CROSS

2611

1 children, also prenatally.

2 Q An extension of that question would be  
3 assuming the genetic signal goes at the right time,  
4 whether it's an on signal or an off signal, there's  
5 going to be something physical in the body reacting to  
6 that. Neurons migrating, for example.

7 Assuming the genetic signal gets sent, can  
8 an environmental factor intervene to prevent the  
9 genetic signal from being effectuated physiologically?

10 A I don't know of a particular example,  
11 especially after birth. It's possible, I reckon, but  
12 usually those kinds of interferences, when we  
13 understand them, have to do with some post-  
14 transcriptional modification that also seems to be  
15 explained by the working out of a genetic code.

16 Q And its potential effect on any symptoms  
17 would depend on the timing, I assume. So that if  
18 there was a signal that was going to turn an event in  
19 the brain on or off at a particular time, if there was  
20 an environmental effect that interfered with that, the  
21 symptoms might be different depending on when that  
22 happened.

23 A I think that is possibly correct.

24 MR. POWERS: No further questions.

25 SPECIAL MASTER CAMPBELL-SMITH: Thank you.

ROBERT S. RUST - RE CROSS

2612

1 Any further questions from Respondent?

2 MS. ESPOSITO: No, thank you.

3 SPECIAL MASTER CAMPBELL-SMITH: any further  
4 questions?

5 I think that concludes, Dr. Rust, you may be  
6 excused. That concludes our proceedings for today.

7 (Witness excused).

8 SPECIAL MASTER CAMPBELL-SMITH: Mr.  
9 Matanoski, are we schedule for tomorrow to hear from  
10 two witnesses?

11 MR. MATANOSKI: Yes, ma'am, we are.

12 SPECIAL MASTER CAMPBELL-SMITH: And we're on  
13 a schedule to commence again at, returning to our 9:00  
14 a.m. time?

15 MR. MATANOSKI: Yes, ma'am, we are.

16 MR. POWERS: A quick question. I don't know  
17 if the doctor would be included in the first question.

18 One, the reference in the slides to one of  
19 his articles, a 1991 article? I've looked at his  
20 report, I can't see it cited. And we looked through  
21 the Respondent's exhibit list and don't see any  
22 article with Dr. Rust as the lead author cited.

23 So we would just request that the relevant  
24 article that's addressed in the slides be filed and  
25 give us a chance to take a look at it.

ROBERT S. RUST - RE CROSS

2613

1           Also, we conferred about his yesterday or  
2           the day before, we requested that Professor Rutter's  
3           books that are cited in his report substantively be  
4           produced so that we can review those in preparation  
5           for his cross-examination. We haven't seen the books  
6           yet. We just wanted to see when we would expect to  
7           see those presented for our preparation for his cross-  
8           examination.

9           MR. MATANOSKI: As to the former issue,  
10          we'll be happy to get Dr. Rust's article. The reason  
11          why it wasn't submitted was that it was responding to  
12          the late-developed theory here.

13          Now with respect to books mentioned in Dr.  
14          Rutter's report, we're trying to track those down. To  
15          the extent we do obtain them we will be providing  
16          them. Of course we received notice of this matter  
17          over the weekend, and that's made it a little, as  
18          opposed to at the time the reference list was  
19          provided. Those were textbooks or books, and rather  
20          than trying to reproduce entire books we were, I guess  
21          one would figure, just as with Dr. Greenland's Modern  
22          Epidemiology, we didn't expect that to be produced by  
23          the Petitioners.

24          But we are trying to obtain them. However,  
25          there are many, and we don't have all of them

ROBERT S. RUST - RE CROSS

2614

1 unfortunately.

2 SPECIAL MASTER VOWELL: All right then.  
3 Since I'll be presiding tomorrow, may I inquire as to  
4 how long -- We're not going to have another short day  
5 I hope tomorrow.

6 MR. MATANOSKI: No, ma'am. I don't believe  
7 so.

8 SPECIAL MASTER VOWELL: All right.

9 MR. MATANOSKI: Thank you.

10 SPECIAL MASTER CAMPBELL-SMITH: Anything  
11 else?

12 We are adjourned.

13 (Whereupon, at 5:25 p.m., the hearing in the  
14 above-entitled matter was recessed, to reconvene at  
15 9:00 a.m. on Thursday, May 22, 2008.)

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

2615/2685

REPORTER'S CERTIFICATE

DOCKET NO.: 03-584V; 03-215V  
CASE TITLE: In Re: Claims for Vaccine Injuries  
Resulting in Autism Spectrum Disorder  
or a Similar Neurodevelopmental  
Disorder  
HEARING DATE: May 21, 2008  
LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the United States Court of Federal Claims.

Date: May 21, 2008

---

Christina Chesley  
Official Reporter  
Heritage Reporting Corporation  
Suite 600  
1220 L Street, N.W.  
Washington, D.C. 20005-4018

Heritage Reporting Corporation  
(202) 628-4888